

EA02-025

TEXAS INSTRUMENTS, INC.'S

9/10/03

REQUEST NO. 7

BOX 10

PART A – I

PART C

2 OF 3

1 vehicles?

2 A. Yes.

3 Q. And sold to people who buy cars with
4 those switches on it?

5 A. Yes.

6 Q. Okay. You know how many were initially
7 produced and shipped to Ford in that
8 first shipment?

9 A. No, I don't.

10 Q. Okay. So if we go back to Exhibit Number
11 1 --

12 A. Uh hum.

13 Q. -- on the pages I had previously
14 identified, there are some -- well, at
15 the very top it says Ford MY '92 NEXT
16 GENERATION. What does that mean?

17 A. MY means model year, '92. And Next
18 Generation is 'cause this was Ford's next
19 generation speed control module.

20 Q. Now --

21 (Discussion off the record.)

22 Q. Now, do you take that to mean that this
23 document has to do with the development
24 of the 77PS switch that we're talking

1 about today?

2 A. The early development.

3 Q. Right.

4 A. Before it was defined as 77PS, but, yes.

5 Q. Right. Before it was defined as 77PS,
6 but this was the beginnings of it?

7 A. Yes.

8 Q. And it may have begun before this,
9 right, the developmental stages?

10 A. Yes, there was probably some work done
11 before this.

12 Q. Okay. On this page, which is Bates
13 stamped 4386, there's a heading, that's
14 where it's in italics and it's
15 capitalized, it says *HEXPOR7*?

16 A. Yes.

17 Q. And there's a bunch of technical
18 information there about its dimensions
19 and stuff and who's going to be making it
20 and that kind of thing. That's normal,
21 right?

22 MR. CARTER: Objection,
23 form.

24 Q. For these -- this kind of information in,

1 in Highlights?

2 A. There's some -- some engineers would put
3 details around the part that they're
4 designing in Highlights.

5 Q. Okay. And I guess if an engineer's going
6 to discuss a specific item, he may
7 highlight it like, like Steve did here?
8 He highlighted by italicizing and, and
9 capitalizing *HEXPORT*, because that was
10 the subject matter of the couple of
11 paragraphs that, that followed that,
12 right?

13 MR. BURROW: Object to the
14 form. Calls for speculation.

15 Q. All right. And then below the couple of
16 paragraphs that discuss hexport is a
17 paragraph entitled, *REBUMP CUP*?

18 A. Yes.

19 Q. What does that mean?

20 A. That bumps in the cup would, would be the
21 bumps that we talked about before that
22 helps that actuation pressure.

23 Q. Yeah, that's the bump that we labeled on,
24 on Exhibit Number 2, right, that was on

1 the cup? That they -- you called the
2 bump?

3 A. Yes. Labeled bump, right here.

4 Q. Why do, why do they call it rebump cup?
5 Do you know?

6 A. I assume we're moving the bumps on the
7 cup.

8 Q. Who's Valentine? Do you know? It's men
9 -- that person's name is mentioned in the
10 first sentence, right after REBUMP CUP?

11 A. Valentine is a company that stamps and
12 draws metal components.

13 Q. Was that the supplier of the -- or is
14 that the ultimate supplier of the cup?

15 A. I'm not sure if Valentine's the ultimate
16 supplier or not.

17 Q. But at any rate, Valentine, the company,
18 was doing work on trying to make a cup
19 for the 77PS switch?

20 A. Yes.

21 Q. And this is in the development stages?
22 They're just trying to get things right,
23 correct?

24 A. Well, what do you mean by trying to get

1 things right?

2 Q. Well, so that they fit the specifications
3 that were provided to you by Ford?

4 A. The --

5 Q. Provided to TI by Ford?

6 A. TI's trying to design the cup to have the
7 bumps in the correct location.

8 Q. So you get the right actuation pressures
9 and that kind of thing that Ford
10 requested?

11 A. Yes.

12 Q. All right. Okay. And then below the
13 *REBUMP CUP, REBUMP CUP* paragraph, there's
14 a paragraph entitled *REVALIDATION*, and it
15 says, "The Light Truck validation testing
16 is progressing on schedule."

17 A. Uh hum.

18 Q. Light truck, is, is that discussing
19 pressure switches?

20 A. Light truck --

21 Q. For light truck?

22 A. Light truck would refer to the vehicle
23 platform.

24 Q. Okay. And -- but they're pressure

1 switches, brake fluid type pressure
2 switches, just like the 77PS? Not just
3 like it, but similar?

4 A. We were designing brake -- speed control
5 deactivation switches for Ford for both
6 passenger cars and light trucks --

7 Q. All right.

8 A. -- at the same time.

9 Q. Okay.

10 A. And there was differences between those
11 parts.

12 Q. But if the light truck speed control
13 deactivation switch is in what, I guess
14 TI calls it here a revalidation, what
15 does that mean?

16 A. It would mean we were running validation
17 testing a second time, I would imagine.

18 Q. For the light truck speed control
19 deactivation switches?

20 A. Yes. I would expect that that what's
21 Steve's referring to.

22 Q. Do, do you -- have you looked at any of
23 the differences between the light truck
24 speed control deactivation switch, which

1 is mentioned here on Exhibit Number 1,
2 and, and the 77PS, which was eventually
3 installed on the -- which was eventually
4 developed and installed on the Panther
5 platform vehicles? Or was there any real
6 diff -- differences between those two
7 switches?

8 A: I'm not sure what the configuration of
9 the design for the light truck part was
10 at this point, in October of '90.

11 Q: Okay. So for the light truck design and
12 development to be at the stage of
13 validation or revalidation, would it be
14 safe to assume that the light truck speed
15 control deactivation switch which TI was
16 developing and to produce for Ford, that
17 had already been through most of its
18 developmental stages and was almost ready
19 for production?

20 A: Not necessarily, no.

21 Q: Well if it did pass validation, then it
22 -- would it have been ready for
23 production?

24 A: Again, not necessarily.

1 Q. Okay. Why not?

2 A. For example, I'm talking hypothetically
3 here, the validation may have been tested
4 off soft prototype tools, and the part
5 would not be ready for production till it
6 was completely val -- validated off
7 production tools.

8 Q. Okay. So you mean -- what does soft
9 production tools mean?

10 A. Soft prototype tools?

11 Q. Yes, Sir.

12 A. That, for example, it might be a one
13 cavity mold for a plastic part versus an
14 eight cavity tool might be planned for
15 production. It may have been assembled
16 off the sample line rather than a
17 production line. During the development
18 of program, we'll do validation testing
19 pretty much constantly through the
20 program.

21 Q. Okay. So based on what you read about
22 this revalidation, it may not be the end
23 of the line for the development of the
24 light truck speed control deactivation

1 switch? This may have just been a
2 validation or a revalidation done in the
3 middle of the development of the light
4 truck speed control deactivation switch?

5 A. Yes.

6 Q. Okay. Texas Instruments performs
7 numerous testing throughout the
8 developmental stages of all of its
9 pressure switches, doesn't it?

10 A. Yes.

11 Q. To make sure that when they go -- when
12 Texas Instruments starts producing, it's
13 going to produce the switch that the
14 customer asked for?

15 A. To make sure that we give out the switch
16 correctly, so ultimately when we're in
17 production, we produce the switch the
18 customer has asked for.

19 Q. All right. And throughout all these
20 testing and validation and, and so forth
21 that TI does during the development of a
22 typical pressure, if TI comes across
23 problems or issues related to the
24 achievability of engineering

1 specifications for the customer, TI
2 informs the customer about those problems
3 or issues, correct?

4 A. It depends on where we are in the program
5 and the situation of the program.

6 Q. But --

7 A. For example, we may be developing a part
8 and want to -- we're trying to decide how
9 to design the part, and we may take four
10 different designs and put them through
11 different testing. And some designs may
12 meet specifications, some don't. We
13 wouldn't necessarily provide all that
14 information to Ford or whoever the
15 customer is. The customer would not want
16 us telling them every day, telling them
17 everything.

18 Q. Now, how things are going in the
19 development of the switch?

20 A. Well, not, not to tell them every last
21 detail.

22 Q. Uh hum.

23 A. There will be periodic communication
24 between TI and the customer on the

1 development and how the development is
2 going.

3 Q. Uh hum. So a customer like Ford would
4 leave it up to TI as -- and let TI do as
5 it saw fit on a, on a numerous -- on --
6 for numerous tests and validation type
7 testing that TI thought it may need to do
8 in order to properly make the switch
9 which the customer ordered?

10 MR. CARTER: Objection to
11 form.

12 A. No, no, that's --

13 MR. BURROW: Objection to
14 form.

15 A. -- that's, that's not what I'm saying.
16 Ford would define the specification that
17 TI is trying to meet. There are defined
18 periods during the development program
19 that Ford defines where TI needs to have
20 parts that, that meet different
21 requirements and are tested and measured
22 to those requirements. Besides those
23 minimal points, there are updates to Ford
24 in terms of how the development is going

1 and, and what issues are being seen and,
2 and things like that.

3 Q. Okay. Turn to page, in Exhibit Number 1,
4 TI Bates stamp number 4384, which is the
5 week ending October the 12th, -1990? Up
6 there at the top it's got the same
7 heading, FORD MODEL YEAR '92 NEXT
8 GENERATION SPEED CONTROL DEACTIVATION
9 PRESSURE SWITCH. At the top there's
10 another paragraph entitled *REXPORT*.
11 About the third line down, down it talk,
12 it talks -- it mentions a guy named Bruce
13 Pease. Did you ever meet or talk to
14 Bruce Pease?

15 A. No, I did not.

16 Q. Have you ever talked to him at all?

17 A. No, I have not.

18 Q. It appears that Bruce may be the point
19 man for Ford for the development of the
20 77PS switch. Would that be fair to say?

21 A. There were sev -- there were several
22 people at Ford involved with TI defining
23 the specification and development of the
24 switch. Bruce Pease would have been one

1 of the individuals.

2 Q. Okay. And then in that same week, I
3 guess it looks like there's some rebump
4 cup information included there, right?

5 A. Yes.

6 Q. At the, the last paragraph of the rebump
7 cup section, there is a -- let's see,
8 the, the end of the second line in the
9 last paragraph, there's a sentence that's
10 in parenthesis that starts, "The other
11 problem this bending creates is that it
12 widens the gasket gland, reducing
13 compression." And before that it talks
14 about a paper that studies highlights of
15 bending. Do you know -- have you ever
16 read the paper that this, that this
17 mentions?

18 A. No, I have not.

19 Q. It says that there's an issue the "paper
20 study highlights in the bending of the
21 washer that takes place during crimp,
22 which has the effect of reducing the
23 available room for the discs -- the
24 disc." Is that the, the, the disc that's

1 bent across here like this?

2 A. Yes.

3 Q. Is that what they're talking about?

4 A. Yes, that's the disc.

5 Q. The -- then it says, "The other problem

6 this bending creates is that it widens

7 the gasket gland," just like I said.

8 I've already that. Then the next

9 sentence after that, it says, "For

10 simplicity my paper study assumed a flat

11 washer. The reason that the washer bends

12 is because the three square pieces of

13 .005" Kapton have a full .015" thickness

14 at the center, which tapers to .010" at

15 some radius and to .005" at the

16 outermost." This issue about the

17 thickness of the Kapton across the, the

18 cup, was this ever resolved?

19 MR. CARTER: Objection,

20 form.

21 A. There is no issue with the thickness of

22 the Kapton.

23 Q. Well, why is he talking about a problem?

24 It says, "the other problem," about

1 bending?

2 A. What Steve's referring to is that during
3 the crimping process, because of the way
4 the structure of the device is set up,
5 there's some bending of the washer, and
6 we need to take into account that bending
7 in the full design of the product.

8 Q. Okay. So when, when he -- is he talking
9 about the, the crimp that's made by bend
10 number 1 that's identified on Exhibit 2?

11 A. Yes, he is.

12 Q. When that bend is created, or made,
13 crimped, there's some compressive force
14 applied to the material that's stacked up
15 within the cup?

16 A. Yes.

17 Q. All right. And he's saying that there's
18 some unequal force of that crimp across
19 the face of the cup, or can you explain
20 that to us somehow?

21 A. He's saying that when the device is
22 crimped, the loading in this area --

23 Q. Okay. And you're pointing to the outside
24 perimeter?

- 1 A. Between the washer and the hexport.
- 2 Q. Okay, and they'd be --
- 3 A. Okay.
- 4 Q. -- a location near the outside perimeter?
- 5 A. Near the outside perimeter. As those two
- 6 are drawn together --
- 7 Q. Okay.
- 8 A. -- since there's less material thickness
- 9 on the outside than on the inside, where
- 10 the washer also mates with the hexport,
- 11 that there will be some bending of the
- 12 washer.
- 13 Q. All right. And so what's the idea of
- 14 that bending in the washer? The
- 15 engineering needs to take into account
- 16 that bending and change in dimension?
- 17 A. Yeah, we need to take into account that,
- 18 that bending, that change in dimension
- 19 around the disc and the change in
- 20 dimension around the elastomer.
- 21 Q. All right. And anything that effects
- 22 that dimension change, like crimping
- 23 pressure or the crimping mechanism
- 24 itself?

1 A. The crimp, the crimp would be set up to,
2 to a certain specification. For example,
3 for crimp height, certain range to make
4 sure that -- and we would make sure that
5 as we were in that range, we were making
6 the device consistently and getting the,
7 for example, right actuation pressures
8 out of the device.

9 Q. Okay. So when the crimp is made, bend
10 number 1, is made on the cup, the amount
11 of that bend or the amount that it's
12 compressed has something to do with the
13 forces that are applied to the Kapton?

14 A. I'm not sure what you mean by the forces
15 applied to the Kapton. Steve was
16 referring more to it could reduce some
17 area for the disc and, and it could
18 reduce the compression on the elastomer.

19 Q. Well, between the, between the washer and
20 the hexport is the Kapton, correct?

21 A. Yes.

22 Q. And if the washer is squeezed with more
23 force, it's sort of a relative term, the
24 more force that's applied to the crimp,

1 then the more force that's applied to the
2 Kapton which is placed between the two?

3 A. Yes.

4 Q. Is that fair to say?

5 A. Yes.

6 Q. So you get -- you got to get a good crimp
7 with just the right amount of force so
8 that you don't damage the Kapton, number
9 one, and so that you have a good seal
10 internally within the, the components of
11 the switch?

12 A. I haven't seen us damage Kapton from
13 having excessive crimp pressure or low
14 crimp pressure, or anything like that.

15 Q. I didn't, I didn't mean to imply that,
16 but if you had applied too much force, it
17 could damage the Kapton, correct?

18 A. I'm not sure, 'cause the Kapton's going
19 to compress.

20 Q. All right.

21 A. I mean, it's only so much it's going to
22 compress.

23 Q. Okay. But there's a certain amount of
24 compression that TI wants when they do

1 the crimp that's on -- that's band number
2 1, right?

3 A. Certain amount of compression of the
4 elastomer, not the -- the compression of
5 the Kapton doesn't matter that much.

6 Q. Okay. Let's go back to the Highlights,
7 and let's go to the next week then, week
8 ending October the 18th, 1990, TI Bates
9 stamp 4383. And, and I'm not going to
10 say much about this. It talks a little
11 bit more about the rebump cup. But it
12 does talk about some just different
13 configurations of the rebump cup, doesn't
14 it?

15 A. Uh hum.

16 Q. So to guess what's going on here is
17 they're just trying to find the location
18 of where the bump ought to be and the
19 height of it and that kind of thing,
20 right?

21 A. Right. Trying to define the dimensions
22 of the cup that will allow us to produce
23 a design that meets the Ford
24 specification.

1 Q. And at this point in time, you guys at TI
2 have not made any 77PS switches yet for
3 distribution to Ford, right?

4 A. We have not made switches -- production
5 switches. We were not in production
6 providing switch --

7 Q. Right.

8 A. -- switches to Ford at this point. I,
9 I'm not sure -- I'm sure some samples
10 were already given to Ford, and I'm not
11 sure of the exact configuration of those
12 samples.

13 Q. So you think that at this point in time
14 that samples may have been already given
15 to Ford for testing?

16 A. I think it's possible.

17 Q. Okay. That, that -- is that something
18 that may be mentioned in the Highlights
19 somewhere?

20 A. It might be.

21 Q. At the bottom, it talks about validation
22 of the light truck pressure switches,
23 doesn't it?

24 A. Yes.

1 Q. What is that second sentence under
2 VALIDATION, the first line, it says,
3 "Gating item on Passenger -- on Pass
4 Car." What is pass car, a passenger car?

5 A. Passenger car, yes.

6 Q. Is that, is that the 77 -- was that the
7 ultimate -- or was that what the 77PS
8 switches were called initially?
9 Passenger car switches?

10 A. The development for Ford in the beginning
11 was broken down into two developments, a
12 pass -- a development for passenger car
13 and development for light truck. The
14 first platform application for passenger
15 car was the Panther platform.

16 Q. All right. If you were having trouble,
17 or if TI was having trouble with the
18 light truck pressure switches, with an,
19 an item that's common between the light
20 truck pressure switch and the 77PS
21 pressure switch, would TI use that
22 information to help change or alter or
23 prevent the same problem from occurring
24 --

1 MR. CARTER: Objection to
2 form.

3 Q. -- between the two switches?

4 A. What --

5 Q. Just hypothetically?

6 A. What do you mean by problem? What type
7 of problem?

8 Q. Just a hypothetical problem, having to do
9 with anything within the pressure switch
10 between the light truck type pressure
11 switch and the 77PS pressure switch?

12 A. It's in the design of the pressure
13 switch?

14 Q. Yeah. Either the design or TI's attempt
15 to, to get into production or to meet
16 validation, or anything like that? Would
17 it use that information to help prevent
18 that same kind of problem occurring in
19 the 77 pressure switch style?

20 MR. CARTER: Objection,
21 form.

22 A. TI's going to use any information
23 available to provide a design that meets
24 specification.

1 Q. Right. And that's an efficient thing to
2 do, isn't it? At Texas Instruments? If
3 you learn something while you're making
4 the switch for a light truck, and then if
5 a lot of the components of the light
6 truck switch are identical or the same as
7 another switch that TI is going to make,
8 then you want to use your information and
9 overlap it over to the new switch,
10 correct?

11 A. Yes --

12 MR. CARTER: Objection,
13 form.

14 A. -- if the information is applicable.

15 Q. Right.

16 A. You might use it, yes.

17 Q. Okay. That's a common thing to do, isn't
18 it, at TI, Texas Instruments?

19 MR. BURROW: Objection to
20 form.

21 MR. CARTER: Objection,
22 form.

23 Q. Let's go to 10/26/90, the week ending
24 10/26/90. TI Bates stamped 4382. It

1 looks like light truck part pressure --
2 the pressure switches for the light
3 trucks are still in the validation
4 testing, correct?

5 A. Can you tell me where you're referring
6 to?

7 Q. Let's make sure we're on the right page.
8 Yeah. About in the middle. It just says
9 VALIDATION.

10 A. The section on validation?

11 Q. Yeah.

12 A. Okay.

13 Q. It says light truck is still on schedule
14 for validation, correct?

15 A. "Is still progressing on schedule," yes.

16 Q. It says the, "The Light Truck parts have
17 completed the fluid resistance test and
18 have been delivered to the appropriate
19 subsequent tests: Humidity, Salt Spray,
20 Thermal Strength, and Impulse." Right?

21 A. Terminal Strength.

22 Q. Yeah, Terminal Strength. Now, those
23 kinds of tests, do you -- are you
24 familiar with where those kinds of tests

1 would take place during the developmental
2 stages of a pressure switch?

3 A. Yes.

4 Q. Would this be a test that would be
5 conducted near the end of the
6 developmental stage?

7 A. I, I -- when you said place, I assumed
8 you meant location of the -- where the
9 tests would be run.

10 Q. Oh, I'm sorry. I mispoke. These tests,
11 the humidity, salt spray, thermal
12 strength and impulse --

13 A. Yes.

14 Q. These tests, these would take place --

15 A. Time-wise?

16 Q. Time-wise during a time period that would
17 be at the beginning of development of the
18 switch, or near the end of development of
19 the switch, if you know?

20 A. Depending on the development, they would
21 happen at several different points during
22 the development of the switch.

23 Q. But these specific type tests, humidity,
24 salt spray, terminal strength and

1 impulse?

2 A. They would happen at least twice during
3 the development. And may happen more.

4 Q. Is that --

5 A. It may happen very early, may happen
6 later.

7 Q. What is the purpose of a humidity test?

8 A. To --

9 Q. And are, are you familiar with the
10 humidity test?

11 A. Yes, I can't tell you the exact specifics
12 in the humidity tests defined by Ford,
13 but I'm familiar with the types of
14 humidity tests that our customers require
15 us to run. The humidity test, the
16 purpose of that is, is to prove the
17 device will work in the humidity
18 environment they see in the application.

19 Q. Well, TI conducts the humidity tests,
20 correct, on the pressure switch?

21 A. Yes.

22 Q. And this Highlight we're talking about is
23 humidity test conducted by Texas
24 Instruments on the light truck pressure

1 switch, correct?

2 A. Yes.

3 Q. And then there's a salt spray test.

4 What's a salt spray test for?

5 A. We spray the outside of the part with a,
6 a salt fog, a salt spray, to prove that
7 it meets the performance and corrosion
8 requirements after that type of
9 environmental exposure.

10 Q. Okay. Do you -- are you familiar with
11 that type of test, the salt spray test
12 that TI conducts on pressure switches?

13 A. Yes.

14 Q. And tell us how that test is set up, and
15 what are the typical parameters of the
16 test?

17 A. There's a chamber, and the parts are
18 placed in the chamber, and the chamber
19 sprays salt water on to the parts
20 intermittently. There's a defined period
21 of time. There's a defined salt content
22 in the water.

23 Q. Does TI provide those specifics as far as
24 salt content and time?

1 A. We calibrate the chamber to make sure
2 it's meeting the specification. All, all
3 of these tests are defined in the Ford
4 specification, and we were running those
5 tests to the Ford specification.

6 Q. Okay. So Ford tells TI to, to build a, a
7 chamber of some type and, and insert it
8 -- insert a pressure switch in the, in
9 the chamber and spray salt water on it?

10 MR. CARTER: Objection,
11 form.

12 A. Ford, Ford tells TI what tests need to be
13 run to validate the part. In this case,
14 salt spray testing was included.

15 Q. Yeah.

16 A. Ford defined, in this case, a standard
17 ASTM test to run salt spray.

18 Q. All right.

19 A. So TI ran that, that test. We have a
20 chamber that can run that test.

21 Q. Did -- do you remember if the salt spray
22 tests, the pressure switch, is it
23 pressurized with brake fluid?

24 A. During the salt spray test?

1 Q. Yes, Sir.

2 A. I do not believe that it is.

3 Q. Did it have electrical power supplied to
4 its terminal?

5 A. I do not think electrical power is
6 applied during the salt spray test.

7 Q. Is there a, a terminal plug fitted on to
8 the terminal?

9 A. The mating connector is --

10 Q. Oh, the mating connector.

11 A. -- is placed on the, on the base during
12 the salt spray test.

13 Q. Okay. And why is that done, to test the
14 seal?

15 A. To, to -- if we didn't put the mating
16 connector on the part, since the mating
17 connector provides the seal into the base
18 of the switch, the base would fill with
19 salt.

20 Q. Okay. So you're testing the seal of the
21 mating connector with the electrical
22 base?

23 A. In, in effect, we are. The purpose of
24 our test and validation is to validate

1 the switch, not to validate the mating
2 connector.

3 Q. All right. And part of the validation of
4 the switch was to test to see if salt
5 spray, the salt spray test, would result
6 in salt water getting into the electrical
7 side of the switch?

8 A. Or getting into any area of the switch or
9 providing too much corrosion on the
10 outside of the switch.

11 Q. Okay. And there's a set temperature that
12 the, the test is run at?

13 A. Yes. There's a set temperature, set
14 percentage salt. Other things, I'm sure.

15 Q. The angle of the switch within the
16 chamber? Is that determined by anybody?

17 A. I don't remember if Ford specifies the
18 exact position of the part in the
19 chamber. I don't think they do. The
20 chamber's set up with spray coming at
21 many different angles.

22 Q. All right. But you don't remember if the
23 test specifies what orientation the
24 switch ought to be aligned at?

1 A. I don't think it does, but I'm not a
2 hundred percent positive.

3 Q. Do you remember if the test requires that
4 there be a wire or an exemplary type wire
5 going to the mating connector?

6 A. What do you mean by a wire? You mean
7 part of the --

8 Q. There's a mating connector, correct?

9 A. Yeah, yeah.

10 Q. Is there a wire coming out of it? Not
11 necessarily hooked up to anything, but at
12 least a wire coming out of the mating
13 connector, similar to what it would be
14 once the switch and connector were on the
15 vehicle?

16 A. They would need to be, or the holes in
17 the mating connector grommet for the wire
18 would allow salt water to come in. So
19 some wire or something would need to be
20 in that hole.

21 Q. So it's either got a wire coming out of
22 that mating connector, or maybe that --

23 A. Plugged with something.

24 Q. -- is plugged with something?

- 1 A. It's possible.
- 2 Q. But you don't know, one way or the other?
- 3 A. Usually it's run with a wire.
- 4 (Discussion off the record.)
- 5 A. Usually it's run with a wire. Usually
- 6 the mating connectors are provided from
- 7 the customer.
- 8 Q. Okay.
- 9 A. That's for that test.
- 10 (Discussion off the record.)
- 11 Q. Okay. But you're -- are you pretty sure
- 12 about that on these salt spray tests,
- 13 that there is a wire provided to the
- 14 mating connector, or is it plugged?
- 15 A. I'm pretty sure it's a wire.
- 16 Q. Okay. But you're not sure about the
- 17 orientation, the angle of the switch when
- 18 it's installed in the chamber?
- 19 A. I'm not sure.
- 20 Q. For the test? And are you -- have any
- 21 idea about the, the direction or angle of
- 22 the wire that comes out of the mating
- 23 connector during the switch?
- 24 A. Typically it's set up with a drip loop.

1 Q. A drip loop?

2 A. Yes.

3 Q. Okay. In other words, it, it will -- the
4 wire comes down and it dips down?

5 A. Yeah. And then comes out of the chamber
6 at the top.

7 Q. Okay. And is that the way the salt test
8 done -- was done on the 77PS and the
9 57PS?

10 A. It would have done -- been done in --
11 matching the requirements of the Ford
12 specification and the ASTM specification
13 called out there.

14 Q. Okay. Terminal strength. Tell us what
15 that has to do with, that test?

16 A. To make sure that the terminals can't be
17 pushed into the device or pulled out of
18 the device within a certain force limit.

19 Q. Okay. Are we talking about terminals
20 which I'm point to you right here --

21 A. Yes, the terminals in the base.

22 Q. Why don't you draw a line to that and
23 label that terminal? It's just not
24 labeled on that drawing.

- 1 A. Actually, it is. There's a movable
2 terminal label, then the stationery
3 terminal label.
- 4 Q. Oh, okay. It just is labeled before it
5 goes through. What's this section of the
6 switch called right here? Of the base?
- 7 A. I don't think we have a name for it.
8 It's just part of the base.
- 9 Q. Okay. Is it -- what do you do in the
10 terminal strength test with regard to
11 terminal strength? How do, how do you
12 conduct that test at TI?
- 13 A. Typically, you apply a force pushing the
14 terminal to see what force the terminal
15 will start to move and, and, and then
16 you'll pull on the terminal and see what
17 force the terminal starts to move.
18 Usually the test's written as a certain
19 requirement, so you'd push on that
20 requirement and then re -- remeasure it
21 to make sure the terminal has not moved.
- 22 Q. Okay. And then what is the impulse test?
- 23 A. The impulse test is the pressure cycling
24 test, where we would apply zero to

1 fourteen hundred and fifty psi five
2 hundred thousand cycles.

3 Q. Zero to fourteen hundred and fifty?

4 A. Fourteen hundred and fifty psi, yes.

5 Q. Was -- is that -- was that the pressure
6 requirements for the light truck pressure
7 switch?

8 A. Yes, that's what's written in Ford's
9 specification.

10 Q. For the light truck and also the, the
11 passenger car --

12 A. Yes.

13 Q. -- the 77PS?

14 A. Yes.

15 Q. Same pressure test, impulse test,
16 criteria?

17 A. Yes.

18 Q. For both of those switches?

19 A. Yes.

20 Q. That TI was developing for Ford?

21 A. Yes.

22 Q. Okay. Says those tests are going to be
23 -- get under way, and I guess we'll read
24 about those in here in a minute when we

- 1 get to them. Turn to the next page,
2 which is the next week, the 11/2/90 --
- 3 A. Uh hum.
- 4 Q. -- Bates stamped 4381, and it's written
5 in hand?
- 6 A. Yes.
- 7 Q. By Steve, and I guess maybe his secretary
8 was on vacation or something?
- 9 A. No, engineers would have written their
10 own highlights. I assume he had
11 difficulty getting computer access that
12 morning.
- 13 Q. I see. The first paragraph is labeled,
14 labeled HEXPORT?
- 15 A. Yes.
- 16 Q. Right after that we have the, the REBUMP
17 CUP paragraph again. And it looks like
18 at this point, there's been a production
19 part number assigned to the rebump cup of
20 277137
- 21 A. Has been reserved.
- 22 Q. Has been reserved --
- 23 A. It's been reserved.
- 24 Q. But just because there's a number, that

1 doesn't mean that it's ready to go?

2 A. That's correct.

3 Q. Okay. The cup could still go through
4 some changes?

5 A. Changes, yes.

6 Q. Okay. And it mentions light truck is
7 still progressing on schedule for
8 validation?

9 A. Yes.

10 Q. At this point, any distribution of light
11 truck pressure switches from TI to Ford,
12 if you know?

13 A. I don't know.

14 Q. Any samples for light truck --

15 A. I don't know.

16 Q. -- pressure switches? And you don't know
17 if there's been any samples delivered by
18 TI to Ford for the passenger car pressure
19 switches, either?

20 A. I'm not sure at this point. I would
21 imagine probably some samples were.

22 Q. Okay. All right. And let's go to the
23 next day -- next week. That's 11/9/90,
24 Bates stamped 4379. And it mentioned

1 REBUMP CUP again. I guess they're still
2 working on that. Hang on just a second.
3 Down to the bottom paragraph, it, it
4 starts out, "The bad news." And just
5 read, read over that paragraph for me
6 real quick, because I want to talk to you
7 about it a little bit.

8 A. Read the entire paragraph?

9 Q. Yeah. Just to yourself. You don't have
10 to read it out loud. Because I'm going
11 to ask you some questions about it.

12 Okay. I've just been given a note that
13 we have four minutes left on the video,
14 so we're going to go off the record just
15 a second while she changes the video.

16 THE VIDEOGRAPHER: This is
17 the video reporter. The time is 11:15.
18 We are going off the record. We are at
19 the end of tape number one.

20 (Recess.)

21 THE VIDEOGRAPHER: This is
22 the video reporter. The time is 11:18.
23 We are back on the record. This is the
24 beginning of tape number two, deposition.

1 of Steven Beringhauser.

2 Q. Okay. Before we go to that last
3 paragraph that -- it mentions the bad
4 news, let's go up here to where it says
5 *SAMPLES*, it's just two paragraphs above
6 that?

7 A. Uh hum.

8 Q. It says, "A total of 215 parts are due
9 today, 11/09, to three different
10 customers as follows: 20 to Ford WIN88."
11 What does that mean? W-I-N-88?

12 A. That's the platform name for the Winstar.

13 Q. Okay. "To complete a partial shipment;
14 15 to Weatherhead." Who's Weatherhead?
15 Do you know?

16 A. I'm not sure.

17 Q. And then, "also to complete a partial
18 shipment." Oh, that's for Weatherhead.

19 "And 180 to Hillite Industries with --
20 together -- which together with 20
21 previously shipped represent 25% of their
22 order of 800 parts for ISIR use." Who is
23 Hillite?

24 A. Hillite Industries is a company. In, in

- 1 this case, they make the proportional
2 valve that the switch is mounted to.
- 3 Q. Okay. So they're involved with the, the
4 passenger car switches?
- 5 A. Yes.
- 6 Q. They're the, they're the middle man, I
7 guess. They put the switch on the
8 proportioning valve, right?
- 9 A. Right.
- 10 Q. And then they deliver that assembled unit
11 to Ford?
- 12 A. Yes.
- 13 Q. As far as you know?
- 14 A. Yeah. They may be doing other things, as
15 well, that I'm not --
- 16 Q. Okay.
- 17 A. -- I'm not aware of.
- 18 Q. But TI delivers the samples? I guess
19 these are samples, right?
- 20 A. Yes.
- 21 Q. To Hilite. Eight hundred samples are
22 going to go? This is only twenty five
23 percent of the order?
- 24 A. Uh hum.

- 1 Q. What does ISIR mean?
- 2 A. That's Ford terminology.
- 3 Q. You know what that means? Is it --
- 4 A. Typically, it, it refers to different
5 specific submissions along the
6 development path that, that Ford has.
- 7 Q. Okay. So is -- do you think that this is
8 the first time -- well, first off, did
9 these one hundred and eighty switches
10 that to go Hilite, and the ultimate eight
11 hundred, were those switches, the
12 pressure switches for the passenger car,
13 pressure switches that we're here about
14 today?
- 15 A. I would expect that they were. I, I
16 don't know a hundred percent for sure,
17 'cause we may have been sampling Hilite
18 for other applications at Ford.
- 19 Q. All right. But these one hundred and
20 eighty or so switches that TI delivered
21 to Hilite, they were samples made by
22 Texas Instruments to test, I guess, by
23 either Hilite or Ford during the
24 development stages of the pressure

1 switch?

2 A. Right.

3 Q. For the Panther platform, which was -- is
4 ultimately going to be the 77PS switch?

5 A. Most likely the Panther platform. Again,
6 I'm not a hundred percent sure, but most
7 likely.

8 Q. Okay. Do you think this is probably the
9 first shipment of any samples for the
10 development of the 77PS switch?

11 A. Judging by the quantities, they were
12 probably earlier samples.

13 Q. Okay. Oh, yeah, it says twenty were
14 previously shipped, right?

15 A. Yes.

16 Q. Okay. Go down to "The bad news." It's
17 -- I mean, it may not be bad news. I
18 don't know. And that, that's what it
19 says. And you've read that. What is
20 that -- what does all this mean about the
21 Kapton placement of the three Kapton
22 pieces and the splaying? Now, you talked
23 about earlier that Texas Instruments has
24 a sensor on their line to -- on, on the

1 assembly line which will identify
2 improper placement?

3 A. Uh hum.

4 Q. Did that sensor and the improper
5 placement mechanism on the assembly line,
6 did that resolve this problem, as
7 mentioned at the bottom of the document?

8 A. On the 57PS hand line, the three Kapton
9 layers are stacked, they're not splayed.

10 Q. Okay.

11 A. And on the 77PS production line, they are
12 splayed so we can contact the points and
13 confirm that all three layers of Kapton
14 are present.

15 Q. Okay. This paragraph that says the bad
16 news, does this talk about 57PS switches
17 or the 77PS switches?

18 A. I think it's referring to the samples
19 built for Hillite.

20 Q. Okay. It says we -- that "We discovered
21 that, on the line." What does that mean,
22 on the line?

23 A. Where are you reading?

24 Q. The first line.

1 A. Okay. I assume he's referring to the
2 hand line for the 57P8 line, which he
3 refers to above in the section titled the
4 good news.

5 Q. Well, it says -- if you read the whole
6 sentence, "We discovered that, on the
7 line, the three pieces of Kapton are not
8 carefully splayed in a twelve-point
9 configuration as they are when we
10 hand-assemble."

11 A. Right.

12 Q. So you're saying that, that on the line
13 means they were hand assembled or not
14 hand, or --

15 A. No. Hand assembled would be referring to
16 in the lab, the design lab.

17 Q. Okay.

18 A. Samples built in the design lab.

19 Q. All right. So this sentence talks about
20 some that were built in, in the design
21 lab, right?

22 A. When -- this paragraph says that when we
23 build the parts in the design lab, we're
24 splaying the Kapton --

1 Q. Properly.

2 MR. CARTER: Objection,
3 form.

4 A. I, I don't think it's properly or not
5 properly, it's just the way that those
6 parts are being built.

7 Q. All right.

8 A. That they're being splayed on a hand
9 line. And on the 57Ps line, production
10 line, the three layers are stacked.

11 Q. Okay. And does he, whoever writes this
12 -- I guess it's Stephen, right, who is
13 writing this?

14 A. Steve Offiler.

15 Q. Does he mention that there may be a
16 problem with the way this stacking is?

17 A. He says there's a difference in terms of
18 the actuation pressure, depending on how
19 the Kapton is stacked.

20 Q. Uh hum. What's the, what's the
21 differences in wetted area mean?

22 A. The Kapton needs to wet to the washer and
23 the converter.

24 Q. Okay.

- 1 A. And depending on how it's stacked may
2 effect how it wets to the washer and the
3 converter.
- 4 Q. Does that have anything to do with Kapton
5 life or failure, the wetting area?
- 6 A. It has, it has much more to do with
7 drift.
- 8 Q. What is drift?
- 9 A. Actuation pressure drift over time.
- 10 Q. What do you mean over time? Over time of
11 the life of the switch or --
- 12 A. Yeah.
- 13 Q. -- time of production of the switch.
- 14 A. The life of the switch.
- 15 Q. Okay. Are you saying that over the life
16 of the switch, the actuation pressure may
17 change?
- 18 A. It may shift, yes.
- 19 Q. Because of changes in the internal
20 dimensions of the switch, or what?
- 21 A. Changes in the switch and, and how the
22 switch actuates.
- 23 Q. Okay. And if you turn to the second page
24 of that week, Bates stamp 4380, there's

1 a section entitled DISCS, does that talk
2 about the Kapton disc, or is that talking
3 about the, the other disc that has to do
4 with -- well, I guess it's that metal
5 disc? That's what I'm going to call it.

6 A. It's referring to the stainless steel --

7 Q. Okay.

8 A. -- disc.

9 Q. They're talking about the life of that
10 disc, that stainless steel disc --

11 A. Uh hum.

12 Q. -- but in the middle -- let me see.

13 There's a long first sentence. And then
14 the second sentence starts out, "We are
15 now performing," "We are now performing a
16 standard disc life test on these. In
17 addition, half of each disc lot will be
18 -- will use splayed Kapton and the other
19 half stacked Kapton, and all devices will
20 be using the new Elco hexports to further
21 test the integrity of the seal." Are you
22 saying that the, the splaying, or either
23 the half stack or the splaying of the
24 Kapton, changes the disc life, the

1 stainless steel disc life? Is that what
2 this test was about?

3 MR. CARTER: Objection,
4 form.

5 A. That test is, is being set up to run with
6 half splayed Kapton, half stacked Kapton
7 to make sure that the disc life is
8 acceptable in both configurations. I'm
9 not sure exactly why Steve decided to, to
10 run both configurations or not.

11 Q. So, I guess that way that he was trying
12 to determine whether or not stacking of
13 Kapton or splaying of Kapton had anything
14 to do with the stainless steel disc life,
15 right?

16 MR. BURROW: Objection.

17 MR. CARTER: Objection,
18 form.

19 A. It, it's possible that that's what he was
20 trying to understand. I'm not sure. I
21 don't think you can tell from reading
22 this.

23 Q. Okay. Let's just go, then, to the next
24 week, which is 11/16/90, Bates stamped

1 4378. And just skip down to the -- wait,
2 wait just a second. Yeah, just skip down
3 to the *CUSTOMER ISSUES* paragraph. It's
4 capitalized and in italics. Says, "I
5 received a call on Tuesday from Bruce...
6 It seems that the three car fleet in
7 Florida has -- was having speed control
8 problems... For no apparent reason Bruce
9 was contacted rather than the correct
10 person, Gary Klingler." Who's Gary
11 Klingler?

12 A. He works for Ford.

13 Q. Okay.

14 A. Or he did at this time.

15 Q. All right. "No, no system debug has
16 taken place yet; any blame directed to
17 our switches is arbitrary and
18 preliminary." And the last, "I overnited
19 3 replacement switches to Florida to
20 placate them. Bruce will, Bruce will
21 bring Klingler into the loop so the real
22 problem can be determined, and ultimately
23 our 'questionable' switches will be
24 returned to us." What are they talking

1 about in this customer issue? What is
2 Stephen talking about here? Can you
3 tell?

4 A. Yes. There's a fleet test in Florida,
5 where Ford was probably running a test to
6 test the system out, that there was some
7 system issues initially. For whatever
8 reason, they felt the switch might be the
9 cause of the problems. Bruce Pease was
10 contacted, and Bruce is going to contact
11 Gary Klingler, 'cause he has more system
12 knowledge of the full speed control
13 system, so Ford can diagnose the issue
14 and determine what the problem is or is
15 not. We sent replacement switches so
16 they'd be able to test to see if the
17 vehicles -- if the problem went away with
18 the replacement switches, and we asked
19 for the switches back to us so if there
20 was any issues with the switches, we'd be
21 able to evaluate the switches and
22 understand what that issue might be.

23 Q. All right. So this is about a year
24 before Texas Instruments delivers any --

1 about a year before TI delivers any
2 production switches to Ford for the 77P9
3 switches, right?

4 A. Yes.

5 Q. And it's, it's not very clear, and it
6 doesn't really say anything about what
7 the problem is, does it?

8 A. I think at this point, Steve didn't know
9 what the issue was.

10 Q. Okay. Let's go, then, to the next week.
11 11/30/90. My Bates stamp number is
12 missing on this one, so --

13 MR. BURROW: It's 004377.

14 MR. JOLLY: Yeah, it just
15 got cut off on the bottom. Thanks.

16 Q. It looks like Bruce Pease, if you look
17 down about the middle, MISCELLANEOUS
18 SAMPLES, has provided Texas Instruments,
19 or I think he has, I can't tell by
20 reading this, with the mating connectors,
21 offset key mating connectors. Is that
22 the electrical mating connector he's
23 talking about?

24 A. Yes. That would be the mating connector

1 that would attach to this end of the
2 switch.

3 Q. Okay. Not a whole lot going on there.
4 Let's -- and then go to the next week.
5 December the 7th, 1990, Bates stamped
6 4375. Looks like Texas Instruments
7 reports that -- or Steve reports through
8 Texas Instruments here, that he's
9 completed a six hundred and fifty piece
10 order of the samples for -- to deliver to
11 Hillite, correct?

12 A. Yes.

13 Q. He mentions something in the second
14 paragraph called "creep releases,"
15 C-R-E-E-P. What does that mean?

16 A. The way the switch is designed, it's
17 designed so that there's very little
18 movement of the disc until the correct
19 actuation pressure is achieved, and then
20 the disc snaps through. So it becomes a
21 very quick snap through. Creep is
22 defined as when the disc is slowly moving
23 through with pressure being applied,
24 rather than in one instant, when the

- 1 right pressure is applied, snapping
2 completely full -- completely through.
- 3 Q. Okay. So the creep release talks about
4 the movement from, I guess, a unreleased
5 position to a released position?
- 6 A. Creep release would refer to creep during
7 the release process, when, when the
8 pressure is applied and you rea -- get's
9 high enough, the switch actuates. If the
10 pressure drops below a small enough
11 point, the, the disc snaps and -- snaps
12 back. So --
- 13 Q. Is he talking about --
- 14 A. -- creep release would be talking about
15 the operation where the disc snapped
16 back.
- 17 Q. Oh, the -- when it's coming back --
- 18 A. When it's coming back.
- 19 Q. -- to its position?
- 20 A. Right.
- 21 Q. Which would be the position it would be
22 in if there weren't actuation pressure?
- 23 A. There was no pressure applied.
- 24 Q. Okay. But is it talking about that

1 movement back to that position with the
2 pressure still applied, or the pressure
3 released?

4 A. You have an actuation pressure. You have
5 a tolerance around an actuation pressure
6 where the switch needs to actuate.

7 Q. It pops? The disc pops?

8 A. The disc snaps.

9 Q. Yeah, snaps. Okay.

10 A. And then at a lower pressure, the disc
11 would snap back.

12 Q. Okay.

13 A. And that's called release.

14 Q. All right. Okay, so the creep release is
15 a descriptive of pressure being released?

16 A. Pressure being dropped, not necessarily
17 zero.

18 Q. Right.

19 A. But pressure dropped.

20 Q. Okay. And it just goes back slowly
21 instead of snapping back?

22 A. Yes.

23 Q. Okay. Slower than what you -- what TI
24 would want it to?

1 A. Right.

2 Q. And those are called -- that's called
3 creep release?

4 A. Right.

5 Q. Okay. The second paragraph of that -- of
6 the document we're talking about now
7 talks about some creep release switches?
8 Looks like they were pressure tested, and
9 they were re-checked in the lab, and I
10 guess uncovered a few little problems
11 here, right?

12 MR. CARTER: Object to the
13 form.

14 Q. This is just typical stuff that goes on
15 during, during the developmental era,
16 right?

17 MR. CARTER: Object to the
18 form.

19 A. We're just analysing any fall out from
20 our pressure testing to understand what
21 the fall out is.

22 Q. Right.

23 A. And if any design changes are necessary.

24 Q. Right. This is a year -- more than -- a

1 little less than a year before TI goes
2 into production of the switches, so TI's
3 just trying to get the bugs worked out,
4 right?

5 MR. CARTER: Objection to
6 form.

7 A. TI's just trying to understand what any
8 fall out might be when the, when the
9 part's built and what might be causing
10 that fall out.

11 Q. Okay. Can you tell at this point,
12 though, if this testing is done with a
13 hand -- solely hand produced switches, or
14 is this to a point where we've got some
15 automated equipment in place and you're
16 testing that also?

17 A. No, as Steve says in the Highlights that
18 the automatic pressure tester was used to
19 test the parts. So I think we're in the
20 stage where we're moving towards
21 production equipment for testing devices.

22 Q. Okay. The automatic pressure tester? Is
23 that something that's on the line?

24 A. Yes.

1 Q. And as a switch comes off the line put
2 together, then there's some kind of an
3 automatic pressure tester that hooks up
4 to it and pressures the switch up and --

5 A. It's actually a separate piece of
6 equipment, but essentially the switch is
7 placed into that equipment and the
8 pressure test switch checks the actuation
9 release.

10 Q. Uh hum.

11 A. Things like that.

12 Q. Okay. Let's go to the week after that,
13 and that's 12/14/90, Bates stamp 4373.
14 The second page of that, which is Bates
15 stamped 4374, the last italicized
16 paragraph talks about 77PS and
17 miscellaneous parts. It says, "we
18 received the next iteration of 77PS
19 prototype bases." Is that the -- that's
20 the electrical base, right?

21 A. This is the base.

22 Q. Okay. And there are some changes that
23 were incorporated into it, correct?

24 A. Yes.

1 Q. "After Karl's Volvo switch." Who's Karl?

2 (Discussion off the record.)

3 Q. Yeah, with a "K".

4 A. I assume he would be another engineer in
5 the pressure switch group.

6 Q. And he's probably the guy working with
7 Volvo or something?

8 A. Yes.

9 Q. And it says the change better positions
10 the stake and terminals -- better
11 position and stake the terminals? You
12 know what those changes were?

13 A. I don't know specifically what those
14 changes were, no.

15 Q. Okay. Okay. And let's go to the week
16 after that. It looks like -- it's
17 12/21/90, Bates stamp 4372. CUSTOMER
18 ISSUES paragraph, it looks like something
19 called, "the complete matrix of envelope
20 prints has been updated." What are, what
21 are the envelope, envelope prints?

22 A. An envelope print is the, the drawing
23 that shows the final device that's
24 shipped from TI to our customer.

1 Q. And it talks about a connector internal
2 dimension changing from 11.4 to 11.9 up
3 from 11.63, 11.84. Do you know what that
4 dimension has to do with? That connector
5 internal dimension?

6 A. I'm not sure exactly. It could be the,
7 the, the depth of the hole from the top
8 of the plastic down. It could be the
9 height of the terminal. I'm not sure.

10 Q. Well, that's the base, isn't it? This is
11 the base, this plastic part? The
12 connector connects to the base?

13 A. Yeah. Sometimes we'll refer to this as a
14 connector.

15 Q. I see. You don't, you don't think this
16 may mean the internal diameter of the
17 connector that goes on to the, on to the
18 base, that plugs on to the base that's
19 provided by UTA?

20 A. It might be. It might be. I'm not sure.
21 It's not clear.

22 Q. Did you ever talk to anyone at -- over at
23 TI or, or Stephen or anything -- anyone
24 having to do with the -- any changes made

1 to the connector? Any dimensional
2 changes made to the connector during,
3 during the development of the 77PS
4 switch?

5 A. No.

6 Q. Okay. At this point in time -- well,
7 we're talking about January 11th, '91,
8 the beginning of the -- of '91, does TI
9 have from Ford all of its engineering
10 specifications that it expects the switch
11 to comply with for the 77PS?

12 MR. CARTER: Objection,
13 form.

14 A. At this point, TI would have had the main
15 Ford specification.

16 Q. Yes.

17 A. It's possible some of those
18 specifications may have changed over
19 time. Something could have been added.

20 Q. Right.

21 A. I don't know if the specification was
22 finalized at, at this point. TI would
23 have had the bulk of the specifications.

24 Q. Okay. But it would be typical during the

1 development of a pressure switch to have
2 those engineering specifications from the
3 customer, you know, while you're in the
4 middle -- while TI is in the middle of
5 the development stages?

6 A. It would be common to have specifications
7 from the customers, yes. There may be
8 some changes over time. But to have a
9 base set of specifications, yes.

10 Q. And at this point in time, there are as
11 much as sample switches that have already
12 been provided by TI to Hilite Industries,
13 presumably to be tested by Hilite and/or
14 Ford to see if they're, they're going to
15 comply with the specifications or be
16 suitable for their uses?

17 MR. CARTER: Objection,
18 form.

19 A. I'm not sure exactly what testing Hilite
20 or Ford would be doing. I assume they
21 would do some system level testing. I
22 don't know if they did any switch
23 component testing as well.

24 Q. But any testing that Ford or Hilite would

1 do, they'd normally report back to TI the
2 outcome of those kinds of testing?

3 A. Not necessarily.

4 Q. Okay. It's not a normal thing for that
5 customer to report back any testing that
6 they conduct on their own regarding the
7 development of any switches at TI
8 developing?

9 MR. CARTER: Objection, form

10 A. If there are any issues with the
11 switches, the customer would come back
12 and tell TI what the issues were. Some
13 customers sometimes will give results of
14 testing, but it's not always -- not all
15 testing completed.

16 Q. Okay. Let's turn to the next week of
17 January 18th, '91, Bates stamped 4358.
18 Just one thing on this page, under the
19 sampling, testing paragraph, first
20 sentence, it mentions that TI has,
21 "received a request for 77PS samples from
22 Bendix." Who is Bendix?

23 A. At the time they were a great supplier.

24 Q. And is this -- the 77PS switch, is that

1 the Ford 77PS switch we're talking about
2 that's in developmental stages at TI?

3 A. 77PS is a family name of, of the design
4 that matches the, the same as the Ford
5 family.

6 Q. Okay. So the, the Bendix request, did it
7 have anything to do with the Panther
8 platform utilization of the TI pressure
9 switch?

10 MR. CARTER: Objection,
11 form.

12 A. The Pan -- the system supplier of the
13 Panther platform was Kelsey-Hayes --

14 Q. Okay.

15 A. -- not Bendix.

16 Q. Not Bendix. So Bendix may have been from
17 some, some other car manufacturer? They,
18 they may be middle man for some other car
19 manufacturer?

20 A. Well, it could have been a different Ford
21 platform.

22 Q. Okay. You just don't know?

23 A. I don't know.

24 Q. All right. Then the next week of January

1 26th, '91, Bates stamped 4366, there's a
2 new term introduced, and it's under
3 *SAMPLES, CUSTOMER ISSUES*, and it's
4 *S-R-E-A*, which is an acronym for supplier
5 request for engineering approval?

6 MR. CARTER: Objection to
7 form.

8 MR. JOLLY: What's, what's
9 wrong with that question.

10 MR. CARTER: I don't think
11 it's a question. I don't think you asked
12 him a question.

13 Q. Is that what it says?

14 A. Right here it says --

15 MR. CARTER: And it also
16 assumes facts not in evidence, which is
17 that is a new term.

18 A. -- under *SAMPLE, CUSTOMER ISSUES*, it says
19 *SREAs*, which stands for supplier request
20 for engineering approval.

21 Q. Okay. What does that mean?

22 A. An *SREA* would be a document that a
23 supplier would put together for Ford
24 outlining any change request.

- 1 Q. Okay. Someone like a company who is
2 supplying Texas Instruments the cup, for
3 example? Or those kinds of suppliers?
- 4 A. Any, any, any supplier to Ford. It's a
5 Ford requirement.
- 6 Q. Okay. So it, it's in that direction,
7 not, not suppliers to TI --
- 8 X. No.
- 9 Q. -- for component that go in the switches?
- 10 A. It would be suppliers to Ford.
- 11 Q. Okay. And then turn to the second page
12 of that week Highlight, and the second to
13 last paragraph talks about a meeting was
14 held with mechanization. What is the
15 mechanization group at TI, and what does
16 it have to do with?
- 17 A. The mechanization group designs and
18 builds the production equipment.
- 19 Q. Okay. Is this -- do you know if this is
20 the first meeting with mechanization at
21 TI, TI to discuss the production of the
22 77PS?
- 23 A. I'm sure there were earlier meetings with
24 mechanization.

1 Q. Okay. But you don't know if any others
2 were held prior to this for the 77PS?

3 Actually, it talks about the pressure
4 tester, doesn't it?

5 A. Yes.

6 Q. That pressure tester is what you talked
7 about a while ago, that, that unit at the
8 end of the line that, that pressure tests
9 each switch as, as it comes off?

10 A. Yes.

11 Q. Okay. There are some tests conducted at
12 that point in the pressure test, right?

13 A. Yes.

14 Q. And a millivolt drop measurement, is that
15 conducted at the pressure tester?

16 A. Yes.

17 Q. So when the pressure tester secures a
18 switch to test, it somehow makes an
19 electrical connection with it?

20 A. It has to know if the contact's open
21 or closed.

22 Q. All right. And does it make the
23 electrical connection at the hexport and
24 also at the terminal?

- 1 A. No, it makes electrical connection at the
2 two terminals.
- 3 Q. Okay. But not at the hexport?
- 4 A. The, the system may, but that's not how
5 those -- how millivolt drop would be
6 measured.
- 7 Q. Okay. How would milli -- millivolt drop
8 be measured?
- 9 A. It would be measured between the two
10 terminals in the --
- 11 Q. And it, it would be something that would
12 drop off when the switch opens or closes?
- 13 A. Well, it would be the, the millivolt drop
14 while the switch is closed. If you had a
15 high resistive connection, you have too
16 high of a voltage drop.
- 17 Q. All right.
- 18 A. At the switch contact. So it -- Ford has
19 a specification that the millivolt drop
20 needs to be low enough so that the switch
21 resistance isn't too high.
- 22 Q. Okay. So what is the purpose for
23 conducting that test?
- 24 A. To make sure the switch is meeting

1 specification.

2 Q. Okay. And that, that specification has
3 to do with electrical communication
4 through the switch, correct?

5 A. It has to do with the, the resistance of
6 the switch.

7 Q. Okay. And also to make sure the switch
8 is being manufactured as to the
9 specifications provided by Ford?

10 A. To make sure the switch is meeting the
11 millivolt drop specification --

12 Q. Okay.

13 A. -- that's designed by Ford.

14 Q. All right. And so Ford supplied Texas
15 Instruments with all the information
16 necessary to conduct a milli -- millivolt
17 drop measurement that it wanted -- that
18 Ford wanted it to?

19 A. Ford provided the specification for what
20 their millivolt drop needed to be.

21 Q. Okay.

22 A. Or the maximum that it could be.

23 Q. All right. Typically, what type of
24 voltage is supplied to the switch to

- 1 conduct the millivolt drop measurement
2 test at the pressure tester?
- 3 A. I'm not sure exactly what voltage is
4 applied. I imagine somewhere around
5 twelve or thirteen volts.
- 6 Q. Okay.
- 7 A. I'm not positive.
- 8 Q. And is there any kind of a current meter
9 of some sort on that supply of
10 electricity to the switch at that test?
- 11 A. I'm not sure exactly how it's measured on
12 the pressure tester.
- 13 Q. There's not an amp meter?
- 14 A. There may be.
- 15 Q. But you don't know?
- 16 A. No.
- 17 Q. And then it says millidrop -- let me see.
18 It says, "millivolt drop measurement and
19 continuity definition." What does that
20 mean, continuity definition?
- 21 A. That the switch is closed when it's
22 supposed to be closed and open when it's
23 supposed to be open.
- 24 Q. Okay. So just does it work -- does it

1 open and close?

2 A. Yes.

3 Q. In that regard? But at this millivolt
4 drop measurement, there's no test --
5 there's no other test having to do with
6 amps or anything like that, or you don't
7 know how many amps are supplied to the
8 electrical wire that's hooked up to the
9 pressure switch?

10 A. No, I'm not sure what current is used
11 when that test is made.

12 Q. Okay. Would it be -- would that be
13 something that Ford would supply to Texas
14 Instruments, the amount of current?

15 A. Either it would be supplied in the
16 specification or, at a minimum, TI would
17 discuss with Ford how we were running
18 that test.

19 Q. Do you know if there's any documents that
20 discuss what type of current is supplied
21 to the pressure tester test to conduct
22 the millivolt drop measurement?

23 A. It might be in the Ford specification.
24 I'm not sure.

- 1 Q. All right. Is there some type of a, a
2 current measurement that is applied to
3 the switch at the millivolt drop
4 measurement test?
- 5 A. I'm not sure.
- 6 Q. Well, there's electricity supplied to the
7 switch, correct?
- 8 A. Voltage applied.
- 9 Q. A voltage -- which is electricity,
10 correct?
- 11 A. Essentially, yes.
- 12 Q. All right. And that has with it a
13 component which is amperes, right?
- 14 A. Only if there's a certain resistance. It
15 would have to ground.
- 16 Q. Well, I mean every wire that has
17 electricity in it and a current flowing
18 through it will give you a measurement of
19 amperes, correct?
- 20 A. If current's flowing through it, by
21 definition there's amperes.
- 22 Q. Okay. And if Texas Instruments is
23 measuring a millivolt drop, then you're
24 going to see a current flow through the

1 switch, aren't you?

2 A. There's probably some current being
3 applied. I don't know for sure how that
4 measurement is being made.

5 Q. Well, if there's a millivolt drop, then
6 there is a current going through the
7 switch, correct?

8 A. There should be, yes.

9 Q. Okay. And if Texas Instruments is
10 measuring the millivolt drop at this
11 test, then there is a current going
12 through that switch at that same time,
13 correct?

14 A. There probably is. I don't know how that
15 test is being run.

16 Q. Okay. But I'm not asking you if -- how
17 -- if you know how that test is being
18 run, I'm just asking you physically,
19 electrically, if someone is measuring a
20 millivolt measurement like TI does at
21 this test, while the electricity is
22 running through that switch, there is a
23 current which is measured in amps,
24 correct?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

MR. CARTER: Objection,

form.

A. Most likely there would be a current
behind it.

Q. Okay. But --

A. It's a measure of millivolt.

Q. But you don't know if Texas Instruments
actually measures that current through
the switch during this millivolt drop
measurement test?

A. No, I don't know how the millivolt drop
is measured.

Q. Okay. Let's go to the next week,
February 1st, '91. This is the first
time I see this, this term. It may not
be the first time it's in the documents,
but it's the first time I came across it.
About in the middle of that -- of the
page -- oh, this is Bates stamped 4365.
About the middle of the page under a
paragraph that starts out 77PS, it says,
"The very first prototype of 77PS devices
have been built." This is after 77PS
samples have been sent to Hilite, right?

- 1 A. I don't know if the samples supplied were
2 the 77PS version or an earlier version of
3 the switch design.
- 4 Q. Okay. So they may not have been called
5 77PS, the switches that were given to
6 Hilite?
- 7 A. Right. During the development, we'll
8 supply samples of different design
9 configuration as the design progresses
10 through the development stage.
- 11 Q. Yeah. But the eight hundred that Texas
12 Instruments gave to Hilite before
13 February the 1st, 1991, those eight
14 hundred, they were all the same design,
15 right?
- 16 A. Same design as each other?
- 17 Q. Yes.
- 18 A. I -- assuming so.
- 19 Q. They weren't eight hundred different
20 designs?
- 21 A. No. I would imagine they were all the
22 same design.
- 23 Q. Okay. But on this page, 4365, it says
24 this is the first prototype of 77PS.

1 That's just a little bit confusing to me,
2 after all this time that now, on February
3 the 1st, '91, this is -- it says that
4 this is the first prototype. What does
5 that mean to you when you read something
6 like this? Does this mean that this is
7 the first switch that's been manufactured
8 on a, a automated line, or, or just tell
9 us what it means to you?

10 A. It really depends on how Steve Offiler's
11 defining it. It could have been the
12 first parts made with the 77PS base off
13 the soft tool part. It could have been
14 the first parts off the production line.

15 Q. Yeah.

16 A. I would imagine it's not first parts off
17 the production line, 'cause they were
18 manually calibrated. Could have been the
19 first samples we're actually calling
20 77PS.

21 Q. Okay.

22 A. I don't know.

23 Q. The, the, the last sentence of that
24 paragraph under 77PS, the last line?

- 1 A. Uh hum.
- 2 Q. It says, "in terms of pin window." What
3 is pin window? Can you describe that for
4 me?
- 5 A. The ceramic pin that's used in the device
6 is used to adjust for dimensional
7 tolerance variation of the components.
8 So -- and variations of the disc itself.
9 So different devices will have a
10 different length pin, depending on other
11 features of the part. And the pinning
12 window is the allowable window of pin
13 dimensions that we'll select.
- 14 Q. Okay. So the window is a distance that
15 --
- 16 A. It's the length of the pin.
- 17 Q. Okay. The length of the pin?
- 18 A. Or the tolerance on the length of the
19 pin. Whatever you want to call it.
- 20 Q. The room that you have to work with to
21 place the pin, the window?
- 22 A. Essentially.
- 23 Q. Okay. And then right after that it says,
24 "snap sound." What is snap sound?

- 1 A. That is the sound of the disc snapping.
- 2 Q. Is that something that occurs when the
- 3 switch has got brake fluid in it and it's
- 4 pressured up?
- 5 A. That's the sound that occurs when the
- 6 disc snaps. When it reaches the
- 7 actuation pressure and the disc snaps.
- 8 Q. Like when brake fluid on the pressure
- 9 side of the switch reaches an actuation
- 10 pressure --
- 11 A. When the disc snaps.
- 12 Q. -- mount and the disc snaps?
- 13 A. That, that's the sound, yes.
- 14 Q. Okay. Who is making the hexport for
- 15 Texas Instruments for the pressure
- 16 switches for the Panther platform?
- 17 A. Elco.
- 18 Q. Okay.
- 19 (Discussion off the record.)
- 20 Q. Okay. Let's turn to the week ending
- 21 2/15/91, Bates stamped 4362. About in
- 22 the middle of the page, it says, "We have
- 23 shipped twelve 77PSL2-3." What did the
- 24 2-3 go on?

1 A. I'm not sure which vehicle the 2-3 goes
2 on.

3 Q. Okay. Who's George Randall?

4 A. I think George Randall worked at Ford.

5 Q. Okay. So more than likely the 2-3 went
6 on a Ford, because it says that the
7 samples were shipped to George Randall?

8 MR. BURROW: Objection. It
9 calls for speculation.

10 A. I, I think the 77PSL2-3 goes to Ford.

11 Q. All right. And it says, "These are the
12 first fully functional 77PS devices
13 shipped?" What does that mean, fully
14 functional 77PS devices shipped?

15 A. Probably in the, the 77PS configuration,
16 so the 77PS base that are calibrated have
17 the right actuation pressures and release
18 pressures and millivolt drops, and things
19 like that.

20 Q. Okay. So it passes all the tests that TI
21 runs on the, on the switch, right?

22 A. Well, at this point the switch would not
23 have been fully tested to the entire Ford
24 specification.

1 Q. Okay. And it says, to carry on in that
2 paragraph, "To confirm that nothing would
3 go wrong with these first-pass 77PS
4 calibrated switch assemblies, we did a
5 full impulse test including 25K powered
6 cycles before samples shipped." What
7 does that mean, 25K powered cycles?

8 A. As part of the Ford specification, they
9 require that the last 25,000 pressure
10 cycles of the impulse test is done while
11 powered with the fourteen volt power
12 source and the Ford supplied clutch coil
13 loads.

14 Q. Okay. With fourteen volt power supply?
15 Is that what you said?

16 A. Yes.

17 Q. And do you know what type of current load
18 limiter at all may have been placed on
19 the power that goes to the switch?

20 A. That clutch coil limits the current to a
21 maximum of eight hundred milliamps.

22 (Discussion off the record.)

23 Q. Okay. And so was that the, the current
24 load that was supplied for these powered

1 cycles?

2 A. Ford provided it to us, the clutch coils
3 to use for the test. The clutch coils
4 themselves would have defined the
5 current.

6 Q. Okay.

7 A. And Ford specification says that maximum
8 current would be eight hundred milliamps.

9 Q. All right.

10 A. I don't know exactly --

11 Q. That's --

12 A. -- during this test what the current was.

13 Q. All right. So there wasn't an amp meter
14 placed on the, the wire going to the
15 switches or out of the switches to
16 determine what the current was at the
17 time of this -- these, these tests?

18 A. There may have been. I don't know.

19 Q. TI may have had a, a hand meter on there,
20 you just don't know?

21 A. Right.

22 Q. But at any rate, Ford had supplied some
23 clutch coils --

24 A. Yes.

1 Q. -- to TI?

2 A. Yes.

3 Q. To use to conduct these type of tests?

4 A. Specifically for that test.

5 Q. For this power up cycle test?

6 A. For the powered portion of the impulse
7 test.

8 Q. All right. Did Ford also supply TI with
9 the electrical schematic of the circuitry
10 that -- to hook up the switches to the
11 clutch coil?

12 A. Yes, they did. It's provided in the
13 specification.

14 Q. And that was supplied to TI back when
15 this test was done and reported on
16 2/16/91?

17 A. It would have been before this test was
18 run. I don't know the exact date.

19 Q. Okay.

20 MR. CARTER: I'd like you to
21 -- when you get to the breaking point,
22 maybe between weeks or something, it's
23 getting about lunch time.

24 MR. JOLLY: Oh, okay. We

1 can go ahead and take a break now. Lunch
2 break. If you all want to. Okay.

3 THE VIDEOGRAPHER: This,
4 this is the video reporter. The time is
5 12:00. We are going off the record.

6 (Recess.)

7 THE VIDEOGRAPHER: This is
8 the video reporter. The time is 1:09.
9 We are back on the record.

10 Q. Okay. I'm going to skip up to the week
11 ending May the 26th, 1991, Bates stamped
12 4348. And the second paragraph under the
13 paragraph entitled CUSTOMER ISSUES. I'll
14 wait for you to get there.

15 A. What is the -- which week?

16 Q. It's Bates stamped 4348, week ending
17 April 26th, '91. Near the --

18 MS. SPEER: April or May,
19 Mike?

20 MR. BURROW: He said April.

21 MS. SPEER: April.

22 Q. First paragraph is entitled CUSTOMER
23 ISSUE -- CUSTOMER ISSUES. The second
24 paragraph under that section, it mentions

1 "We have -- we have received a returned
2 device from Kelsey-Hayes." Is
3 Kelsey-Hayes one of the entities that's
4 involved in the 77PS pressure switch for
5 the passenger cars?

6 A. They're the brake system manufacturer.

7 Q. Okay. So Kelsey-Hayes evidently has one
8 of the sample switches that was re --
9 they returned? Or how can you -- can you
10 tell what happened based on reading this?
11 And let me interrupt your reading first.

12 That, that first line in that second
13 paragraph, at the end it it says, "We are
14 not treating this as an official RMR."

15 What does RMR stand for?

16 A. Return material report.

17 Q. Okay. Because it failed during a
18 development test, right?

19 A. That's --

20 Q. That means that it's a sample?

21 A. What it says. It was a sample, yes.

22 Q. Maybe one of the eight hundred that were
23 sent out earlier to Hillite, or you really
24 can't tell?

- 1 A. I can't tell.
- 2 Q. It says that it failed while "undergoing
3 a salt water submersion test?"
- 4 A. Yes.
- 5 Q. Evidently, Kelsey-Hayes was conducting
6 some tests on its own?
- 7 A. Yes.
- 8 Q. From reading this?
- 9 A. Yes, they were probably trying to qualify
10 the products that they were sending to
11 Ford. Or at least testing their designs
12 for their products.
- 13 Q. Okay.
- 14 A. What they were going to be delivering to
15 Ford.
- 16 Q. And then TI's response to that, Stephen
17 wrote it down. He, he wrote, "We
18 immediately responded that A) our device
19 is not submersible, and that B) potential
20 problems with the environmental seal on
21 the mating connection can cause problems
22 which are completely out of our control."
23 Do you agree with that?
- 24 A. Agree with which?

1 Q. What I just read about what Stephen
2 wrote, the sentence starting with we? I
3 assume he means Texas Instruments when he
4 says we?

5 A. Yes.

6 Q. Is that what he means when he says we?

7 MR. BURROW: Object to form.

8 MR. CARTER: Object to the
9 form.

10 MR. BURROW: Calls for
11 speculation.

12 A. I would assume in this place he was
13 referring to either he responded or
14 someone at Texas Instruments responded.

15 Q. Okay. So the devices that Texas
16 Instruments was developing for Ford are
17 not submersible in salt water?

18 A. I think what he's referring to is that
19 the connector design that Ford had
20 selected --

21 Q. Uh hum.

22 A. -- for the switch was not a submersible
23 connector design.

24 Q. Okay.

1 A. There's several places throughout the
2 Highlights where it's mentioned that,
3 that Ford has said the connector is not a
4 submersible connector design.

5 Q. Okay. And at the bottom of that same
6 paragraph, it talks about -- the last
7 sentence of that paragraph, "K-H has
8 requested an 8D." What's an 8D?

9 A. 8 discipline. That is a Ford definition
10 for a corrective action report.

11 Q. Okay. Do you know if one ever came about
12 because of this? A corrective action by
13 Ford?

14 A. I assume here Kelsey-Hayes had requested
15 the, the 8D from TI.

16 Q. Okay. And was one ever given --

17 A. I'm sure that one was.

18 Q. Corrective action? Is that what that
19 means?

20 A. Corrective action report.

21 Q. And does the corrective action report
22 talk about a possible solution to what
23 K-H, or Kelsey-Hayes, foresees as a
24 problem?

1 A. An 8D essentially has eight steps that
2 you go through. Those include defining
3 the team working on the 8D, defining
4 what, what the problem is, defining any
5 containment in place, defining root
6 cause, defining how you prove that the
7 root cause fixes any issues, going, going
8 all the way through.

9 Q. Does it talk about any solutions to the
10 issue?

11 A. Yes. It will talk about any corrective
12 actions or --

13 Q. Okay.

14 A. -- and solutions.

15 Q. All right. Let's jump ahead from that.
16 The next one I want to look at is the
17 week ending May 3rd, '91, Bates stamp
18 number 4346. Under the paragraph
19 entitled *PRODUCTION ISSUES*, the second
20 paragraph under that, it says, "Issues
21 related to on-line in-process inspection
22 have begun to surface. Most significant
23 is the failure of one device (out of
24 four) during burst testing." It says

1 that the device passed the requirement of
2 the engineering standards, "which is
3 holding pressure -- holding 7000 psi for
4 thirty seconds without leakage." And,
5 "upon release of pressure the cup crimp
6 was forced -- was found to have
7 loosened." What does that mean to you?
8 Does that mean that -- does this sentence
9 mean, or communicate to you, that these
10 devices actually passed Ford's
11 engineering specifications?

12 A. Yes.

13 Q. And then TI took it upon themselves to go
14 a little bit further with that testing
15 and, and it resulted in a failure of one
16 of the switches out of four?

17 A. What this is saying that when we ran the
18 standard burst test defined in the Ford
19 specification, all four parts met that,
20 that burst specification defined by Ford.

21 Q. Right.

22 A. But we found that one of the cups, the
23 cup crimp had, had loosened, which we
24 would not have expected to happen during

1 that test. So we wanted to investigate
2 further. So parts style was still
3 meeting the requirements, but TI further
4 investigated to make sure there was no
5 issues.

6 Q. But one of out of four, it says, did not
7 pass, right? During the burst testing?
8 What is burst testing?

9 A. Burst testing is when you --

10 MR. CARTER: Object to form.

11 A. -- when you, as defined in Ford's spec,
12 you're pressuring the part to a certain
13 pressure to make sure that the part does
14 not start to leak at that pressure.

15 Q. Okay. You don't raise it to a pressure
16 during this test until it leaks? You
17 just raise it to a pressure to see if it
18 does leak?

19 A. Yes. You raise it to a certain defined
20 pressure, hold it for a certain amount of
21 time, and then release the pressure.

22 Q. Okay,

23 A. Typically, customers will set that burst
24 pressure to be higher than the worst case

1 pressure a system could see.

2 Q. What is -- this, this same paragraph
3 mentions in the middle -- Weibull,
4 W-E-I-B-U-L-L, techniques. What is
5 Weibull techniques?

6 A. Weibull techniques are statistical
7 analysis tools to define confidence level
8 and reliability levels when you do sample
9 testing of what you would expect the
10 entire population to perform to.

11 Q. Okay. Jump ahead to June 14th, '91. The
12 second page of that week ending -- it's
13 Bates stamped 4336. And the first
14 beginning paragraph on that page talks
15 about crimp shift. Have we talked about
16 that yet? Or is that something new?

17 A. I don't think we've talked about crimp
18 shift.

19 Q. What is crimp shift? It says, "This
20 phenomenon," and it starts talking about
21 it. What, what is crimp shift, and how
22 does it affect the production or
23 development of the 77PS pressure switch
24 for the Panther platform vehicles?

1 A. What Steve's talking about here is that
2 on the hand samples we were making
3 measurements of the parts to select the
4 pin length before crimping. Because of
5 some of the compression during crimping,
6 there's a shift in what the ideal pin
7 length would be.

8 Q. Okay. And that was eventually corrected
9 for the products that were made and put
10 on the vehicles?

11 A. Well, he says right here that it applies
12 only to, to lab pin parts, because in
13 production we don't make the measurements
14 what the pin should be until after the
15 device is crimped. So, by definition,
16 there is no crimp shift.

17 Q. There is not crimp shift in the product
18 parts?

19 A. The measurement isn't made until after
20 crimping.

21 Q. Okay.

22 A. So even if there's any compression or, or
23 changing during the crimp process, it's
24 automatically accounted for because the

1 measurement is done after crimp rather
2 than before crimp.

3 Q. All right. So it's not an issue with
4 regard to any production parts?

5 A. Not at all.

6 Q. And why would it be something you would
7 test for to determine whether or not you
8 can produce parts?

9 A. For the hand built parts where Steve was
10 making measurements to select the pin, if
11 he didn't understand how much that shift
12 would be, then he may build parts that
13 have the wrong actuation pressure.

14 Q. Okay. I gotcha. Okay. Jump ahead to
15 the week of August the 16th, 1991, Bates
16 stamp number 4324. And up at the top,
17 VALIDATION paragraph. And this is about
18 two months, roundabout -- I'm not holding
19 to you to any specific dates -- but about
20 two months before TI shipped any pressure
21 switches to Ford to be installed on
22 Panther platform vehicles, correct?

23 A. This was about two months before any
24 pressure switches were shipped by TI to

1 Ford to be on production vehicles.

2 Q. Okay. And at this time during what's --
3 I guess it's during validation, right,
4 that this was written?

5 A. Yes.

6 Q. It talks about a -- the thermal cycle
7 test. What is a thermal cycle test?

8 A. Thermal cycle test is where we cycle the
9 temperature environment for parts from
10 some cold temperatures to some hot
11 temperature, cycle the temperature back
12 and forth.

13 Q. Is back and forth hot, cold, hot, cold,
14 maintaining the temperature at specific
15 amounts?

16 A. There's different versions of how the
17 test is run. Some of it is -- sometimes
18 you have two temperature baths that the
19 part shuttles between that are at
20 constant temperatures. Other times you
21 have the part inside of an oven that
22 ramps up a temperature and down a
23 temperature.

24 Q. Uh hum. And do you know what the

- 1 temperature extremes are for the
2 temperature cycle test that were
3 conducted on the pressure switches for
4 the Panther platform vehicles?
- 5 A. I'm not positive, but it would have about
6 -40 and 125 C.
- 7 Q. Okay.
- 8 A. Might have been a little different than
9 that, but not significantly.
- 10 Q. All right. It says that test was
11 expedited, "successfully expedited?"
- 12 A. Yes.
- 13 Q. "In order to begin the important Impulse
14 test as soon as possible." What is the
15 important impulse test?
- 16 A. The impulse test, as defined in Ford
17 specification, is the pressure cycling
18 test.
- 19 Q. Okay. And Ford represents to TI that
20 that is an important test?
- 21 A. All of the tests in the Ford ES spec need
22 to be passed successfully --
- 23 Q. Okay.
- 24 A. -- to qualify the product.

- 1 Q. And explain for us, again, the impulse
2 test?
- 3 A. It's the pressure cycling test where zero
4 to fourteen hundred fifty psi --
- 5 Q. Uh hum.
- 6 A. -- is applied to the -- and pressure is
7 applied to the switch for five hundred
8 thousand cycles.
- 9 Q. All right. And then it says that half of
10 the devices were impulse test, they were
11 virgin devices?
- 12 A. Uh hum.
- 13 Q. The other half were, I guess, devices
14 which had been complete -- had completed
15 the fluid resistance test? What is the
16 fluid resistance test?
- 17 A. That's a test where the parts are exposed
18 to different fluids.
- 19 Q. Now, this test in this paragraph here, is
20 it talking about the pressure switches
21 for the Panther platform vehicles,
22 validation of those, those switches?
- 23 A. They're talking about both the switches
24 for the passenger car platforms and the

1 Panther platform as well as switches for
2 the light truck.

3 Q. Okay. Okay, tell us about the fluid
4 resistance test? What fluids are the
5 switches exposed to?

6 A. I don't remember exactly which fluids.
7 It's defined in the Ford specification.
8 And it's typical automotive fluids that
9 may be splashed on to the part.

10 Q. Okay. Would brake fluid be one of those
11 parts?

12 A. Brake fluid would --

13 Q. I mean one of those fluids?

14 A. -- probably be one of the fluids.

15 Q. All right. You don't know for sure?

16 A. I don't know for sure. If I --

17 Q. Is the --

18 A. -- if I saw the Ford spec, I could tell
19 you.

20 Q. Okay. Is, is the fluid resistance test
21 run by putting fluids through the hexport
22 to contact the Kapton on the outside of
23 the switch?

24 A. I think the fluid is placed on the

1 outside of the switch.

2 Q. Just on the outside? Not --

3 MR. CARTER: Objection,
4 form.

5 Q. -- not through the hexport?

6 A. For the fluid resistance test, I believe
7 the fluid's just placed on the outside of
8 the switch, not through the hexport.

9 Q. Okay. So the, the purpose of the fluid
10 resistance test is not to test for Kapton
11 compatibility with any of the pressure
12 fluids -- the pressure media fluids?

13 A. No, it's not.

14 Q. And I'm calling the pressure media fluids
15 the fluids that would be going through
16 the hexport and contacting the Kapton?

17 A. Yes, that's correct.

18 Q. Okay. So --

19 A. In this case brake fluid.

20 Q. All right. So the fluid resistance test
21 does not include any testing having to do
22 with those pressure media fluids?

23 A. Well, it may include the pressure media
24 fluids, because you could have brake

1 fluid splash on the outside of the part
2 in the application.

3 Q. Right. On the outside, but not the
4 inside through the hexport?

5 A. Well, you will have brake fluid in the
6 inside of the hexport in part two in the
7 application, but that's not what you're
8 testing for in the fluid resistance test.

9 Q. You're not testing for compatibility with
10 Kapton?

11 A. No.

12 Q. Okay. But you're saying that the fluids
13 may get down into the hexport and contact
14 the Kapton during this test?

15 MR. CARTER: Object to form.

16 Q. How's the test done? Just tell us
17 physically, if you know, how the test is
18 accomplished at, at Texas Instruments.

19 A. I, I don't remember it off the top of my
20 head. It's defined in the Ford
21 specification, and we would read that in
22 the specification before the running the
23 test and run it according to that spec.

24 Q. Okay. So whatever that spec says, that's

1 what you all do at TI?

2 A. Yes.

3 Q. About the fluid resistance test?

4 A. Yes.

5 Q. And -- let me see, where were we? A
6 little bit further down, it says, "A
7 significant problem is occurring on the
8 PC devices." Does that mean passenger
9 car devices?

10 A. Yes.

11 Q. And it says, "We have three failures to
12 date." And then it's in parenthesis,
13 "(325K of 500K)." In other words -- what
14 are they -- what is he saying here, that
15 you're failing at three hundred twenty
16 five thousand cycles?

17 A. The testing has completed three hundred
18 and twenty five thousand pressure cycles
19 of the required five hundred thousand --

20 Q. Okay.

21 A. -- pressure cycles.

22 Q. "Due to fluid leakage." Then he says,
23 "Autopsies of two (thus far) shows
24 fatigued Kapton; no real evidence of

1 foreign matter nor damage to the Kapton
2 during assembly." Is this -- do you know
3 if this is the first time that anyone at
4 TI during the developmental stages of
5 the, the Panther platform pressure switch
6 found problems with fatigued Kapton?

7 MR. CARTER: Object to form.

8 A. The ultimately wear out mode of that
9 switch is fatigued Kapton. The issue
10 here was that the number of cycles were
11 below the specification.

12 Q. Right. But my question is, is this the
13 first time that someone at TI, during the
14 developmental stages of the 77PS, the
15 Panther platform switch, is this the
16 first time that one -- an engineer or
17 anybody found problems with fatigued
18 Kapton prior to the termination of a
19 impulse test?

20 A. Fatigued Kapton itself isn't a problem.
21 That is the wear out condition of the
22 device. I, I would imagine during
23 testing before he -- this time parts were
24 tested until they eventually leaked,

1 tested till failure.

2 Q. Yeah.

3 A. At that point, the Kapton would have been
4 fatigued.

5 Q. But is it a problem if the Kapton
6 fatigues and fails prior to the, the test
7 protocol of five hundred thousand cycles?

8 A. If the Kapton fatigues before five
9 hundred cycles, then the parts do not
10 meet specification.

11 Q. Okay. So would that be a problem or not
12 a problem?

13 A. That would be a problem.

14 MR. CARTER: Object to form.

15 Q. All right. Okay. Then a little bit
16 further down in that same paragraph,
17 Stephen -- looks like he notes some
18 hypotheses for the failure, or maybe
19 solutions. I can't tell. It says,
20 "increased converter travel in the rebump
21 design." What does that mean?

22 (Court reporter read back
23 the end of the last question.)

24 A. Can, can you repeat the question?

1 Q. What is increased converter travel in the
2 rebump design? What does that mean?

3 A. Steve Offiler is saying that in the
4 passenger car parts, because of the bump
5 configuration on the cup that defines the
6 actuation pressure, the converter travels
7 further during a, a snap condition.

8 Q. Okay.

9 A. In that design than it does on the light
10 truck design.

11 Q. And what do you gather from that?

12 MR. CARTER: Object to form.

13 A. What, what do I gather from what?

14 Q. That you have an increased travel of the
15 converter?

16 MR. CARTER: Object to form.

17 A. Well, we would expect to have an
18 increased converter travel because of the
19 way the part's been designed to hit the
20 actuation pressure.

21 Q. All right. Does it look like Steve may
22 be pointing to that as a possible problem
23 for what he characterized as three
24 failures?