

EA02-025

TEXAS INSTRUMENTS, INC.'S

9/10/03

REQUEST NO. 7

BOX 10

PART A – I

PART G

1 you're referring to.

2 Q. Just some testing on these switches.

3 A. In what time frame, development, recently?

4 Q. Did they do some development testing?

5 A. I assume -- We provided switches to Ford
6 during the development of the part and I would
7 assume they did testing of those switches on
8 vehicles.

9 Q. After the cars started catching fire, did
10 Ford do some testing?

11 A. Yes.

12 Q. Did you know that Ford was claiming in
13 some of their testing that -- that there was
14 contamination in the electrical field -- field of
15 the TI pressure switch that was caused by a
16 perforated Kapton seal? Did you know that?

17 A. Can -- Can you repeat that?

18 Q. Have you ever heard that before, that Ford
19 was claiming that the Kapton seal had been
20 perforated or damaged in the manufacturing process
21 and they showed this with some testing they did?

22 A. No, I was not aware of that.

23 Q. You weren't aware that Ford was claiming
24 that when that Kapton seal was perforated because of
25 a manufacturing defect caused during the crimping

1 process, that some corrosion begins to form in the
2 electrical components? You weren't aware of that
3 either?

4 A. I -- I have not heard anything from Ford
5 where they say, during the crimping process the
6 Kapton seal was compromised so that fluid could flow
7 through the Kapton seal to the switching parts.

8 (Exhibit No. 6 marked.)

9 Q. So you haven't seen Exhibit 6 then or any
10 of the testing that resulted in Exhibit 6 that Ford
11 has conducted?

12 A. I don't know if I've seen this exact one.
13 I've seen variations on this. This does not say
14 that the Kapton seal is perforated during the crimp
15 process.

16 Q. Okay. But it does say that it's
17 perforated?

18 A. Yes.

19 Q. Can you think of any other way that the
20 Kapton seal, the teflon coated Kapton seal for the
21 pressure switches on the '92, '93 Panthers, could be
22 perforated other than -- other than during the
23 manufacturing process?

24 A. Sure.

25 Q. How?

1 A. When the Kapton wears out.

2 Q. How would that happen?

3 A. The end of the life of the switch after
4 cycling. Excessive cycles, eventually the Kapton
5 will wear out and be perforated.

6 Q. All right. In other words, the switch has
7 exceeded it's -- the cycle specifications provided
8 to TI by Ford?

9 A. Yes.

10 Q. The Kapton wears out and becomes
11 perforated?

12 A. Yes.

13 Q. And that's Ford's responsibility?

14 A. It's Ford's --

15 MR. NANSKE: Objection, form.

16 A. It's Ford's responsibility to define the
17 specifications and make sure those specifications
18 are accurate for what the part will see in the
19 application.

20 Q. Okay. Now, this may sound silly to you,
21 but when these switches are being manufactured,
22 you're not standing over there watching each one
23 come off the assembly line, are you?

24 A. No, I'm not.

25 Q. Is anyone?

1 A. There are -- There are people on the
2 production line.

3 Q. Okay. When the -- When the TI pressure
4 switches that are the subject of the recall for the
5 '92, '93 Panthers are coming through the TI assembly
6 line, they're being produced, is there anyone that
7 sits there and looks at every single switch during
8 the crimping process?

9 A. There are -- There are people there
10 running that piece of equipment. I wouldn't think
11 they're looking at every switch during the crimping
12 process.

13 Q. How -- Of the switches coming through
14 there, how many are actually looked at during the
15 crimping process to make sure that the Kapton's not
16 damaged? Of those going through that process, how
17 many are actually examined?

18 A. We do -- That's what we call SPC
19 measurements, Statistical Process Control. We grab
20 a sample of switches from each lot and make
21 measurements on those switches to guarantee that the
22 process is operating correctly.

23 Q. What's the sampling rate for the TI
24 pressure switches in the '92, '93 Panthers that are
25 the subject of the Ford recall?

- 1 A. I think it's about five pieces per lot. ^{running}
2 hour which is roughly equivalent to 2,000 pieces
3 Q. Five pieces per lot. How many are in a
4 lot?
5 A. ~~Four-thousand~~ ^{Two-thousand} pieces.
6 Q. All right. Five in 4,000 go through
7 what's called the SPC sampling process, right?
8 A. SPC, Statistical Process Control.
9 Q. Who arrives at that sampling rate of five
10 in 4,000?
11 A. TI Determines that sampling rate. Ford
12 reviews our process and accepts our -- our control
13 ^{plan}
14 process which lists what our sampling rates are.
15 Q. Okay. And then, so what happens when
16 these five out of 4,000 are pulled off the
17 production line?
18 A. We make measurements to make sure that the
19 switches, what we're measuring are -- are within
20 Statistical Process Control.
21 Q. Has that been produced?
22 A. We don't --
23 MS. ALVAREZ: Objection, form.
24 A. We don't have any of the SPC data from
25 back to that time frame. We've looked and it
doesn't exist.
Q. Okay. Where did it go?

1 A. I assume it was discarded.

2 Q. What did it show? What did this discarded
3 SPC data show with regards to the five out of 4,000
4 switches that were pulled from the assembly line
5 during the time period that TI pressure switches
6 used on '92, '93 Panthers were produced? What did
7 that data show?

8 A. I would suspect it shows that the process
9 was operating fine.

10 Q. And you know -- How do you know that?

11 A. Because I know how TI works. And if
12 there's a problem, if the part goes out of
13 Statistical Process Control, we stop the line, fix
14 the problem. Limits are set up inboard of the
15 specifications to make sure that we will catch a
16 problem before it could be produced out of
17 specification.

18 Q. Okay. So what does the data show?

19 A. I haven't seen the data.

20 Q. Anyone at TI know what the SPC sampling
21 rate measurement showed with regards to the TI
22 pressures switches that were manufactured and used
23 on '92, '93 Panthers?

24 A. I don't know.

25 Q. Okay. These other switches that we talked

1 about, Nissan and GM, Ford, Chrysler, Volvo and
2 others, what sampling rate did they use when they're
3 being made?

4 A. I don't know the exact sampling rates on
5 each one.

6 Q. Is the sampling rate the same for all TI
7 pressure switches?

8 A. I don't know if it's all the same or not.

9 Q. Does anyone examine the Kapton seal in the
10 SPC control -- Statistical Process Control
11 measurements that are taken to determine if the
12 Kapton has been damaged in the manufacturing
13 process?

14 A. We're not measuring the Kapton in that
15 process.

16 MR. JOLLY: Objection, nonresponsive.

17 Q. Does anyone examine the Kapton in the SPC
18 process for the TI pressure switches that were used
19 on the '92, '93 Panthers to determine if the Kapton
20 was damaged in the manufacturing process?

21 A. The process is set up to make sure that
22 the process does not damage Kapton. I don't know of
23 anyone -- the specifics or of anyone reviewing
24 Kapton, looking at Kapton for damage. SPC is taken
25 at several different points on the production line.

1 I don't want to mislead you. ~~you to~~ I think that that's
2 the only point where it's taken. And there are
3 several other tests on the production line to make
4 sure the part is meeting its intended specification.

5 MR. JOLLY: Objection, nonresponsive.

6 Q. In any of the SPC procedures that are used
7 in the production line for the TI switches that are
8 used on the '92, '93 Panthers, when five out of
9 4,000 switches are pulled, at anywhere in the
10 production line, does anyone look at the switches to
11 determine if the Kapton was damaged in the
12 manufacturing process?

13 A. I don't know.

14 Q. Same question for any other switches.

15 A. I don't know.

16 Q. Well, how has the manufacturing process
17 changed there?

18 MS. ALVAREZ: Objection, form.

19 A. Changed where?

20 Q. For this particular switch involving the
21 '92, '93 Panther.

22 MS. ALVAREZ: Objection, form.

23 A. Changed when?

24 Q. Ever.

25 A. The initial launch of the pressure

1 switches, we used the crimped -- ~~crimped~~^{crimped} head off of
2 the hand line and later switched to a crimping
3 process on what we call the AMI machine, which is a
4 more automated line.

5 Q. I guess the crimped head process is
6 manual?

7 A. The -- The manual aspect of it really is
8 the load of the parts. The -- The crimp die coming
9 down and actually crimping the part is automatic,
10 push a button to actuate.

11 Q. What's AMI mean?

12 A. I think it's the name of the company that
13 made the -- the basic machine.

14 Q. What's the name of that company?

15 A. I think it's AMI. I -- I don't know the
16 details around that. I'm not sure.

17 Q. Where are they?

18 A. I don't know.

19 Q. Has TI communicated with AMI with regards
20 to the manufacturing of a TI pressure switch in any
21 regard where it might've been alleged or there was a
22 concern that their machine was damaging Kapton in
23 any way?

24 A. I'm not aware of anything. Also, I'm not
25 sure how much of that machine is -- is made by AMI.

1 Q. Or anyone else, AMI or anyone else
2 associated with AMI?

3 A. TI has not contacted anyone and we feel
4 that our crimping process is in control and TI was
5 producing switches there that -- that operated
6 properly.

7 Q. Has anyone from AMI or associated with AMI
8 in any way come out to TI and looked at the machine
9 to determine if it was damaging Kapton during the
10 manufacturing process?

11 A. Ever or --

12 Q. Yeah.

13 A. Not that I'm aware of.

14 Q. With regards to the Ford '92, '93
15 Panthers?

16 A. I don't know.

17 Q. With regards to any other car or switch
18 used on any other car?

19 A. I don't know.

20 Q. And so the automated process using this
21 AMI machine is more productive?

22 A. It's more automated. You can produce more
23 switches off that equipment, yes.

24 Q. How many more?

25 A. I don't know exactly.

1 Q. Were the Ford -- '92, '93 Ford Panthers
2 that are the subject of the Ford recall, which
3 process did they use to crimp the Kapton, the
4 crimped head process or the AMI?

5 A. Both.

6 Q. For the '92 and the '93?

7 A. The -- In -- When we launched production,
8 we launched using the -- the hand line for crimping
9 and then we switched to the automated line. Both of
10 those time periods are covered in the time of the
11 recall.

12 Q. Okay. Why?

13 A. I don't know why. Ford decided the timing
14 of the recall.

15 Q. Does TI agree with -- with Ford --

16 MS. ALVAREZ: Objection, form.

17 Q. -- that the Kapton is damaged in the
18 manufacturing process because of a change in the
19 crimping process?

20 A. No, TI does not.

21 MS. ALVAREZ: Objection, form.

22 Q. What does Ford say about that?

23 MS. ALVAREZ: Objection, form.

24 A. What does Ford say about what?

25 Q. The manufacturing process damaging the

1 Kapton.

2 A. Ford has expressed concerns that during
3 the manufacturing -- manufacturing process we had
4 done something to the -- to the Kapton that may have
5 reduced its cycle life.

6 Q. Did it?

7 MS. ALVAREZ: Objection, form.

8 A. Did it what?

9 Q. Did it reduce the cycle life?

10 A. No. I believe that the switches all met
11 specification and nothing during the crimping
12 process affected the cycle life.

13 Q. Did not affect the cycle life?

14 A. Right.

15 Q. Going from manual crimping process to
16 automated did not affect the cycle life of the
17 switch?

18 A. I don't believe it did, no.

19 Q. Did -- When the manufacturing process was
20 changed, did TI inform Ford that it was changed?

21 A. TI -- TI informed Ford that we had
22 successfully passed qualification testing that
23 showed the automated process met specification and
24 we requested to Ford that we be allowed to make the
25 change and that change was made after Ford gave us

1 approval for the change.

2 Q. Did anyone at Ford oppose that approval?

3 A. Not that I'm aware of.

4 Q. Did anyone at TI?

5 A. Not that I'm aware of.

6 Q. Why did TI inform Ford of a manufacturing
7 process change?

8 A. We were required to -- to have any changes
9 approved by Ford on the manufacturing line.

10 Q. What -- What requirement, a contract or
11 something?

12 A. Contract. And we were also what's called
13 Ford Q1 Certified. So we're signing up to Ford
14 quality requirements and that's one of their
15 requirements.

16 Q. Did TI request a variance?

17 A. What do you mean by variance?

18 Q. You know, variance in any regard when --
19 with regards to this change in the manufacturing
20 process.

21 A. When we changed from the hand line to the
22 automated line?

23 Q. Yeah. Other than just changing a variance
24 in the specifications, for example.

25 A. No, not that I'm aware of.

1 Q. A variance in any regards -- Was -- Was
2 there a request for a variance in any regard other
3 than changing the manufacturing process from a
4 manual to an automated?

5 A. Not that I'm aware.

6 Q. Is there a contract? Has that been
7 produced?

8 A. I don't know.

9 Q. How big is this contract?

10 A. I -- I don't know the details on the
11 contract.

12 Q. Who at TI is responsible for making sure
13 that if the manufacturing process is going to be
14 changed that it's done in -- to conform with the
15 contract that TI has with Ford?

16 A. Our Quality Engineering Department.

17 Q. Who's in charge of that?

18 A. Andy McGuirk's the Quality Manager.

19 Q. Do you know about that process?

20 A. Which process?

21 Q. Andy McGuirk's responsibility to make sure
22 that the manufacturing process is in compliance with
23 a contract that TI has with Ford to produce the
24 pressure switches for the '92, '93 Panthers, do you
25 know about that?

1 A. I don't understand the question. Can you
2 rephrase it?

3 Q. Well, did you get involved in it?

4 A. In 1992, 1993?

5 Q. Yeah.

6 A. No.

7 Q. Can you talk about that subject?

8 MS. ALVAREZ: Objection, form.

9 A. Which subject?

10 Q. The communications that went back and
11 forth between Ford and TI to make sure that the
12 manufacturing process was in compliance with the
13 contract.

14 A. I have not seen any contract. I've seen
15 some of the documents that went between TI and Ford
16 about what manufacturing process we're going to use
17 and testing we did to qualify the part.

18 Q. Spell McGuirk, please.

19 A. M-c-G-u-i-r-k.

20 Q. Where is he?

21 A. He works at Texas Instruments.

22 Q. Where?

23 A. In Attleboro, Massachusetts.

24 Q. How many people are under him?

25 A. I don't know the exact number.

1 Q. So you haven't looked at the contract?

2 A. I have not.

3 Q. Do you know if TI complied with the
4 contract when the manufacturing process was changed
5 if you haven't looked at it?

6 A. I know how TI operates and I know that TI
7 would comply with our requirements to our customers.

8 Q. But without looking it, I guess you
9 wouldn't know?

10 A. No. I'm confident that TI complied
11 because that's the way we do business.

12 Q. Okay. Do you see on Exhibit 6, Item No.
13 27 Read that out loud.

14 A. Switch components and cup corrode with aid
15 of electric field and contamination.

16 Q. Is that true?

17 NS. ALVAREZ: Objection, form.

18 A. If the right contamination is in the
19 switch cavity, corrosion can occur.

20 Q. Okay. Could that cause a fire?

21 A. Based on the lab experiments we talked
22 about earlier, we were able to show that with
23 saltwater in the switch cavity and enough power
24 applied, that the plastic on the base on the switch
25 can ignite.

1 Q. Okay. I think, when you were describing
2 that test earlier you explained to us that -- that
3 the way that the circuit is completed is because the
4 saltwater's on the outside of the switch, right?

5 A. No. Saltwater is on the ^{inside} side of the
6 switch cavity and that completes the circuit.

7 Q. Right. The saltwater's inside the
8 electric components because you drilled some holes
9 in the seal -- in the electrical plug-on seal and
10 then the --

11 A. And we -- And we -- I'm sorry. We
12 injected the saltwater into the part.

13 Q. And then the saltwater completes the
14 circuit because it's on the outside of the metal
15 part of the switch, right?

16 A. It's outside of the component, inside the
17 switch.

18 Q. Right.

19 A. Inside the switch cavity.

20 Q. It doesn't go through the Kapton though?

21 A. It does not, no.

22 Q. Right. But in Exhibit 6 it looks like
23 somebody's talking about the circuit being completed
24 internally as opposed to on the outside of the
25 switch, right?

1 A. Can -- Can you repeat that?

2 Q. Well, it looks like this diagram, this
3 scenario diagram marked Exhibit 6, seems to describe
4 completing the circuit internally as opposed to
5 externally like you did in your testing on Exhibits
6 3 and 4.

7 A. It talks about the corrosion occurring in
8 this area (Indicating), which is the same area we
9 created corrosion during our testing inside the
10 switch cavity.

11 Q. Right. And then the circuit's completed
12 internally after that corrosion occurs, correct?

13 A. And if there's a conductive enough fluid
14 during the corrosion process.

15 Q. Right. The testing that you did though,
16 it's my understanding that the circuit is completed
17 and if there's saltwater on the outside of the
18 switch.

19 A. No. No, that's not correct. The testing
20 we did of the saltwater was internal to the switch
21 here.

22 Q. Okay. So you're not just blasting
23 saltwater all over the outside of this switch --

24 A. No.

25 Q. -- you're just keeping it isolated to the

1 inside of the electrical component?

2 A. We were injecting saltwater into the --
3 the base of the switch, into the electrical
4 components.

5 Q. Okay. So the circuit was completed
6 internally?

7 A. Internally, correct.

8 Q. Just like the scenario on Exhibit 6?

9 A. Yes.

10 Q. Okay. But the scenario on Exhibit 6 does
11 not include exposure to saltwater under pressure to
12 the electrical components, does it?

13 A. Yes, it does. It discusses contamination
14 entering also through the connector seal
15 demonstrated by this arrow (Indicating).

16 Q. Oh, okay. So the contamination, through
17 whatever source, could come in through the
18 electrical component -- the electrical connector?

19 A. Yes.

20 Q. And it could also come through the Kapton
21 contamination under a different scenario other than
22 No. 6, the contamination could -- could penetrate
23 the Kapton if it were perforated and cause
24 corrosion, correct?

25 A. If the Kapton was perforate -- perforated,

1 a fluid could come through into the -- to the switch
2 area and if that fluid was conductive, could drive
3 corrosion into the components in the presence of
4 power being applied.

5 Q. And in scenar -- in the scenario marked
6 Exhibit No. 6, the only way to really get a
7 contaminant into the switch through the electrical
8 connector is if the electrical connector seals
9 failed for some reason?

10 A. Either fails or not present, the connector
11 isn't fully engaged during the assembly process,
12 many of those types of issues.

13 Q. Whose -- Who designed the connector?

14 A. Ford or a Ford supplier. I don't know
15 exactly who.

16 MR. JOLLY: Did you want to take a
17 lunch break?

18 MS. ALVAREZ: Whenever. To eat
19 yourself? Are y'all ready?

20 MR. JOLLY: He needs to change the
21 tape. I'm not ready for a lunch break, but that's
22 okay.

23 THE WITNESS: That's okay with me.

24 MR. JOLLY: Okay.

25 THE VIDEOGRAPHER: Going off the

1 record. The time now is 12:14.

2 (Lunch recess had.)

3 THE VIDEOGRAPHER: We are back on.

4 the record. The time now is 1:20, Video Tape No. 2.

5 Q. When was the testing in Exhibits 3 and 4
6 preformed?

7 A. Probably February, March time frame, 1999.

8 Q. 1999. Okay. Was that the first time --
9 What did you call this type of testing?

10 A. We were trying to create ignition in the
11 pressure switch.

12 Q. Okay. So what type of testing are we
13 calling this?

14 A. I don't know if there's a specific name.
15 We refer to it as -- here in this document
16 (Indicating), called a laboratory model of
17 accelerated plastic based ignition.

18 Q. Let me see that. You're -- You're reading
19 off Page 2 of Exhibit 2, aren't you?

20 A. Yes.

21 Q. And I'm going to highlight what you just
22 read, a laboratory model of accelerated plastic
23 based ignition of the switch resulting from fluid in
24 the switch cavity coupled with application of
25 constant power as designed in the speed control

1 circuit, right?

2 A. Yes.

3 Q. All right. So basically it's a laboratory
4 model of accelerated plastic base ignition of the
5 switch under some testing criteria?

6 A. Some certain -- certain test conditions.

7 Q. And that was the first time that Texas
8 Instruments -- 1999?

9 A. 1999.

10 Q. -- did this type of testing on the subject
11 pressure switches?

12 A. We did testing for weeks, months, trying
13 to see if in the lab we could create ignition. This
14 was not the first time we ran the test and -- and
15 got ignition. Testing was going on for a month or
16 two at that point, trying to -- to recreate the
17 ignition.

18 Q. So for a period of a month or two in the
19 time frame, 1999, this was the first time that Texas
20 Instruments did this type of laboratory model of
21 accelerated plastic based ignition of the switch
22 under the test criteria described?

23 A. Yes. As far as I know, yes.

24 Q. Okay. Why was that the first time that TI
25 did that type of testing?

1 A. It was the first time we had -- had gotten
2 any evidence as far as -- of what the system
3 configuration was. We needed that information in
4 order to run the test and Ford had asked us to try
5 and run the test to see if we could recreate
6 ignition.

7 Q. Why didn't Texas Instruments perform some
8 type of testing like this laboratory model of
9 accelerated plastic based ignition of the switch
10 testing under criteria that you've described during
11 the development stage before this switch was
12 installed in those '92, '93 Panthers?

13 A. TI did not have the system knowledge to
14 run this type testing to know how to hook the -- the
15 switch up in testing.

16 Q. And the reason that TI did didn't have
17 that system knowledge, because they didn't ask?

18 A. Ford develops the system and they --
19 they're developing all the system testing that they
20 need to do. The way the process works, they give us
21 a specification, we design to that specification, we
22 provide them prototypes, we provide data that says
23 the parts meet specification, we provide them data
24 which says: What could go wrong in the switch? So
25 they can take that information and compare it with

1 all the other components in the system to make sure
2 if any issues do come up, there won't be a problem
3 in the system.

4 Q. Well, to answer my question then, isn't it
5 true that TI could simply ask for this information
6 during the development stage? Couldn't TI do that?

7 A. I don't know whether TI asked for the
8 information or not.

9 Q. That's not my question. Couldn't Texas
10 Instruments just simply ask for this information in
11 the development stage?

12 A. TI could ask for it. I don't know whether
13 T -- anyone at TI did ask for it or not.

14 Q. You don't --

15 A. I don't know whether Ford had all the
16 system information ^{defined} ~~to find~~ at that point.

17 Q. So you don't know if TI asked, you don't
18 know if they didn't ask?

19 A. That's correct.

20 Q. All right. And, of course, it -- would it
21 be fair to say that if TI did ask for this
22 information that could've been used for this testing
23 that's depicted on Exhibits 3 and 4, would it be
24 fair to say that Ford would've probably given the
25 information to TI necessary to conduct the test

1 appropriately?

2 A. Don't know.

3 MS. ALVAREZ: Objection to form.

4 A. I don't know if Ford would've been able to
5 give the information or not.

6 Q. Well, if the information was available and
7 Ford had it, would it be fair to say that Ford would
8 fork over the information if TI asked for it?

9 MS. ALVAREZ: Objection, form.

10 A. Which -- Which information are you
11 referring to?

12 Q. The information necessary to create a
13 simulation of the circuit that the switch is going
14 to be placed in.

15 A. I don't know whether Ford would've
16 provided that information or not.

17 Q. I mean, you're not saying that Ford would
18 refuse to give TI vital information necessary to
19 properly test the switch in a circuit similar to the
20 circuit that is going to be used in the car, you're
21 not saying that, are you?

22 A. I'm not saying Ford would refuse to
23 provide information. I don't know whether they
24 would provide it or not. What I am saying is, Ford
25 has -- takes the responsibility to make sure that

1 the switch is going to operate properly in the
2 system environment.

3 Q. Right. But then after TI gets sued and
4 these cars start catching on fire, TI then chooses
5 to do this test after the fact, correct?

6 A. TI was --

7 MS. ALVAREZ: Objection, form.

8 A. TI was not being sued when these -- when
9 these tests were being run.

10 Q. Has Ford asked TI for indemnity at the
11 time that these tests were run?

12 A. Ford had not, no.

13 Q. Had Ford asked TI or insinuated that Ford
14 might ask TI for indemnity when these tests were
15 run?

16 A. Not that --

17 MS. ALVAREZ: Objection, form.

18 A. -- I'm aware of. I don't know of any time
19 during these tests that Ford asked for indemnity.

20 Q. Well, I mean, you know -- you know that's
21 coming down the road, don't you? You know Ford's
22 going to probably ask for indemnity if it's an -- a
23 serious expense to Ford when these tests were run;
24 isn't that true?

25 A. No, I don't know. I don't know what

1 Ford's going to ask TI for.

2 Q. So TI doesn't have the foggiest idea
3 whether a car company might ask them for indemnity
4 when it's alleged that a TI component is causing big
5 problems with that OEM's vehicles?

6 MS. ALVAREZ: Objection, form.

7 A. That's not what I'm saying. What I'm
8 saying is, we were working with Ford engineering to
9 try and understand what might be happening on the
10 Town Car vehicles and that was the types of
11 discussions that we had.

12 Q. Well, who knows the most about this
13 switch, Ford or Texas Instruments?

14 A. Texas Instruments.

15 Q. All right. And the person that knows the
16 most about the circuitry is Ford, right?

17 A. Ford or some of their sub-suppliers.

18 Q. Okay. And so the only way for TI to learn
19 what Ford knows or it's -- or it's sub-suppliers
20 know about the circuitry is for TI to either ask of
21 Ford to voluntarily give that information to TI,
22 correct?

23 A. Yes.

24 Q. And to your knowledge, TI never asked for
25 the circuitry information during the development

1 stage of the switch?

2 A. TI asked for all specifications required
3 of TI to go design that switch. Ford provided those
4 specifications to TI.

5 MR. JOLLY: Objection, nonresponsive.

6 Q. To your knowledge, did TI ever request of
7 Ford the circuitry specifications for the switch
8 during the development stages of this switch?

9 A. TI asked -- Again, all I can answer is, TI
10 asked for what specifications were required to
11 design the switch and that was used in the
12 application and TI -- and Ford provided those
13 specifications for TI.

14 MR. JOLLY: Objection, nonresponsive.

15 Q. The circuitry information, did TI ever ask
16 for it in the development stage of the switch?

17 A. I don't know what -- the details of what
18 questions were asked and what weren't. I know that
19 TI asked for all the specifications required to
20 design the switch.

21 Q. Should TI know the circuitry
22 specifications for a switch -- for a circuit that is
23 going to incorporate a TI pressure switch in the
24 development stage of the pressure switch?

25 A. It's impossible for TI to know all the

1 details around everything of how that circuit -- how
2 that switch may interact in the circuit. But
3 meantime, standards -- the switch, even when it's
4 manufactured, we don't even supply it direct to
5 Ford. That switch was -- was supplied to Highlight
6 Industry, who then mounts the switch and supplies it
7 to Ford. There's many different suppliers and
8 sub-suppliers involved in that whole vehicle and
9 Ford integrates those suppliers' components together
10 to make sure they operate correctly in the system.

11 MR. JOLLY: Okay. Objection,
12 nonresponsive.

13 Q. Should TI ask for -- And it is Ford that's
14 going to provide the specifications; not Highlight,
15 right?

16 A. That's correct.

17 Q. All right. Should TI ask Ford for the
18 amperage and current specifications of a circuit
19 that TI knows one of its switches is going to be
20 placed during the development stages of the switch?

21 A. TI should ask Ford for all the information
22 required to design the switch.

23 Q. Specifically current and voltage,
24 amperage, should TI ask for that information in the
25 development stage?

1 A. It's important to understand in the
2 environment what loads might be on the switch.

3 Q. Loads include current, amperage, volts,
4 right?

5 A. What relates to the operation of the
6 switch. Whatever of those loads require the
7 operation of that switch, it's important to
8 understand that.

9 Q. The reason I have to keep asking the
10 question over is because you didn't answer it. My
11 question was: Does --

12 MS. ALVAREZ: Objection, sidebar.

13 Q. -- loads include current, amperage and
14 volts?

15 A. Those would typically include voltage and
16 they include current.

17 Q. Amperage?

18 A. Amperage is current. It's a unit of
19 measure of current.

20 Q. Okay. The resistors between the fuse and
21 the switch, should Texas Instruments ask Ford for
22 the specifications of the resistors, if any, between
23 the fuse and the switch for the circuitry in which a
24 TI pressure switch is going to be placed during the
25 development stages of the switch?

1 A. TI doesn't have the expertise to interpret
2 all that information and -- and know what
3 information that's important or not.

4 Q. TI doesn't have the expertise to
5 interpret --

6 A. All the specifications --

7 Q. -- a circuit --

8 A. -- all those (sic.) around the full
9 system, TI doesn't have the system understanding and
10 the system expertise.

11 Q. There's no one at TI that knows --
12 understands the circuit that's involved in the
13 pressure switch that we're here talking about?

14 MS. ALVAREZ: Objection, form.

15 A. What I'm saying is that TI doesn't have
16 the system knowledge and the system expertise to
17 understand all the specifications and all the
18 different components that are being used in the
19 system that Ford is integrating together.

20 Q. Did TI understand its tests that it did
21 here on Exhibits 3 and 4 and the circuitry that was
22 used to conduct the tests in 3 and 4?

23 A. Yes.

24 Q. Okay. All right. So at the time that
25 this testing was done in 3 or 4 -- depicted on

1 Photos 3 and 4. TI understood the circuit; but
2 during the development stage of the switch, TI could
3 not understand the circuitry. And so what I would
4 like to know is, what happened from the time period
5 of the date that the switch was developed until this
6 testing in 1999 that you photographed here on 3 or
7 4?

8 A. T --

9 MS. ALVAREZ: Objection, form.

10 A. TI understood the circuitry in this test.
11 That's what I've responded -- That's what I've
12 answered to.

13 Q. Okay. So nothing happened in that time
14 period?

15 MS. ALVAREZ: Objection, form.

16 A. Ford provided TI more information about
17 how -- how the circuitry is configured in the
18 system.

19 Q. And you've told us what that was. Tell us
20 again.

21 A. That the switch was powered continuously
22 and that there was no current limiting feature
23 between the fuse and the switch and that the fuse in
24 the -- in the system was a 15-amp fuse. And
25 that's -- that's all I can remember at this point.

1 Q. And that information that was conveyed to
2 TI, is that information that TI should've asked for
3 from Ford during the development stage of the
4 switch?

5 A. Ford needs to make sure that the system is
6 designed and the system architecture will work.
7 They -- They have responsibility to have an
8 understanding of all that system. TI doesn't have
9 the understanding of the system to interpret what
10 all that information may mean.

11 Q. Don't you think it would be more
12 productive and you would have less chance of things
13 like this happening if TI knew the circuitry and
14 understood the circuitry in the development stage of
15 the switch and Ford understood the switch when they
16 were designing the circuit?

17 MS. ALVAREZ: Objection, form.

18 Q. Don't you think that would be more
19 productive, sir?

20 MS. ALVAREZ: Objection, form.

21 A. TI explains to Ford how the switch works
22 so that Ford can approve the design and so that Ford
23 can take into account any issues that may occur in
24 the switch in their system development and system
25 design.

1 Q. Don't you think that would be more
2 productive?

3 MS. ALVAREZ: Objection, form.

4 A. What would be more productive?

5 Q. If TI knew the circuitry and understood it
6 during the development stage of the switch.

7 A. It's not possible for TI to understand
8 everything related on the vehicle that -- that comes
9 together in that system. Ford is the system
10 integrators, they have that system expertise. TI
11 does not have that system expertise.

12 Q. Okay. If TI had the information that it
13 had when it did these tests that you photographed in
14 Exhibits 3 and 4, could TI have done something
15 different with the switch so that these fires
16 wouldn't happen if the fires are being caused by the
17 switch?

18 A. Can you repeat (sic.) -- repeat the
19 question?

20 Q. What would TI have done differently if TI
21 had known what it knew after it did the tests that
22 you photographed here in Exhibits 3 and 4 if it had
23 that information at the time of the development of
24 the switch?

25 A. Based on the results of this test TI

1 recommended to Ford that the current be limited that
2 enters the switch.

3 Q. Okay. So it sounds like TI now
4 understands the circuitry and what needs to be done
5 to it?

6 MS. ALVAREZ: Objection, form.

7 A. That's not what I'm saying. I'm saying,
8 as based on this lab experiment TI was able to
9 demonstrate under certain conditions switch ignition
10 could occur based on information Ford provided to TI
11 and TI made recommendations to -- the way to prevent
12 this from happening, based on laboratory
13 experiments, was to limit the current.

14 Q. Okay. What could be done different to the
15 switch, not the circuitry, the switch? What could
16 TI do to the switch?

17 A. I'm not sure what could be done
18 differently to the switch to make sure that this lab
19 experiment ^{did not occur} resulted in what happened.

20 Q. Well, couldn't TI design the switch so
21 that it could handle a load equivalent to the load
22 of the circuit? Couldn't TI do that?

23 A. It wasn't a matter in this experiment of
24 the TI switch not handling the load. It was a
25 matter of the corrosion that occurred inside the

1 switch, causing a resistive heating element --

2 Q. Aren't --

3 A. -- to form.

4 Q. Aren't there electrical components that
5 don't corrode, like the components that are used in
6 this switch that could be used, that could be
7 changed?

8 A. All of the different -- different
9 materials can corrode in certain environments.

10 Q. Aren't there some materials that wouldn't?

11 A. I don't know all the details around that.
12 I'd have to spend some time researching that.

13 Q. You've never heard of some platings that
14 are available for these electrical components? Ever
15 heard of that?

16 A. Sure. There are platings available.

17 Q. Name one.

18 A. There's gold plating, silver plating.

19 Q. All right. So that could've been done,
20 right?

21 MS. ALVAREZ: Objection, form.

22 A. Could've been done.

23 Q. To change the switch, you could -- you
24 could coat the electrical components with different
25 materials to prevent the corrosion from causing the

1 short, correct?

2 A. It -- That not -- would not have
3 necessarily eliminated this from happening.

4 Q. It might have though, right?

5 A. I don't know.

6 Q. Well, it may have, based on a reasonable
7 engineering probability, the reason that those
8 coatings are available is to prevent corrosion --

9 A. Yeah.

10 Q. -- highly corrosive environments where
11 switches might be used, correct?

12 A. There are many reasons why those coating
13 may be available.

14 Q. Is that one of the reasons?

15 A. Typically, it's for contact wear.

16 Q. Is that one of the reasons though,
17 corrosion prevention?

18 A. No. Typically, it's for contact wear in
19 switches.

20 Q. Is that also an additional reason, contact
21 wear, corrosion prevention?

22 A. In switches, the primary reason would be
23 for contact wear.

24 Q. That wasn't my question, was it?

25 Additional reason other than contact wear to prevent

1 corrosion, coatings are used for that reason, aren't
2 they?

3 A. At times coating can be used to prevent
4 corrosion, yes.

5 Q. Okay. That could've been done, right?

6 MS. ALVAREZ: Objection, form.

7 Q. I mean, if TI had been provided this
8 information, TI could've made some changes to the
9 switch which could include coatings which could
10 prevent corrosion?

11 A. And there were coat --

12 MS. ALVAREZ: Objection, form.

13 A. There were coatings and platings in the
14 switch that -- that do prevent corrosion.

15 Q. Different coatings that would've prevented
16 this type of corrosion, that could've been done if
17 Ford had informed TI of -- of the circuitry,
18 correct?

19 MS. ALVAREZ: Objection, form.

20 A. Can you repeat the question?

21 Q. Well, if Ford had told TI about the
22 circuitry, possible corrosion, exposure to corrosive
23 materials, the amperage, the voltage, TI could've
24 used other coatings other than the coatings that
25 were used to prevent corrosion?

1 MS. ALVAREZ: Objection, form.

2 Q. Or to mitigate corrosion, correct?

3 A. But, in fact, T -- Ford told TI that the
4 mating connector would be sealed and no fluid would
5 be passed into the device --

6 Q. That wasn't my question --

7 A. -- the mating connector.

8 Q. Wasn't my question. Do you want me to ask
9 it again?

10 A. Yes.

11 Q. TI could've used a different coating on
12 the switch on the electrical components which could
13 mitigate corrosion, correct --

14 MS. ALVAREZ: Objection, form.

15 Q. -- if TI had been made aware of the
16 circuitry?

17 A. I don't know. I don't know how to answer
18 that.

19 Q. Doesn't TI make satellites? They make
20 satellites, don't they?

21 A. I'm not aware of TI making satellites.

22 Q. Telephones, calculators, computer chips,
23 microprocessors, right?

24 A. I know TI makes calculators. I know they
25 make some chips.

1 Q. What else? I mean, chips and switches
2 that are exposed to highly corrosive environments,
3 TI makes?

4 A. I don't know.

5 Q. You don't know?

6 A. I don't know.

7 Q. Do y'all have anyone on the group involved
8 in the design of this switch who had some kind of
9 working knowledge of what types of electrical
10 components should be used for switches that might be
11 exposed to a corrosive environment?

12 A. We've had people in the group designing
13 switches for years, with millions of switches out in
14 the field working properly.

15 Q. That wasn't my question. Someone in the
16 group involved with the design of the switch who's
17 familiar with how to stop corrosion in a pressure
18 switch or prevent it or mitigate it?

19 A. The design of the switch was included with
20 different platings to make sure that during the Ford
21 specified testing the switch would not corrode and
22 would operate properly.

23 Q. Okay. That wasn't my question. My
24 question is: I would like to have a name of someone
25 who would be -- Since you're not -- familiar with

1 what platings might stop corrosion. Was there
2 anyone in the group involved in the design of this
3 switch who -- who was; and if so, can you tell us
4 who that person was?

5 A. I'm not saying I'm not familiar with any
6 platings that don't prevent corrosion. As I said,
7 many of the components in the switch are plated to
8 prevent corrosion.

9 Q. Okay.

10 A. There's a salt spray specification that
11 the switch meets and it's plated to make sure it
12 meets that specification.

13 Q. Who in the group involved in designing
14 this switch had expertise in that field?

15 A. Had expertise in which field?

16 Q. Preventing corrosion with the electrical
17 components in the switch. I need a name.

18 A. I don't know.

19 Q. Ford isn't doing business with TI anymore;
20 is it? Ford's not buying pressure switches from TI
21 anymore; is it?

22 A. Ford does buy pressure switches from TI.

23 Q. Is Ford buying speed control deactivation
24 switches from TI as of today's date?

25 A. Yes.

1 Q. So for what vehicles is TI supplying
2 pressure switches to Ford?

3 A. F Series, Windstar. There's others. I'm
4 not sure exactly which ones are -- are in production
5 now.

6 Q. Okay. Is TI still Q1 Certified?

7 A. Yes.

8 Q. Has Ford indicated that the Q1
9 Certification might be withdrawn or subject to
10 recision?

11 A. Not that I'm aware of.

12 Q. So that -- So that people who aren't
13 engineers can understand, can you translate what
14 cycle specification means?

15 A. Sure. The cycle life specification
16 requires that we apply a pressure cycle to the part.
17 In this case, and the Ford specification requires,
18 we go from zero psi to 1450 psi and then back down
19 to zero psi and that would be one pressure cycle.

20 Q. Okay. And so the pressure cycles that
21 Ford's required of this pressure switch used in the
22 '92, '93 Lincolns, how did that compare to other
23 cycles re -- or cycle requirements of other car
24 companies?

25 A. For brake pressure switches or --

1 Q. Yeah. High, low, the same?

2 A. There's only one other -- Other than Ford
3 brake pressure switches, there's only one other
4 Ford -- I'm sorry. There's only one other TI brake
5 pressure switch that's in production and that's for
6 the ITT ~~switch~~ ^{TI} (sic.) system. And all of the systems
7 that I talked about before, that pressure cycle
8 specification is written differently than the Ford
9 specification. That specification total cycle
10 system is for one million cycles. But the pressure
11 range and temperature range of those cycles is
12 different and varies during the test.

13 Q. What vehicle is that switch for?

14 A. That's for Volvo. I'm not sure which
15 platform at Volvo.

16 Q. Okay. So Volvo asks for pressure switches
17 that can handle a million cycles and Ford asks for
18 pressure switches that can handle how many cycles?

19 A. The --

20 MS. KENNAMER: Objection, form.

21 A. The -- The spec I referred to was an ITT
22 spec and it was for a million cycles, but did not
23 match the Ford spec. It was different in terms of
24 pressure range and in terms of temperature.

25 Q. Yeah. But pressure and temperature and

1 all that, that's system specific; isn't it?

2 A. Including cycle --

3 Q. Yeah.

4 A. -- number of cycles.

5 Q. But, you know, so that the average person
6 knows what you're talking about when you say a
7 cycle, you're talking about every time someone hits
8 their brakes, basically, aren't you?

9 A. No. I'm talking about how a cycle is
10 defined in the specification. Ford's specification
11 that's defined is zero to 1450 psi and back down to
12 zero psi.

13 Q. Okay. Can you translate that to a
14 practical use?

15 A. I cannot.

16 Q. The switch is now on a car, someone's
17 driving down the road and here -- there's a stop
18 sign and they hit their brakes. And so is that one
19 cycle, two cycles, a thousand?

20 A. I don't know.

21 Q. You don't have any idea how many cycles
22 that is when someone hits their brakes one time when
23 we're talking about a TI pressure switch?

24 A. I would expect that the disk would snap
25 one time, so the contacts would open once. But I

1 don't know whether that one activity -- how that
2 correlates to one pressure cycle from zero to 1450
3 psi back to zero.

4 Q. Well, let's just -- The thing moves once
5 inside the switch, right?

6 MS. ALVAREZ: Objection, form.

7 Q. It moves once, right?

8 A. In what conditions?

9 Q. When you hit the brakes.

10 A. If you achieve the actuation pressure of
11 the disk in the switches when you step on the brake
12 the disk will snap and that allows the switch
13 contacts to open.

14 Q. Don't you imagine that when a car company
15 tells you what their cycle specifications are that
16 they're probably taking into account just a normal
17 stop for each cycle?

18 MS. ALVAREZ: Objection, form.

19 A. I don't know how -- the details around how
20 the car companies calculate their specifications
21 based on application use.

22 Q. Okay. So then, how many years is this
23 switch supposed to last on a -- on a '92, '93
24 Panther, based on the specifications that were used
25 when TI designed it?

1 A. The switch is supposed to last for 500,000
2 cycles from zero to 1450 psi and back to zero.

3 Q. Do you know? I mean, can you just say, "I
4 don't know" if you don't know, so that we don't have
5 to do this over and over and over again? I'm not,
6 you know, trying to get personal with you. Okay?
7 But if you don't know, you could just say that.

8 MR. JOLLY: Objection, nonresponsive.

9 MS. ALVAREZ: Objection, form.

10 Q. We can be here all day, any way you want
11 to do it.

12 MS. ALVAREZ: Ob --

13 Q. Okay?

14 MS. ALVAREZ: Objection, form.

15 Q. Do you know how many miles that translates
16 to, the cycle specification rate, once the switch is
17 made, it's manufactured and it's put on a '92, '93
18 Panther, how many miles?

19 A. I do not know how that cycle affect
20 translates to miles.

21 Q. Thank you. All right. You don't know if
22 it's 50,000 miles, you don't know if it's 60,000
23 miles or a hundred thousand miles? You don't know?

24 A. I don't know.

25 Q. No one at TI knows that? The people that

1 know that are the people at Ford?

2 A. That's correct.

3 Q. That could be affected by any number of
4 things when it comes to the specifics of the system
5 which are signed by Ford for its other component
6 suppliers, right?

7 A. That's correct.

8 Q. Okay. You said that some of the
9 electrical components in the switch were plated to
10 prevent corrosion --

11 A. Yes.

12 Q. -- remember?

13 A. Yes.

14 Q. Which electrical components specifically
15 are plated with silver, the --

16 A. The contact.

17 Q. The terminal contact?

18 A. I believe it's the contact.

19 Q. Anything else in there, like the
20 stationary terminal, the spring, the rivet, the
21 moveable terminal, are any of those silver plated to
22 prevent corrosion? And let's -- let's ask it this
23 way: Are they plated with anything to prevent
24 corrosion?

25 A. I don't think the terminals or the spring

1 arm are plated.

2 Q. Is there anything about the other parts of
3 the electrical components inside the switch other
4 than the contact terminal, whether by virtue of what
5 it's plated with or made with, which because of that
6 design specification is done that way to prevent
7 corrosion?

8 A. The cup is plated, hex port's plated.

9 Q. The electrical components inside the
10 terminal specifically?

11 A. I don't know what you mean by, inside the
12 terminal.

13 Q. Well, the spring is made out of brass.
14 That's not going to corrode under saltwater, is it?

15 A. The spring is made out of copper.

16 Q. Oh, it is? Okay. Ford thinks it's made
17 out of brass. Did you know that?

18 MS. ALVAREZ: Objection, form.

19 A. My understanding is that Ford knows the
20 spring is made out of copper. We've told Ford that.

21 Q. All right. What's the stationary terminal
22 made out of?

23 A. Brass.

24 Q. What's the moveable terminal made out of?

25 A. Brass.

1 Q. So what's corroding when you put the
2 saltwater in there?

3 A. The spring arm, copper spring arm.

4 Q. How is that corroding?

5 A. Electrolytic corrosion.

6 Q. Okay. So it's not made of brass. It's
7 made out of copper. Saltwater or something
8 corrosive can cause the copper to corrode?

9 A. Yes.

10 Q. What could you make it out of so that that
11 didn't corrode like that?

12 A. I'd have to spend some time thinking about
13 that.

14 Q. Could you silver plate it like they do the
15 terminal points? I mean, you can silver plate
16 copper, can't you?

17 A. Yes. I don't know whether that would stop
18 any corrosion or not.

19 Q. It'd sure slow it down, wouldn't it?

20 A. I don't know. I'd have to run some tests
21 to try and understand that.

22 Q. You don't know if silver plating copper
23 can slow the corrosion of copper down?

24 A. Depends on which test conditions and I'd
25 have to do some work to understand that.

1 Q. Well, the contact terminals are copper --
2 silver plated copper, aren't they?

3 A. I know it's silver plated. I'm not sure
4 if it's silver plated copper.

5 Q. And the reason --

6 A. I don't remember off the top of my head.

7 Q. The reason it's silver plated is, like we
8 said, to prevent corrosion --

9 A. No.

10 Q. -- because the contacts wear?

11 A. It's to prevent contact wear.

12 Q. All right.

13 A. So that oxid -- oxidizing -- oxidation
14 doesn't build up on a nonconductive surface, which
15 would make the switch ^{at} ~~act~~ -- open even when the
16 contacts are in contact.

17 Q. The -- The electrical terminal that's
18 silver plated is part of the spring that's made out
19 of copper that corrodes, isn't it, it's all the same
20 part?

21 A. I think it's a part riveted to the -- to
22 the spring arm.

23 Q. Okay. So what y'all were doing then is,
24 you silver plate the contact before you install it
25 on the copper spring, right?

1 A. I'm not positive.

2 Q. Something like that though, right?

3 MS. ALVAREZ: Objection, form.

4 A. I believe the contact is silver plated
5 before attached to the spring.

6 Q. It would be pretty hard to silver plate
7 something after it's attached to something else
8 metal. I guess you could do it, but it seems like
9 it'd probably be easier to do it first.

10 A. You can solder it to the plate.

11 Q. Right. Or you could just do both of them
12 at the same time after they're put together, right?

13 A. There are many different ways you could
14 set up the plate.

15 Q. That's one of the ways, isn't it?

16 A. [No response.]

17 Q. That's a question.

18 A. You can plate components after they're
19 assembled, yes, that's true.

20 Q. The other vehicles that you inspected that
21 belong to my clients that have caught on fire, of
22 the five that you've looked at, we've talked about
23 Gonzalez. How much time did you spend looking at
24 the other four cars?

25 A. It varied.

1 Q. From what to what?

2 A. Somewhere between 15 minutes, 45 -- to 45
3 minutes.

4 Q. Were each of those inspections video taped
5 also?

6 A. I believe there was a video camera there.
7 I don't remember if it was at every one.

8 Q. Why did you look at those other four cars
9 after you looked at Mrs. Gonzalez's car and couldn't
10 determine what caused the fire?

11 A. I wanted to understand what type of fire
12 damage there was on the vehicle and to take a look
13 at the switch on each vehicle.

14 Q. Did you go to the houses that these -- in
15 which these cars were parked that burnt down and
16 look at those homes to see happened with those
17 people's homes?

18 A. No, I did not.

19 Q. Why not? Does TI not care about the homes
20 that have burnt down in this case -- in these cases
21 that we have?

22 A. Of course --

23 MS. ALVAREZ: Objection, form.

24 A. Of course, TI cares about -- about any
25 homes that might've burnt down.

1 Q. So why not go look at the homes too? I
2 mean, you're looking at the car and you can't tell
3 us what started the fire. Why not go look at the
4 house so you can better understand what happened
5 here?

6 A. I wanted to look at the switches on the
7 vehicle and look at the damage of the fire in the
8 vehicles.

9 Q. Why not go look at the homes too?

10 A. The homes would not have given me any
11 information in terms of what -- what the switch
12 looked like or how much fire damage there was on the
13 vehicle.

14 Q. And were the switches on the other
15 vehicles?

16 A. The switches were on the other vehicles.

17 Q. Did you look at them?

18 A. Yes, I did.

19 Q. Did those switches cause those fires?

20 A. I don't know.

21 Q. Who at TI knows the answer to that
22 question?

23 A. No one at TI knows the answer to that
24 question.

25 Q. There's no one at TI who can say that

1 these TI switches on the cars that you've inspected
2 that belong to my clients did not cause those fires?

3 MS. ALVAREZ: Objection, form.

4 A. Can you repeat the question?

5 Q. Let's ask it both ways. Is there anyone
6 at TI who can say that the five cars that you looked
7 at that -- four out of the five that did have TI
8 pressure switches, is there anyone at TI who can say
9 that those switches did cause the fire?

10 A. There's no one at TI can say why those
11 vehicles went on fire.

12 Q. Okay. So, in other words, there's no one
13 at TI who can say -- for example, if Richard Clark
14 says those switches caused the fires, is there
15 anyone at TI is who's going to come in and say what
16 Richard Clark says is not true?

17 MS. ALVAREZ: Objection, form.

18 A. TI -- No one at TI knows what caused those
19 fires.

20 Q. So, in other words, TI has no evidence to
21 contradict what Richard Clark says with regards to
22 the origin of the fires --

23 MS. ALVAREZ: Objection, form.

24 Q. -- for the vehicles that you've inspected?

25 MS. ALVAREZ: Objection, form.

1 A. TI has a lot of evidence that switches met
2 specification. TI does not know what caused any
3 vehicle fires.

4 MR. JOLLY: Okay. Objection,
5 nonresponsive.

6 Q. Is there anyone at TI who has any facts
7 which could be used to contradict what Richard Clark
8 says with regards to the origin of the fires for the
9 five vehicles that you've inspected that belong to
10 my clients?

11 A. I --

12 MS. ALVAREZ: Objection, form.

13 A. I don't know the details of what Richard
14 Clark has said.

15 Q. So there's no one at TI with any facts to
16 contradict anything that Richard Clark may say about
17 what caused the fires for the five cars that you
18 looked at that belong to my clients?

19 MS. ALVAREZ: Objection, form.

20 A. All I can say is that no one at TI knows
21 the cause of those vehicle fires for your clients.
22 I can't answer it any other way.

23 Q. Well, if that's true, then isn't it also
24 true that nobody at TI can say that the switches did
25 not cause the fires?

1 MS. ALVAREZ: Objection, form.

2 A. We know that the switches met the
3 specifications provided to us by Ford.

4 MR. JOLLY: Objection, nonresponsive.

5 Q. Can you identify anybody at TI or any
6 documents at TI which would establish that the TI
7 pressure switches that were on the five cars that
8 you looked at did not cause the fires?

9 A. Can you repeat that question?

10 Q. Is there any -- Can you identify any
11 person at TI or any document at TI that would
12 establish that the TI pressure switches on the five
13 cars that you inspected did not cause those fires?

14 A. The only documents I know was a Ford
15 document based on Ford -- experts hired by Ford that
16 stated the fire on the [REDACTED] vehicle started in
17 the air compressor of the suspension leveling
18 system.

19 Q. On which -- On which car?

20 A. On the [REDACTED] vehicle.

21 Q. What Ford document is that?

22 A. It was, you know, in a lot of different
23 documents that was found as -- as part of the
24 discovery.

25 Q. Okay. That's not a TI document?

1 A. That is not a TI document.

2 Q. So the answer to my question is no, you
3 don't know the name of anyone at TI, you don't know
4 of any TI documents would've -- which would
5 establish that the five fires involving the five
6 cars that you looked at were not caused by the TI
7 pressure switch?

8 MS. ALVAREZ: Objection, form.

9 A. There's no one at TI or any TI documents
10 that define why those vehicles caught on fire.

11 Q. Or that the TI pressure switch did not
12 cause the fire?

13 MS. ALVAREZ: Objection, form.

14 A. There are documents at TI that demonstrate
15 that the TI pressure switch met specifications
16 provided to us by Ford. I can't answer the question
17 any other way.

18 MR. JOLLY: Objection, nonresponsive.

19 Q. All right. Let's just limit it to you.
20 Are you going come into court and say that you know
21 those switches on my clients' five cars that you
22 inspected did not cause the fires?

23 A. I know that those switches met
24 specification.

25 MR. JOLLY: Objection, nonresponsive.

1 Q. Are you going to come to trial and say
2 that?

3 A. Say what?

4 Q. What I just said. Do you want me to
5 repeat it again? Are you going to come to trial or
6 at any time between now and trial and say that the
7 five TI pressure switches on my clients' cars that
8 you inspected did not cause their fires?

9 MS. ALVAREZ: Objection, form.

10 A. All I can say is that I don't know what
11 caused those fires and that I know those switches
12 met specification.

13 Q. All right. So you're not going to come
14 into trial and say that the switches did not cause
15 the fires because you don't know?

16 MS. ALVAREZ: Objection, form.

17 A. All I can say is, I don't know why those
18 vehicles had fires and that I know the switches met
19 specification.

20 Q. Okay. If I can't get a straight answer
21 out of you, I'm going to have to ask the judge to
22 order you to answer that question. So I mean --

23 MS. ALVAREZ: Objection to the form.

24 Q. -- and I'm not going anywhere. Okay? I
25 live in Houston, this is my hometown and I frankly

1 like it here. So --

2 MS. ALVAREZ: Objection, form.

3 Q. -- I know you live in Boston, but --

4 MS. ALVAREZ: Objection --

5 Q. -- I'm not going anywhere until you answer
6 my question --

7 MS. ALVAREZ: Objection, form.

8 Q. -- someday, somehow. Okay?

9 MS. ALVAREZ: We'll continue it until
10 tomorrow if you ask --

11 Q. I'm going to ask it again, but I'm giving
12 you fair warning --

13 MS. ALVAREZ: Objection, form.

14 Q. -- that if you don't answer it this time,
15 I'm going to get the judge involved. Okay?

16 MS. ALVAREZ: Objection, form.

17 Q. Are you at any point in time from now
18 until trial ever going to say that the TI pressure
19 switches involving my clients' five cars that you
20 inspected did not cause those fires?

21 MS. ALVAREZ: Objection, form.

22 A. I don't know what evidence may be
23 presented from now forward on -- on what caused
24 those vehicle fires. All I can say is what I know
25 today, that the switches met specification and I

1 don't know what caused the vehicle fires.

2 Q. I gave you your chance. Same question:
3 Anyone else at TI other than you?

4 MS. ALVAREZ: Objection, form.

5 A. Can you repeat the question?

6 Q. Anybody at else TI other than you -- Since
7 you won't tell us the answer to the question with
8 regards to you --

9 MS. ALVAREZ: Objection, form.

10 Q. -- anyone else at TI who you anticipate at
11 any time between now and trial who will come into
12 court and say that the TI pressure switches on the
13 cars that you inspected did not cause those fires?

14 MS. ALVAREZ: Objection, form.

15 A. I don't know of anyone at TI today that
16 knows what caused those vehicle fires.

17 Q. Okay. How -- How much money did TI spend
18 inspecting [REDACTED] car? How much did it
19 cost for you and however many people went with you
20 to go down there or fly down there, spend the night
21 down there and inspect that -- inspect that first
22 car?

23 A. I don't know how much it cost.

24 Q. \$50,000 or less?

25 A. Probably less.

1 Q. Less than \$25,000?

2 A. I don't know. I don't know how much it
3 cost.

4 Q. How do you get paid?

5 A. How do I get paid?

6 Q. Yeah.

7 A. I get a paycheck from TI.

8 Q. And you -- Are you on salary or did you
9 get paid some extra money to go down there and look
10 at those cars?

11 A. I get paid on salary.

12 Q. Okay. So is it part of your job to go
13 around looking at cars? I mean, what is your job at
14 TI?

15 A. I'm an engineering manager at TI.

16 Q. All right. Does your job as engineering
17 manager include going around looking at cars that
18 have caught on fire?

19 A. I'm responsible for our pressure switch
20 design, our pressure transducer design groups. I
21 work with Ford on the issue related to the Town Car
22 fires. Based on those reasons I was the -- the
23 person to go look at the vehicles.

24 Q. So you didn't get paid any extra money to
25 go down there?

1 A. No, I did not.

2 Q. What is your annual salary?

3 MS. ALVAREZ: Objection, form.

4 A. About a hundred-thousand dollars a year.

5 Q. Do you get some of that TI stock along
6 with that?

7 MS. ALVAREZ: Objection, form.

8 Q. I mean, you own -- you own part of the
9 company, don't you?

10 A. I own some TI stock, yes --

11 Q. How much?

12 A. -- that's correct.

13 I don't know exactly how much.

14 Q. More than 10,000 shares?

15 A. No.

16 Q. Five-thousand?

17 A. No.

18 Q. I mean, how many options to buy TI -- TI
19 stock do you have? Have you got some of that,
20 options?

21 A. Some options.

22 Q. How many?

23 A. A few thousand.

24 Q. Okay. Does your wife work there?

25 A. Work where?

1 Q. At TI.

2 MS. ALVAREZ: Objection, form.

3 A. My wife does not work at TI.

4 Q. So you have a financial interest in this
5 company, don't you? Yeah?

6 A. I benefit from the success of TI.

7 Q. You have a financial interest in TI?

8 A. I'm employed by TI, TI pays me, yes.

9 Q. Well, I mean, you're an owner. You have a
10 financial -- When you have a financial --

11 MS. ALVAREZ: Objection, form.

12 Q. -- interest you're an owner --

13 MS. ALVAREZ: Objection, form.

14 Q. -- don't you think?

15 A. I own stock in TI.

16 Q. Why -- Why can't you just admit that you
17 have a financial interest in TI?

18 MS. ALVAREZ: Objection, form.

19 A. I'm trying to answer your question and say
20 I own stock in TI.

21 Q. All right. Are you an officer or a
22 director?

23 A. I'm not sure what you mean by those terms.

24 Q. Are you an officer or director of Texas
25 Instruments?

1 A. No.

2 Q. Vice president, nothing like that?

3 A. No.

4 Q. Have you been in meetings with officers
5 and directors regarding this issue?

6 A. A meeting with the vice president of -- of
7 TI regarding this issue.

8 Q. What's that person's name?

9 A. Martha Sullivan.

10 Q. All right. What does she say?

11 MS. ALVAREZ: Object -- I object to
12 that to the extent that it involves the litigation,
13 it would be listed as privileged communication for
14 this litigation and to the extent that it's in
15 connection with the litigation, I would instruct him
16 not to answer.

17 Q. What does she say that's not privileged?
18 Is she a lawyer?

19 A. She's not a lawyer.

20 Q. She's an engineer that's worked her way
21 up, became an officer, right?

22 A. Essentially, yes.

23 Q. So I mean, y'all talked about the
24 technical aspects of the switch, didn't you?

25 A. No. She -- The only -- We had one meeting

1 with -- with Martha Sullivan and her direction to us
2 was to make sure that our top priority is to support
3 Ford in any way necessary to understand what might
4 be causing fires on Town Cars in order to protect
5 the public.

6 Q. How did she communicate that information?

7 A. We sat in a meeting with her.

8 Q. Did she write any memos?

9 A. She communicated verbally.

10 Q. Has she written any memos?

11 A. Not that I'm aware of.

12 MS. ALVAREZ: Objection, form.

13 Q. Have you written any memos to her or
14 anyone in your group written any memos to her or any
15 other officer at TI?

16 MS. ALVAREZ: Objection, form.

17 A. Specific to this issue, I think Martha has
18 been copied on some of the correspondence related to
19 this issue.

20 Q. Would that be indicated on the memo, that
21 she was copied; that an officer at TI was being
22 copied with some memos?

23 A. Her name would be on the memo.

24 Q. Okay. Or would she be getting like blind
25 carbon copied, that sort of thing?

1 A. No. Any memo, her name would be on the
2 memo.

3 Q. Okay. What memo do you recall that she
4 was copied with?

5 A. I don't remember any specific memos.

6 Q. Any other officers --

7 MS. ALVAREZ: Objection, form.

8 Q. -- that were in the meeting other than
9 Martha --

10 A. Martha Sullivan was the only --

11 Q. -- Sullivan?

12 A. Sullivan was the only ^{vice president} ~~one~~ in the meeting.

13 Q. And then who was with you and Martha
14 Sullivan?

15 A. ^{McGurk} Andy ~~McGurk~~ was in the meeting, ^{Bryan} ~~Brian~~
16 Dague.

17 Q. Spell Brian's last name.

18 A. D-a-g-u-e.

19 Q. Have you mentioned him earlier?

20 A. I believe I have.

21 Q. And you and who else?

22 A. I think, John Pechonis was at the meeting
23 and there were -- there were probably others. I'm
24 not sure exactly.

25 Q. Spell John's last name.

1 A. P-e-c-h-o-n-i-s.

2 Q. And you pronounce that how?

3 A. Pechonis.

4 Q. You haven't mentioned him yet, have you?

5 A. I don't remember mentioning his name.

6 Q. What involvement did he have in this
7 issue?

8 A. At the time he was the operations manager
9 for our pressure switch business.

10 Q. So what's his job?

11 A. At the time of -- in his work?

12 Q. I mean, do you understand what it is that
13 he does? What does he do?

14 A. He manages the -- the pressure switch
15 business and manages the manufacturing and
16 manufacturing engineering aspects of the pressure
17 switch business.

18 Q. Why is it that you know more about the
19 manufacturing aspects of this switch than John
20 Pechonis?

21 MS. ALVAREZ: Objection, form.

22 A. I didn't say I know more about the
23 manufacturing aspects of the switch than John
24 Pechonis.

25 Q. All right. So he knows more -- He's the

1 person with the most knowledge about the manuring
2 (sic.) -- manufacturing aspects of the TI speed
3 control deactivation switch used on the '92, '93
4 Lincolns?

5 A. I don't know if he has the most knowledge.
6 There may be other people in the manufacturing
7 organization that have more knowledge on the
8 manufacturing process.

9 Q. Well, does John Pechonis have more
10 knowledge about the manur -- manufacturing process
11 than you?

12 A. Yes.

13 Q. And then there's even people who have more
14 knowledge than he does. Who are those people?

15 MS. ALVAREZ: Objection, form.

16 Q. Or who may? Who are those people?

17 A. There -- There may be other people who
18 have more knowledge about the manufacture of the
19 Ford de -- deactivation pressure switch.

20 Q. And who?

21 A. Steve Proia.

22 Q. Spell that last name.

23 A. P-r-o-i-a. Bob Gildea, G-i-l-d-e-a.

24 Q. Why do you think -- Anybody else?

25 A. There may be nobody else that comes to

1 mind.

2 Q. Okay. Why do you think that Steve Proia
3 and Bob Gildea have more knowledge about the
4 manufacturing process of this switch than John
5 Pachonis?

6 MS. ALVAREZ: Objection, form.

7 MR. JOLLY: Why -- What's -- What's
8 objectionable about that?

9 MS. ALVAREZ: Well, he said they may,
10 not that they do. And your question was: Why do
11 you think they do have more knowledge?

12 Q. Okay. Why do you think they may?

13 A. Because they are -- they are working the
14 day-to-day issues on the manufacturing line.

15 Q. How so? What do you mean, day to day?
16 They're the ones out there on the line?

17 A. Making sure the line is operating
18 properly.

19 Q. What do they say about this crimping of
20 the Kapton seal that Ford has accused TI of?

21 A. You'll have to --

22 MS. ALVAREZ: Objection, form.

23 A. My conversation with Steve and Bob, they
24 feel that the crimp process is operating properly.

25 Q. Uh-huh. So John, Steve and Bob all agree

1 with you when it comes to the crimping process not
2 damaging the Kapton seal?

3 A. Yes.

4 Q. Is there anyone there that doesn't agree
5 with you, Steve, Bob or John?

6 A. Not that I'm aware.

7 Q. Who's Jim Watt?

8 A. Jim Watt is a quality engineer.

9 Q. All right. What's his job?

10 A. His job is to work any of the quality
11 issues on the pressure switch line. He handles
12 communication to our customers in terms of change
13 requests, things like that.

14 Q. Who is Sally Epstein?

15 A. She's a paralegal in Dallas.

16 Q. Why is her name at the top of this Exhibit
17 2, like it's her letterhead or something?

18 A. She helped collect documents for the
19 discovery and I would imagine that we send
20 electronic files that she printed out. And since
21 she printed it, it printed her name on top.

22 Q. Who does she work for?

23 A. I don't know.

24 Q. She's a lawyer in Dallas?

25 A. She works in Dallas.

1 Q. You don't know the name of the law firm,
2 you don't know her address?

3 A. She works for Texas Instruments.

4 Q. Is she an in-house lawyer?

5 MS. ALVAREZ: Objection, form.

6 MR. JOLLY: Is she an in-house
7 lawyer, objection, form?

8 MS. ALVAREZ: I think he said
9 paralegal, not lawyer.

10 Q. She a lawyer?

11 A. I think she's a paralegal.

12 Q. Oh, okay. And what's the name of the law
13 firm she works for?

14 A. She works for Texas Instruments. She's a
15 employee of Texas Instruments.

16 Q. Okay. So TI has some offices in Dallas
17 and she's up there at one of the TI addresses?

18 A. Yes.

19 Q. Who else gathered together documents who's
20 involved with the in-house legal people there at TI
21 other than Sally Epstein?

22 A. The legal people that helped gather
23 documents?

24 Q. Yeah.

25 A. All our communication came from Sally.

1 Q. Okay. Did you go meet with her?

2 A. I have met her.

3 Q. Did you look over documents with her?

4 A. I did not.

5 Q. Did she mention to you that there's a
6 number of documents that TI is not going to produce
7 because they're privileged?

8 MS. ALVAREZ: Objection to form to
9 the extent that it does call for any attorney-
10 client information, I would instruct him not to
11 answer.

12 Q. Privileged, have y'all talked about not
13 producing any particular documents because they're
14 privileged, without getting into why they're
15 privileged or what the documents say?

16 MS. ALVAREZ: And again, to the
17 extent that it does call for any attorney-client
18 privileged information, I would instruct him not to
19 answer. She has been identified as a paralegal.

20 MR. JOLLY: Well, if this guy was
21 involved in making those calls, he can answer
22 whether or not he was involved in those discussions.
23 That's a simple question. It's not privileged.

24 Q. Go ahead.

25 MS. ALVAREZ: Will you repeat your

1 question?

2 Q. Do you remember the question?

3 A. No. Can you repeat it?

4 Q. All right. Did you and Sally Epstein
5 specifically discuss not producing any number of
6 documents because they were supposedly attorney-
7 client privileged?

8 MS. ALVAREZ: Again, to the extent
9 that question calls for attorney-client privileged
10 information, I would instruct him not to answer.

11 Q. Go ahead.

12 A. I have not discussed with anyone what
13 documents would be produced or not produced.

14 Q. No one's mentioned to you these documents,
15 whether it's a lawyer or anybody, these documents
16 shouldn't be produced for any reason?

17 MS. ALVAREZ: Again, to the extent
18 that it calls for any attorney-client privileged
19 information, I would instruct him not to answer.

20 Q. Has anyone said that to you?

21 MS. ALVAREZ: Other than his -- the
22 attorneys for Texas Instruments, you can answer that
23 question.

24 A. No.

25 Q. No one at TI has said, Let's not produce

1 these documents, other than someone --

2 MS. ALVAREZ: Other --

3 Q. -- someone who's not a lawyer has never
4 said that?

5 MS. ALVAREZ: Other than attorney-
6 client privileged information -- other than your TI
7 lawyers, the question can be answered.

8 A. Nobody has said not to produce a document.

9 Q. Have you ever said that?

10 A. I have not.

11 Q. I understand, during our break that it
12 turns out that the photos marked 3 and 4, there
13 was -- those were actually done in color, weren't
14 they?

15 A. I don't know if those -- those were done
16 in color or not.

17 Q. Well, also the testing was video taped,
18 right?

19 A. Some of the tests we did was video taped.
20 I don't know whether this exact experiment was video
21 taped or not.

22 Q. Well, but none of those video tapes have
23 been produced, right?

24 A. I'm not sure exactly what has been
25 produced or not produced.

1 Q. Okay. Well, they haven't been. Do you
2 know if I'm mistaken if I tell you there's been no
3 videos of this type of testing produced?

4 A. I don't know if you're mistaken.

5 Q. Are they going to be produced if they
6 haven't been?

7 A. Any videos that we have will be provided
8 to our attorneys.

9 Q. Okay. So how long ago did that happen
10 where the videos of testing was produced where it
11 was given to lawyers?

12 A. I don't -- I don't know whether those were
13 given to the lawyers or not. I know we're
14 continuing our document searches and I know we're
15 continuing to send information.

16 Q. Why wasn't the video of this testing
17 produced?

18 MS. ALVAREZ: Objection, form.

19 A. As I said before, we're continuing to
20 collect documents to try and produce every document
21 that's relevant to provide those documents.

22 Q. How long has TI been involved in this --
23 in the speed control deactivation switch business?

24 A. We've been providing speed deactivation
25 control switches to Ford since late 1991.

1 Q. All right. And how did TI get involved in
2 that business? Did TI start that business from
3 scratch or did TI buy somebody, buy some other
4 company?

5 A. TI developed it's own pressure switches.

6 Q. Okay. Why did TI do it that way, get into
7 the business that TI hadn't been in for what, 20
8 years? Why did TI do that?

9 A. TI --

10 MS. ALVAREZ: Objection, form.

11 A. TI has been making pressure switches since
12 early 1980s.

13 Q. Okay. Why did TI get into the speed
14 control deactivation switch business?

15 A. Ford presented us with a pressure switch
16 need. TI felt they could provide a switch that met
17 the Ford specifications.

18 Q. Okay. So since 1982 they've -- they've --
19 TI has been making pressure switches for -- for
20 what?

21 A. The air conditioning pressure switches,
22 power steering pressure switches, brake pressure
23 switches, transmission pressure switches.

24 Q. And since 1982 with regards to all those
25 pressure switches, has TI had any problem at all

1 with any of those switches when it comes to
2 corrosion in the electrical side of the switch?

3 A. There have been switches that have come
4 back to TI that had corrosion inside the -- the
5 switch with the water coming in through the
6 connector.

7 Q. Which switch?

8 A. One of the Ford switches.

9 Q. Which Ford switch?

10 A. One that was -- is mounted on the
11 Econoline vehicle.

12 Q. Nissan, Chrysler?

13 A. Not that I'm aware of.

14 Q. G.M., Volvo, no water getting in the
15 electrical side of those -- any of those switches
16 since --

17 A. On --

18 Q. -- 1982?

19 A. On Volvo there was an issue with water
20 getting into the -- the switch.

21 Q. Okay. Is that the same Volvo problem you
22 told us about -- about earlier involving the five
23 or six switches that TI didn't get a chance to look
24 at or is this something else?

25 A. It's something else. This was an air

1 conditioning switch.

2 Q. All right. Tell me about that.

3 A. I don't know much of the details around
4 it. I know that water was flowing down a wire
5 harness and that wire harness was connected to the
6 switch and flowing into the switch through the wire
7 harness.

8 Q. Okay. Because of the orientation of the
9 switch, it was collecting water in the electrical
10 side of it as water ran down a wire into the
11 electrical side?

12 A. It was running down the inside of the wire
13 between the wire and the insulation.

14 Q. Okay.

15 A. And then even into the switch.

16 Q. And then corrosion was occurring in that
17 Volvo switch too?

18 A. I don't know the details of what was
19 happening because of the water.

20 Q. What Volvo year make and model did that
21 occur on?

22 A. I don't know.

23 Q. How many?

24 A. I don't know.

25 Q. What year?

1 A. I don't know.

2 Q. What was the remedy?

3 A. Volvo made changes in their wire harness
4 and we put epoxy in the inside of the switch base.

5 Q. What year was that, '80s, '90s?

6 A. It would've been in the '90s. I'm not
7 sure which year in the '90s.

8 Q. Early '90, mid '90, late '90s?

9 A. Probably mid '90s.

10 Q. Okay. Is that same type of epoxy that you
11 mentioned, is that used on the Panther deactivation
12 switch for the '92, '93 models?

13 A. No, it's not.

14 Q. Why not?

15 A. The epoxy was a specific request from
16 Volvo on that switch.

17 Q. All right. So here you've got years of
18 experience with water getting into the electrical
19 side of the switch before the switch is developed
20 for Ford. Would that be fair to say?

21 A. No, that's not what I'm saying at all.
22 The -- The discussion I said on the Volvo switch
23 happened after the development with Ford. And I
24 wouldn't say there's -- there's many switches where
25 we've had these problems. We have hundreds of

1 millions of switches out there and these are a few
2 switches that we're talking about.

3 Q. Okay. So TI's aware of this problem with
4 water in the electrical side of the switch prior to
5 the fires involving my clients' cars.

6 MS. ALVAREZ: Objection, form.

7 Q. Is that fair?

8 MS. ALVAREZ: Objection, form.

9 A. What problem are you referring to?

10 Q. Water getting in the electrical side of
11 the switch.

12 A. There have been switches returned to TI
13 that did have water that had gotten into the switch
14 through the mating connector.

15 Q. Prior to my clients' cars catching fire?

16 A. Yes.

17 Q. And the cure for that with the Volvo was
18 to fill the electrical side of the switch up with
19 some type of epoxy to displace the water, I gather?

20 A. No. The cure -- The cure was for Volvo to
21 change their -- their wire harness so the water
22 would not drip down the wire harness. And they also
23 requested that we put epoxy in the switch --

24 Q. Okay.

25 A. -- to seal that cavity.

1 Q. Okay. So epoxy -- Seal the wire and then
2 put epoxy in the electrical side of the switch to
3 seal the cavity, right?

4 A. Yes.

5 Q. Which prevents corrosion. If you can keep
6 the water out, it prevents corrosion, correct?

7 A. The terminals are still exposed. You can
8 still corrode the terminals.

9 Q. All right. Well, the purpose of putting
10 the epoxy is to minimize the chances that water gets
11 into the electrical side of the switch and causes
12 corrosion, correct?

13 A. Minimize the chance that water gets into
14 the electrical side of the switch and damages the
15 switch.

16 Q. Correct?

17 A. Yes.

18 Q. To prevent the possibility of corrosion?

19 A. I don't know specifically in that case
20 whether it was corrosion that was occurring.

21 Q. Okay. And the reason that TI didn't use
22 that epoxy system inside the electrical side of the
23 speed control deactivation switch used on the '92,
24 '93 Panthere is because Ford didn't ask for it?

25 MS. ALVAREZ: Objection, form.

1 A. Ford did not require the -- the base to be
2 sealed. Ford provided that seal with the mating
3 connector.

4 Q. Okay. So Ford sent the specifications to
5 TI and Ford said, Don't seal the base?

6 A. That's not what I'm saying.

7 Q. All right. Ford sent the specifications
8 to TI and TI said, Ford, Do you want us to seal the
9 base? And Ford said No?

10 A. No. What I'm saying is, Ford said, The
11 electrical seal to that base will be provided by the
12 mating connector.

13 Q. Ford sent the specifications to TI and
14 didn't say anything about sealing the base and TI
15 didn't say anything to Ford about sealing the base,
16 right?

17 A. TI reviewed our design with Ford and Ford
18 approved our design. Sealing of the base would be
19 accomplished by the mating connector made into the
20 base.

21 Q. Ford didn't say anything about sealing the
22 base, TI didn't say anything about sealing the
23 base --

24 NS. ALVAREZ: Objection, form.

25 Q. -- made pursuant to Ford's specifications,

1 end of story?

2 MS. ALVAREZ: Objection, form.

3 Q. That's how it went down; isn't it?

4 MS. ALVAREZ: Objection, form.

5 A. That's not what I'm saying.

6 Q. Well, what about what I just said is not
7 accurate?

8 A. What I'm saying occurred is, the seal to
9 the base was provided by the mating connector that
10 Ford mated to the switch.

11 Q. Did Ford say, Don't seal the base with
12 epoxy?

13 A. I don't know what Ford said.

14 Q. Did TI say to Ford, Seal the base with
15 epoxy?

16 A. I don't know if TI said any -- any words
17 like that.

18 Q. Did TI say to Ford, Don't seal the base
19 with epoxy?

20 A. TI reviewed the design of the switch with
21 Ford and that design of the switch showed that the
22 base was -- would be sealed by the mating connector
23 and applied to the base.

24 MR. JOLLY: Objection, nonresponsive.

25 Q. Did TI tell Ford, Don't seal the base with

1 Epoxy, yes or no?

2 A. I don't know.

3 Q. And if you don't know, then the answer's
4 no --

5 MS. ALVAREZ: Objection, form?

6 Q. -- isn't it?

7 A. No. The answer is, I don't know.

8 Q. Can you give us one document or the name
9 of one single person who told Ford, Don't seal the
10 base with epoxy?

11 MS. ALVAREZ: Objection, form.

12 A. I don't -- I don't know of any document
13 that says -- to TI that says, Don't seal the base
14 with epoxy.

15 Q. Can you give us the name of one person at
16 TI who told Ford, Don't seal the base with epoxy?

17 A. I -- I know that the seal to the -- to the
18 base would be provided by the mating connector.

19 MR. JOLLY: Objection, nonresponsive.

20 Q. Can you identify anybody at TI who told
21 Ford, Don't seal the base with epoxy?

22 A. I cannot identify anyone at TI that said,
23 Don't seal the base with epoxy.

24 Q. Why is there a Bates number missing from
25 the documents that have been pro -- produced to me?

1 MS. ALVAREZ: Objection, form.

2 A. Can you repeat that?

3 Q. Who Bates stamped the documents that were
4 produced to me? Who stamped the -- page numbered
5 them --

6 A. I don't know.

7 Q. -- with this little thing called a Bates
8 stamp? Who did that?

9 A. I don't know.

10 Q. Did Sally Epstein do that?

11 A. I don't know.

12 Q. Are they in chronological order?

13 A. I don't know.

14 Q. I thought you're supposed to be the
15 corporate rep most knowledgeable about the documents
16 to the subject matter. How come you don't know
17 those answers?

18 MS. ALVAREZ: Objection, form.

19 A. I'm the corporate rep most re -- most
20 knowledgeable about the full breadth of questions on
21 the Deposition Notice.

22 Q. How come you don't know when the documents
23 were Bates stamped?

24 A. I did not do the stamping of the
25 documents.

1 Q. How come you don't know when they were
2 Bates stamped or why one of the pages is missing?

3 MS. ALVAREZ: Objection, form.

4 Q. Who knows the answer to that question?

5 MS. ALVAREZ: Objection, form.

6 A. Our lawyers are responsible for getting
7 the documentation to you.

8 Q. So I need to go dep -- take the deposition
9 of one of the TI lawyers to get an answer to that
10 question?

11 MS. ALVAREZ: Objection, form.

12 A. That's not what I'm saying.

13 Q. All right. Well, who do I need to talk to
14 to find out why there's a Bates stamp number missing
15 from the documents produced to me?

16 A. I --

17 MS. ALVAREZ: Objection, form.

18 A. I don't know who the --

19 Q. Well, you know what it looks like? It
20 looks like the documents were Bates stamped and then
21 someone pulled the documents out of the box. Do you
22 understand what I'm saying?

23 MS. ALVAREZ: Objection, form.

24 A. No, I'm not sure I understand what you're
25 saying.

1 Q. Do you know if that happened? Do you know
2 if someone pulled the documents out of the box after
3 they were Bates stamped?

4 A. I do not know whether any documents were
5 pulled out or not pulled out.

6 Q. Have you heard anyone say that?

7 A. Anyone say what?

8 Q. Have you looked at the documents produced
9 to me?

10 A. I've seen some of the documents produced
11 to you.

12 Q. Have you noticed that there are Bates
13 stamped numbered pages missing?

14 A. I have not seen any pages missing.

15 Q. Has anyone discussed with you that those
16 documents were pulled after they were stamped?

17 A. Nobody has discussed with me anything
18 related to stamping documents and removing
19 documents.

20 Q. Do you -- Do you know anything about
21 diagnosing a speed control deactivation switch to
22 determine if it's going to fail or cause a fire
23 after it's in place and in service on a car?

24 A. Can you repeat the question?

25 Q. Well, can you look at -- Let's just back

1 up a ways, a couple of years and here's all my
2 clients' cars lined up here in a row, all nine of
3 them and they haven't caught fire yet. Can TI raise
4 the hood on those cars, look at the speed control
5 deactivation switches or diagnosis then in any way
6 and say, This switch is going to fail and it's going
7 to cause a fire?

8 A. I'm not aware of any switches that cause
9 fires. And depending on -- There -- There's nothing
10 that can be done, looking at the -- just looking at
11 the switch to determine when end of life will be
12 reached for that switch.

13 Q. Any -- Any way to diagnose it with any
14 kind of electrical diagnostic equipment to make that
15 call?

16 A. You can diagnose with electrical equipment
17 if there's fluid in the switch cavity.

18 Q. What would you do?

19 A. Measure the resistance between a
20 terminal and the ground.

21 Q. How would a mechanic know to do that or
22 know how to do that or know when to do that?

23 MS. ALVAREZ: Objection, form.

24 A. TI does not define what the mechanics look
25 at when someone brings their car to the dealership.

1 Q. Well, let's just say you're going to train
2 the mechanic. What would you tell them to do?

3 A. I don't have expertise in that area in
4 order to train a mechanic.

5 Q. What would you look at when you raised the
6 hoods? What would you do?

7 MS. ALVAREZ: Objection, form.

8 A. Do what?

9 Q. You're the mechanic now. What would you
10 do? You said -- You said, check the switch?

11 A. If I --

12 MS. ALVAREZ: Objection, form.

13 A. If I wanted to understand if there was
14 fluid in the switch cavity, I would measure the
15 resistance between the terminal of the switch and
16 the hex port of the switch.

17 Q. And how would you do that?

18 A. With an ohm meter.

19 Q. An ohm meter, o-h-m?

20 A. Yes.

21 Q. And that measures what, resistance?

22 A. Resistance.

23 Q. So the ohm meter would tell you what, if
24 there's a short?

25 A. It would tell me what the resistance was.

1 Q. And so, if there was little resistance, it
2 means there's a short; and if there's a lot of
3 resistance, then that's good; isn't it?

4 A. The way the part is designed is that it
5 would have an -- an open -- it would be very high
6 resistance. If -- If that didn't -- the resistance
7 is lower, that would indicate that there may be
8 fluid inside the switch cavity.

9 Q. So, in other words, if there's lower
10 resistance, then the circuit is shorting out inside
11 the switch?

12 A. If there's low resistance, then there's a
13 resistive path from the terminal to the hex port
14 ground of the switch.

15 Q. Because it's shorting internally for some
16 reason?

17 A. From it's resistive path.

18 Q. Which means that there's a short, right?
19 I mean, a short is -- just means that the current is
20 going somewhere it's not supposed to; isn't that
21 what that means?

22 A. A short generally means a very low
23 resistive connection.

24 Q. Which means you're making a circuit?

25 A. There can be fluid in there that has a

1 higher resistance than I would call a short.

2 Q. So that means you're making a circuit,
3 right?

4 A. There's a resistance from the terminal to
5 the hex port. That's what it would mean if you
6 measured resistance that is a resistive path,
7 current can flow to the terminal, correct.

8 Q. Well, the switch isn't designed to operate
9 that way, is it?

10 A. Not -- The switch is not intended to
11 operate with fluid in the switch cavity.

12 Q. That's not my question. My question is:
13 Is the switch designed so that it shorts out and
14 causes a fire?

15 A. The switch is not designed to short out or
16 cause a fire.

17 Q. So there's not supposed to be current
18 across from the -- on of the electrical components
19 to the hex head, right?

20 A. There's not supposed to be current flowing
21 from the terminal to the hex port, yes.

22 Q. And whose responsibility is it then to
23 make sure that repair technicians at authorized Ford
24 dealers know what you've just described when it
25 comes to analyzing whether or not a TI speed control

1 deactivation switch has got an internal problem? Is
2 that my clients' responsibility or is that someone
3 else's responsibility?

4 A. It's Ford's responsibility to define how
5 the service technician would service the -- the
6 vehicle.

7 Q. Is that my clients' responsibility?

8 A. It's Ford's responsibility to define how
9 the service technician will service the vehicle.

10 Q. So that's not my clients' responsibility;
11 is it?

12 A. It's Ford's responsibility to define how
13 the technician services the vehicle.

14 Q. Which means that it's not my clients'
15 responsibility, correct?

16 A. It's not your clients' responsibility to
17 define how to service the vehicle --

18 Q. And then how --

19 A. -- ^{by} ~~not~~ the dealer technician.

20 Q. All right. And then, so how's Ford
21 supposed to know how to test this TI switch? How's
22 Ford supposed to learn that so that they can tell
23 the technicians?

24 A. Ford -- TI shows Ford the design of the
25 switch, how the switch operates. Ford's

1 specifications define how the switch should operate.
2 And with that information Ford can -- can show the
3 technicians how to service the vehicle.

4 Q. Okay. So after these switches are
5 designed pursuant to Ford's specifications, they're
6 designed, manufactured and then sold to Ford and
7 they go out and they're put on '92, '93 Panthers,
8 right?

9 A. Actually, in this case, sold to ~~Highlight~~
10 ^{or that Tier 1's} Industry, first; but eventually, on the Ford vehicle.

11 Q. And after that point in time TI doesn't
12 get involved with what's happening with the switches
13 out in the field unless Ford comes back with a
14 complaint?

15 A. If there are any issue -- issues, Ford
16 would bring it to -- to TI. And if parts come back
17 to Ford as an issue, they would return it to TI. TI
18 would analyze those switches and send a report back
19 to Ford.

20 Q. Okay. Once we're at that point in the
21 stage where the switches are being produced and
22 they're coming off the assembly line and Highlight
23 and Ford are putting on these '92, '93 Panthers, TI
24 has no responsibility when it comes to determining
25 if the switch is meeting the specifications criteria

1 of the switch?

2 A. TI is responsible to make sure the
3 switch -- that all switches delivered meets the
4 specification.

5 Q. I mean, after the fact, TI doesn't go out
6 in the real world and get cars and test them and see
7 if the switch is meeting the specifications
8 criteria?

9 A. There are examples of times TI has gone
10 out and got switches that were out in the field in
11 order to see how the switches were performing and --
12 and what they looked like.

13 Q. What cars did TI do that on?

14 A. There were some G.M. vehicles where we
15 took power steering pressure switches off of. There
16 were some Ford vehicles where we took Ford brake
17 switches off of and recently during this
18 investigation went back and took TI switches off of
19 Panther platform vehicles to see how the switch was
20 performing.

21 Q. And were -- were those switches tested?

22 A. Yes.

23 Q. Has that been produced?

24 MS. ALVAREZ: Objection, form.

25 A. I'm not sure exactly which documents were

1 produced. I know that --

2 Q. What testing was done on the Panthers that
3 were picked off the streets?

4 A. We tested the switches. We did not test
5 the vehicles.

6 Q. And what was done?

7 A. The switches were tested for calibration
8 and cut open to look inside.

9 Q. Anything else?

10 A. Nothing I can remember at this time.

11 Q. Did anyone bother to pick up an ohms gauge
12 and test the switch the way that you said it ought
13 to be tested before y'all cut it open?

14 A. Yes. Yes, ~~that was done~~ *that was done on parts received from the*
15 *recall but not on these parts collected*
16 Q. So something else was done?

16 A. Yes, *on the parts received from the recall.*

17 Q. Were those measurements recorded, the
18 measurements taken off the ohms gauge?

19 A. Yes.

20 Q. Ohms gauge, ohms meter?

21 A. Ohms meter.

22 Q. And how were those measurements recorded?

23 A. On a data sheet.

24 Q. Has that been produced?

25 A. I don't know.

1 Q. What did it show?

2 A. The switches were operating fine.

3 Q. So there was -- Of all the Panthers that
4 were taken off the road, how many were taken off?

5 A. I don't remember exactly. Ten switches,
6 12 switches, somewhere around there. All of those
7 switches were operating normally.

8 Q. What does normally mean when it comes to
9 the ohms meter reading?

10 A. Very average --

11 Q. What did --

12 A. -- from terminal to case.

13 Q. What is the measurement?

14 A. Essentially, overload, mega-ohms.

15 Q. Excuse me?

16 A. Essentially, overload, mega-ohms.

17 Q. Well, but when you're looking at the
18 meter, there's numbers that correspond with the
19 meter. What number?

20 A. The -- The meter will say, O.L., overload,
21 for very high resistance.

22 Q. Okay. And then what would you expect for
23 the meter to read for a switch that did not meet
24 specification?

25 A. Don't know of any switches that didn't

1 meet specification.

2 Q. No. You're -- You're now telling the
3 mechanic, even though it's not TI's responsibility,
4 when you're looking at the ohms meter, here's the
5 reading that means it's good; here's the reading
6 that means it's bad. What's the bad reading number?
7 I need a number.

8 MS. ALVAREZ: Objection, form.

9 A. Depending on the conductivity, the fluid
10 in the switch would affect what resistance you would
11 measure.

12 Q. What would that be?

13 A. It would vary, depending on the
14 conductivity of the fluid.

15 Q. So how is the mechanic supposed to know if
16 you can't tell them the number?

17 MS. ALVAREZ: Objection, form.

18 A. What I said before was that as a -- as a
19 guideline, looking at resistance from terminal to
20 case, could be an indication of fluid in the switch
21 cavity.

22 Q. Okay. But the ohms meter has
23 measurements, it has a scale and it gives you
24 numbers, doesn't it?

25 A. Yes. It could be tens of ohms, hundreds

1 of ohms, thousands of ohms, hundred thousands of
2 ohms, depending on the conductivity of the fluid.

3 Q. Well, so give me a number.

4 A. I can't give you a number because it would
5 depend on the conductivity of the fluid that was
6 inside the switch.

7 Q. Then how are you going to figure that out,
8 take it apart? I mean, why not just go ahead and
9 just take it off and just throw it away just in
10 case, if there's no way to tell unless you take the
11 switch off and take it apart?

12 A. Tell what?

13 Q. What the conductivity -- conductivity of
14 the fluid inside the switch is.

15 A. Well, when you measure the resistance
16 you'll understand how -- how conductive that fluid
17 is --

18 Q. So --

19 A. -- based on the resistance measurement.

20 Q. So what's my measurement going to tell me?

21 A. It'll tell you the conductivity of the
22 fluid.

23 Q. All right. What's it going to tell me
24 with regards to the conductivity of the fluid?

25 A. All it's going to tell you is the

1 resistance between the terminal and the case.
2 Different fluids in that condition will have
3 different resistances.

4 Q. All right. So what could get in there,
5 brake fluid, water, saltwater?

6 A. Yes.

7 Q. All right. So what's the range going to
8 be, depending on the conductivity of those four
9 fluids that could possibly get in the switch --

10 A. Brake fluid would probably in the
11 hundreds, hundred thousand, three-hundred,
12 five-hundred thousand ohms -- well, five-hundred
13 thousand ohms; in that range, hundreds of thousands
14 of ohms. Saltwater would be -- would be lower,
15 depending on the concentration of salt.

16 Q. Okay.

17 A. Could be in the hundreds.

18 Q. So the instructions to the mechanic are
19 anything from 100 -- a hundred -- several hundred
20 ohms to as much as 500,000 ohms; throw the switch
21 away?

22 A. That's not what I'm saying. I'm not
23 defining instructions to a mechanic. You asked me
24 before how would you know if fluid was in the -- in
25 the switch and I said, by one way, to measure

1 resistance from the terminal to the case.

2 Q. Who's supposed to know what that
3 measurement's going to be to make the decision to
4 throw the switch away, TI, the mechanic or Ford?

5 A. I don't know if mechanics are making those
6 measurements or not.

7 Q. That's not what I asked; is it? Who's
8 supposed to know what the number is, TI, Ford or the
9 mechanic when it comes to the decision when you're
10 measuring the switch with the ohms meters and you
11 want to decide whether or not it's a possible fire
12 hazard --

13 MS. ALVAREZ: Objection, form.

14 Q. -- TI, the mechanic or Ford? Pick one or
15 more.

16 A. I'm not --

17 MS. ALVAREZ: Objection, form.

18 A. I'm not saying that because there's fluid
19 in there, you have a fire hazard, just because of
20 fluid in the switch.

21 Q. Okay. It's no good, we need to throw it
22 away, who's responsible to determine the number on
23 the ohms meter; TI, the mechanic or Ford?

24 A. What I'm saying is, as a diagnostic tool
25 at TI, when we receive switches, that's a

1 measurement we would take. We measure the ~~time~~ ^{registered}
2 ~~period~~ to try and understand what might be wrong
3 with the switch.

4 Q. The switch is out in the field now, it's
5 on a car, it's been cycled; you don't know how many
6 times because Ford didn't tell you that; now
7 someone's measuring it with an ohms meter. Who's
8 going to pick the number in order to make the
9 decision to throw the switch away, TI, the mechanic
10 or Ford?

11 A. You're talking about a hypothetical
12 situation.

13 Q. Yes, sir.

14 A. I don't know who's measuring the switch or
15 what the reason is for measuring the switch.

16 Q. They're measuring it. Who cares. They're
17 measuring and they're trying to just make sure these
18 cars don't burn people's homes down, maybe --

19 MS. ALVAREZ: Objection, form.

20 Q. -- maybe someone thought of that and
21 decides to measure it with an ohms meter. Who's
22 going to pick the ohms meter number --

23 MS. ALVAREZ: Objection --

24 Q. -- TI, the mechanic or Ford?

25 MS. ALVAREZ: Objection, form.

1 A. Can you repeat the question?

2 Q. Who's responsible for determining the ohms
3 meter measurement for the decision with regards to
4 the -- the -- if a TI speed control deactivation
5 switch failing or doesn't meet specifications, TI,
6 the mechanic or Ford?

7 A. Hypothetically speaking, if a dealership,
8 somebody was making that measurement -- Okay -- Ford
9 would be providing to that person the information on
10 whether to remove the switch or not.

11 Q. Not TI, not the mechanic, Ford?

12 A. Ford would be making -- be providing the
13 information.

14 Q. Now, how would Ford know that? How would
15 Ford know what that measurement's supposed to be?

16 A. I don't know. They'd use their system
17 understanding to determine how the component
18 operated in their system to make a judgment
19 decision.

20 Is it possible to take a few-minute break

21 Q. Sure.

22 THE VIDEOGRAPHER: Going off the
23 record. The time is now 2:42.

24 (Recess had.)

25 THE VIDEOGRAPHER: We are on the

1 record. The time now is the 3:02. This is video
2 tape No. 3.

3 MS. ALVAREZ: For the record, we're
4 discussing the continuation of this depo at whatever
5 time we end today. We have offered to go today
6 through close -- close to 5:00 o'clock and pick up
7 tomorrow morning, 8:30 or 9:00 o'clock tomorrow
8 morning and continue until the deposition is
9 concluded.

10 It is understanding from the discussions off
11 the record that for the most part everybody else is
12 opposed to continuing tomorrow.

13 MR. JOLLY: Well, just so that we
14 understand one another, when these -- when this
15 deposition was at the point when it was being
16 scheduled initially, TI wrote a bunch of
17 self-serving letters to me claiming that this would
18 be the only deposition that ever occurred of a TI
19 corporate representative.

20 Now here we are, it's 3:00 -- five after 3:00
21 and TI has just now handed us another stack of
22 documents which is approximately a half of an inch
23 thick and it turns out that there's a video tape that
24 we haven't received, color photographs of his testing,
25 documents related to Nissan, G.M. and Volvo and other

1 speed control deactivation switches that are relevant
2 to this case.

3 We're not going to continue this deposition into
4 the weekend without the -- having had ample
5 opportunity to have full and complete discovery
6 responses, production responses and the opportunity to
7 review that information without having to sit here and
8 look at it through the deposition.

9 I think it's obvious why it was done this way,
10 but I don't say that on the record. So what I'm going
11 to do here in a little bit is, I'm going to say that I
12 am through with this witness at the present time. I'm
13 not going to pass the witness and we will reschedule the
14 deposition at a date and time convenient to everyone
15 after we've had a chance to look at all the
16 documents which haven't been produced. So we're not
17 agreeing to go forward through Saturday, plus it's the
18 Christmas holidays and I've got plans. But that's low
19 priority compared to the other reasons stated.

20 MS. ALVAREZ: The only thing that
21 I'll add to that is if -- if part of the problem is
22 the document production, that there was a document
23 production request that wasn't timely, so I don't
24 believe that that's a relevant valid reason.

25 I understand the family and the holiday

1 obligations that you're talking about. But as
2 far as the document production, I'd make that
3 statement.

4 MR. JOLLY: What document production
5 that is not timely?

6 MS. ALVAREZ: I understand, the duces
7 tecum that was sent less than 30 days prior to
8 today's deposition.

9 MR. JOLLY: We'll just bring that up
10 with the Judge.

11 MR. GRANDSTAFF: And my -- This is
12 Joel Grandstaff. I'm an attorney for Intervenor
13 Prudential and Southern Farm Bureau. And our
14 position in this also, we agree that the Plaintiff
15 (sic.) should not be continued tomorrow, it should
16 be reset for a date that's convenient for everybody
17 here. It is the holiday season. I do have plans
18 with my family that would make tomorrow impossible
19 and I think there are probably other people here
20 that also have similar problems. I certainly would
21 make myself available at another time that is
22 convenient for everybody else and continue this
23 deposition.

24 MR. KHOSHBIN: Shane Khoshbin on
25 behalf of Farmers, Intervenor. I will not be

1 available tomorrow. I apologize for it being
2 inconvenient, but I am going to have questions and I
3 am going to want to take a look at the documents
4 that have not been produced as of yet to date. I
5 have probably sent out at least two letters
6 requesting copies of documents that were produced by
7 Ford at any time, much less the documents that
8 already haven't been produced, and TI. And I will
9 be -- make myself available on another date
10 convenient to this witness. And my guess is that
11 there are going to be some other witnesses with more
12 knowledge concerning certain topics that we're going
13 to want to examine. And I think it's very
14 reasonable to not continue on a Saturday, especially
15 the weekend before the Christmas holidays and to go
16 ahead and just reschedule it for a date that's
17 convenient for everyone.

18 MR. MANEKE: Jeff Maneke on behalf of
19 Ford Motor Company. Ford also objects to going
20 forward on Saturday on a non-business day for the
21 reasons previously articulated by counsel.

22 MR. SCHIRMEISTER: Andrew
23 Schirmeister, DuPont's lawyer. I'll decline as
24 well, happy to reschedule at a time convenient for
25 the witness and the parties.

1 MR. SOLOMON: Dean Solomon here on
2 behalf of Travelers, Intervenor on the -- in one of
3 these Houston cases. We also would join in and
4 object to the Saturday deposition -- or the
5 continuance of the deposition on this Saturday as
6 well.

7 MR. FORBES: I think I'm the last
8 one. Ross Forbes, Intervenor representing Allstate
9 in one of the Houston cases. And we'll just join in
10 this objection not to go forward tomorrow.

11 MS. ALVAREZ: Sounds like it's
12 unanimous on that side. I guess we can circulate,
13 after -- after today, circulate dates when we get to
14 that point when it's convenient to [REDACTED]
15 and the parties, only at this time we're ready to
16 continue.

17 MR. SCHIRRMESTER: How are the ski
18 conditions in the white mountains?

19 MS. ALVAREZ: It's not your turn to
20 ask questions yet.

21 MR. JOLLY: He says there's no snow
22 yet, it's just flurries.

23 MR. SCHIRRMESTER: Down in
24 Massachusetts.

25 THE WITNESS: Oh, well, I don't know

1 what's up in the mountains. I'm not a big skier
2 myself.

3 MS. KENNAMER: Andrew will want to
4 know that before he agrees to any rescheduling date.

5 MR. SCHIRMMEISTER: I've never even
6 been to Massachusetts.

7 THE VIDEOGRAPHER: Do you wish to go
8 off the record now?

9 MR. JOLLY: Is the video going?

10 THE VIDEOGRAPHER: Yes, it is.

11 MR. JOLLY: Oh, okay. No. Let's go.

12 Q. So when it comes to the maintenance
13 criteria for the subject speed control deactivation
14 switches that are used on the '92, '93 Panthers,
15 that's Ford's responsibility?

16 A. I'm not sure if you've understood the full
17 discussion I've said on -- on the different
18 responsibilities. TI is providing one switch that
19 goes into a very complicated system. The system
20 includes the -- the electrical architecture, it
21 includes master cylinders, brake pedals, other
22 switches, other circuits, paths; a complicated
23 system that Ford has responsibility for defining how
24 that system operates and what the specifications are
25 for the individual components. Ford -- Ford

1 specified to TI specification for the pressure
2 switch and as part of that specification TI
3 guaranteed performance of the switch to that
4 specification.

5 Q. Okay. So that's Ford's responsibility
6 when it comes to the maintenance --

7 A. Ford --

8 Q. -- personnel?

9 A. Ford defines any maintenance that's
10 required on the vehicles.

11 Q. Okay. The documents that -- that the TI
12 lawyer just handed to us, are -- are these the
13 documents that relate to the testing of the switches
14 on the vehicles that TI went out and got off the
15 streets?

16 A. Can I see what's in that document package?

17 Q. You don't know?

18 A. I don't know everything that's in there.

19 (Exhibit No. 7 marked.)

20 A. There's nothing in this doc -- in this
21 documentation package that discusses the analysis TI
22 did on pressure switches that we retrieved from the
23 field at the beginning of our investigation.

24 Q. Okay. So what is Exhibit 7?

25 A. It's a combination of a number of

1 documents. A lot of the documents are different
2 data supplied to TI by Ford based on parts returned
3 in the recall and notes from phone conversations
4 that I had with Steve Reimers at Ford.

5 Q. Looks like that most of the documents in
6 Exhibit 7 are dated back to September, '99 or in
7 that time frame?

8 A. September, '99 through -- through --
9 through December of '99.

10 Q. When's the first time you saw that
11 material?

12 A. Saw which material? Saw everything --

13 Q. Exhibit 7.

14 A. -- there? Different times. A lot of it
15 is my notes. Okay. Some of it are -- a document I
16 had seen when it was written, as in the writing.
17 Some of the documents in the back, this is the first
18 time I'm seeing it.

19 Q. So -- So why are we getting this today in
20 the middle of your deposition today? Why didn't we
21 get this months ago?

22 A. I don't know.

23 Q. Do you think that's fair?

24 MS. ALVAREZ: Objection, form.

25 A. Some of the documents, I know, were just

1 found.

2 Q. Okay. How -- How -- Of the five cars that
3 you inspected --

4 A. I'd --

5 Q. -- that belong to my -- Pardon me?

6 A. I'd like to go back just to clarify one
7 thing. This -- This document right here
8 (Indicating) is switches that TI received back from
9 the field that were recall switches, but not
10 provided to -- to TI from Ford, but where TI did go
11 to dealers and receive these switches. So these
12 were switches gathered out in the field. I just
13 want to make sure I'm answering for you.

14 Q. What's that page number?

15 A. TI 00011112C.

16 Q. How did that work, where TI's out at Ford
17 dealers gathering switches?

18 A. The -- At the beginning of the recall the
19 Ford dealers were scrapping the switches. TI went
20 to some local dealers and asked them, instead of
21 scrapping the switches, would they be able to
22 provide them back to TI.

23 Q. What local dealers?

24 A. Couple of local dealers in -- in the
25 Attleboro area in Massachusetts and one dealer in

1 New London in Connecticut.

2 Q. Do you think that's a fair sampling of
3 where the problem switches are?

4 A. I don't know if it's a fair sampling or
5 ~~many~~ TI wanted to understand what some of the
6 switches looked like that were coming back from the
7 recall. *not but I believe it was based on inputs from Ford that
8 once the data is normalized, no one area of the country has a
9 higher percentage of Panther platform vehicle fires.*

8 Q. And do you know that that's not a fair
9 sampling, in fact?

10 A. I know that most of the vehicle fires
11 occurred in the south of the U.S. *but per Ford once the data is
12 normalized on one area of the
13 country has a higher percentage of Panther platform vehicle fires*

12 Q. Right. So do you think that was fair,
13 because all the vehicle fires, or at least 95
14 percent of them are occurring in the south, do you
15 think that's fair, that TI would go to Massachusetts
16 dealers and take a sampling from Massachusetts Ford
17 dealers' switches taken off Panthers as a result of
18 the recall and then test those switches and -- and
19 then say, See, the switches are fine, there's
20 nothing wrong with them? You think that's fair?

21 MS. ALVAREZ: Objection, form.

22 A. TI had requested to Ford to get all parts
23 back from the recall so we could have a
24 representative sample. TI tried to get some parts
25 back itself from the recall.

1 Q. Did TI try to get any switches from any
2 Ford dealers in the states where all the fires are
3 happening, like Florida and Texas?

4 A. Yes.

5 Q. What dealers did TI go to and ask for
6 switches in Florida and Texas? Give me the names of
7 the dealers that TI did this with like TI did at the
8 Massachusetts dealers.

9 A. TI did not go to any dealers that I'm
10 aware of in Dallas -- Florida -- or Texas, Florida.

11 Q. You've got offices in Dallas, right?
12 Right?

13 A. Yes.

14 Q. And there's a big factory over here on
15 Highway 59 south in Houston and TI doesn't go to one
16 dealer in Texas and ask for a switch, right?

17 A. I'm not aware of any -- TI going to any
18 dealers in Texas. We did talk to Ford and request
19 to look at switches, came back from all different
20 regions of the country.

21 Q. So the answer to my question is, TI did
22 not go to one single dealer in Texas and ask for
23 switches, correct?

24 A. Not that I'm aware of.

25 Q. Of the five cars that you inspected that

1 belong to my clients, how many cycles had those
2 speed control deactivation switches experienced
3 prior to the fire involving those vehicles?

4 A. I do not know.

5 Q. Does that matter?

6 MS. ALVAREZ: Objection, form.

7 A. Does what matter?

8 Q. Does it matter how many cycles the
9 switches had experienced in the five cars that you
10 examined that were owned by my clients?

11 A. Does it matter for what?

12 Q. Well, let's ask it this way: Does TI take
13 any responsibility for a speed control deactivation
14 switch that's on one of my clients' cars if that
15 switch fails after a cycle specification limit has
16 been exceeded out in the real world?

17 A. All TI did was guarantee that the switches
18 manufactured by TI met the specifications provided
19 to TI by Ford.

20 Q. And those specifications for the cycles is
21 what number, 500,000?

22 A. Ford's specification, 500,000 cycles from
23 zero to 1450 psi and back to zero.

24 Q. And you don't know -- no one at TI knows,
25 for that matter -- how old a car has to be or how

1 many miles it has to have on it before it hits
2 500,000 cycles --

3 A. That's correct.

4 Q. -- on the switch?

5 Does TI care?

6 A. Yes.

7 Q. All right. Explain that to me.

8 MS. ALVAREZ: Objection, form.

9 A. Explain --

10 Q. Explain to me why TI makes a switch that
11 they do not guarantee after it hits 500,000 cycles.

12 MS. ALVAREZ: Objection, form.

13 A. TI guarantees a switch to meet 500,000
14 cycles based on the Ford specification. Ford has
15 the full system understanding and documents in the
16 specification of what they feel is the life of -- of
17 the switch that's required to last for the full life
18 of the vehicle.

19 Q. Okay. So those switches may very well not
20 have exceeded those 500,000 cycles, for all you
21 know?

22 A. I don't know how many cycles are on those
23 switches.

24 Q. All right. So let's just say they don't.
25 Does TI guarantee my clients that if those switches

1 caused those fires, that it's TI's responsibility,
2 if the cycles are under 500,00 for any one of those
3 five cars you inspected?

4 MS. ALVAREZ: Objection, form.

5 A. I don't know the details of what caused
6 fires on those vehicles.

7 Q. No. No. That's not my question. My
8 question is: If those switches caused the fires and
9 if they cycled less than 500,000 cycles, is it TI's
10 responsibility if that's the case?

11 MS. ALVAREZ: Objection, form.

12 A. There are other factors involved that may
13 have contributed to the fire, if there was a fire on
14 that vehicle related to the pressure switch.

15 Q. All right. So -- So, in other words, TI
16 might not honor it's word or guarantee when it comes
17 to the 500,000 cycles because maybe something else
18 was involved if the switches caused the fire?

19 MS. ALVAREZ: Objection, form.

20 A. That's not what I'm saying.

21 Q. What is the other -- What are the other
22 maybes? What are they? Tell me what they are.

23 MS. ALVAREZ: Objection, form.

24 Q. You said, the other factors. What are
25 they?

1 A. All right. Let's go back to the
2 discussion on the lab testing on switches. We could
3 only get switches to ignite when saltwater was
4 injected through the base and high power was applied
5 to the switch.

6 Q. Okay.

7 A. So some of those factors, based on our lab
8 tests, would need to be present.

9 Q. Okay. Which is -- just so happens, that's
10 something that every car experiences with this
11 switch, living in the gulf coast?

12 MS. ALVAREZ: Objection, form.

13 A. I don't know what those cars experience.

14 Q. Well, up there in Massachusetts, don't
15 they throw salt on the road when it -- the road's
16 iced over?

17 A. Yes, they do.

18 Q. And isn't it foreseeable, sir, that these
19 switches are going to be exposed to saltwater
20 sometime during the life cycle of the vehicle?

21 A. The external switch, I would expect to be
22 exposed to saltwater sometime during the life of the
23 vehicle.

24 Q. All right. That's foreseeable; isn't it?

25 A. For the external switch to be exposed,

1 yes.

2 Q. And when you say, external switch, what
3 you're talking about is assuming that the
4 electrical connector seal maintains its integrity?

5 A. Yes.

6 Q. Okay. So if it did and the switches
7 caused fires and they haven't exceeded the 500,000
8 cycle specification, is TI going to honor its
9 guarantee?

10 MS. ALVAREZ: Objection, form.

11 A. TI guarantees its switches will meet the
12 specification provided by Ford.

13 Q. So the answer is yes, TI would honor its
14 guarantee if that's the case?

15 MS. ALVAREZ: Objection, form.

16 A. I'm not sure what specific guarantee
17 you're saying.

18 Q. Whatever the one is you just said. I
19 don't know. You tell me. What is the guarantee?

20 A. That TI manufactured switches will meet
21 Ford's specification.

22 Q. Okay. So does the guarantee include that
23 they'll replace the switch or at least pay for a new
24 switch?

25 A. I don't know the details in the contract

1 around what -- TI's guarantee if there is any
2 defective switches.

3 Q. All right. Does the guarantee include the
4 cost to replace the switch?

5 A. I don't know the details.

6 Q. Does the guarantee include the downtime
7 that my clients don't have the use of their car?

8 A. I don't know.

9 Q. How about the losses caused by a fire? If
10 the switch causes a fire, does the guarantee include
11 that?

12 A. I don't know.

13 Q. Loss of family heirlooms that are not
14 replaceable, does the guarantee cover that?

15 A. I don't know the details of any guarantee
16 contract with Ford.

17 Q. Who at TI can answer those questions and
18 tell the jury in these cases, Here's what our
19 guarantee is and we're going to make it good and
20 here's what our guarantee covers, since you can't
21 say who at TI can?

22 MS. ALVAREZ: Objection, form.

23 A. What TI can what?

24 Q. Can tell us what the guarantee is and what
25 it's going to cover.

1 A. I'm not sure.

2 Q. Have you ever owned a Lincoln?

3 A. I have not.

4 Q. What kind of car do you own?

5 A. I own a Honda CRV.

6 Q. That's a utility vehicle?

7 A. It's a sport utility vehicle.

8 Q. Year model?

9 A. Excuse me?

10 MS. ALVAREZ: Objection, form.

11 Q. Year -- What's the year model?

12 A. Of the car I own?

13 Q. Yeah.

14 A. It's a 1997 Honda CRV.

15 Q. How many miles do you have on it?

16 MS. ALVAREZ: Objection, form.

17 MR. JOLLY: How many miles do you

18 have on it?

19 MS. ALVAREZ: Yes. That's

20 irrelevant, the miles.

21 MR. JOLLY: Just wait. You'll see.

22 MS. ALVAREZ: I'm making my

23 objection.

24 MR. JOLLY: Okay.

25 Q. How many miles are on it?

1 MS. ALVAREZ: Objection, form.

2 A. I have approximately 33,000 miles on my
3 Honda CRV.

4 Q. All right. What do you do to maintain
5 that car to keep it clean?

6 MS. ALVAREZ: Objection, form.

7 A. You mean, to -- What do you mean, to keep
8 it clean?

9 Q. Do you wash it?

10 A. Sometimes.

11 Q. Do you have it washed?

12 A. No. I wash it sometime.

13 Q. Do you take it in to the dealer like
14 you're supposed to?

15 MS. ALVAREZ: Objection, form.

16 A. The dealer doesn't require that I bring
17 the car in. The dealer recommends certain
18 maintenance.

19 Q. Have you ever washed the motor?

20 A. No, I have not.

21 Q. Do you think there's anything wrong with
22 anyone washing the motor, take it to car wash, lift
23 the hood and spray the dust off at the car wash,
24 anything wrong with that?

25 A. I don't know if there's anything wrong

1 with that or not.

2 Q. Would that void the TI guarantee, if
3 someone were just interested in keeping their motor
4 area tidy?

5 MS. ALVAREZ: Objection, form.

6 A. TI does not guarantee the integrity of the
7 mating connector seal to the switch.

8 Q. IF -- If it's something else that fails
9 because some water gets into the switch, that's TI's
10 responsibility? For example, let's just say that
11 maybe the jury thinks y'all should've put epoxy in
12 the electrical component to fill the void, would TI
13 guarantee the switch even if someone just happened
14 to wash their motor at a car wash?

15 MS. ALVAREZ: Objection, form.

16 A. TI guarantees that the switch meets Ford's
17 specifications. There are Ford specifications
18 for -- for washes and dunks and salt spray
19 requirements that TI runs and tests and passes.
20 Ford understands the system and how people may apply
21 different water sprays to their vehicle and defines
22 specifications to make sure that the components that
23 are applied on that vehicle will meet those sprays.

24 Q. Okay. So it's foreseeable then to both
25 Ford and TI that the engine compartment area where

1 the speed control deactivation switch is mounted
2 might be subject to salt spray and soaps and
3 cleaners?

4 A. That's not what I said. I said Ford
5 provides the specifications that define different
6 sprays and dunk tests that T -- TI tests its switch
7 to to make sure that switch can survive that
8 environment.

9 Q. Okay. My question --

10 MR. JOLLY: Objection, nonresponsive.

11 Q. My question was: Is it foreseeable to TI
12 that the speed control deactivation switches used on
13 the '92, '93 Panthers would be subject to a simple
14 car wash by the vehicle's owner?

15 A. I don't know what the assumptions Ford put
16 into their definition and specifications of what
17 owners would do with their vehicles.

18 Q. That's not what I asked. I said, was it
19 foreseeable to TI?

20 A. Was it foreseeable to TI that what?

21 Q. That someone might wash their engine
22 compartment at the car wash.

23 A. It's possible people could do anything.

24 Q. So that's foreseeable; isn't it?

25 A. I -- I don't know.