

**EA02-025**

**FORD 10/27/03**

**APPENDIX N**

**BOOK 32 OF 61**

**PART 5 OF 6**

1 Q. So for what vehicles is TI supplying  
2 pressure switches to Ford?

3 A. F Series, Windstar. There's others. I'm  
4 not sure exactly which ones are -- are in production  
5 now.

6 Q. Okay. Is TI still Q1 Certified?

7 A. Yes.

8 Q. Has Ford indicated that the Q1  
9 Certification might be withdrawn or subject to  
10 recision?

11 A. Not that I'm aware of.

12 Q. So that -- So that people who aren't  
13 engineers can understand, can you translate what  
14 cycle specification means?

15 A. Sure. The cycle life specification  
16 requires that we apply a pressure cycle to the part.  
17 In this case, and the Ford specification requires,  
18 we go from zero psi to 1450 psi and then back down  
19 to zero psi and that would be one pressure cycle.

20 Q. Okay. And so the pressure cycles that  
21 Ford's required of this pressure switch used in the  
22 '92, '93 Lincolns, how did that compare to other  
23 cycles re -- or cycle requirements of other car  
24 companies?

25 A. For brake pressure switches or --

1 Q. Yeah. High, low, the same?

2 A. There's only one other -- Other than Ford  
3 brake pressure switches, there's only one other  
4 Ford -- I'm sorry. There's only one other TI brake  
5 pressure switch that's in production and that's for  
6 the ITT Tavit (sic.) system. And all of the systems  
7 that I talked about before, that pressure cycle  
8 specification is written differently than the Ford  
9 specification. That specification total cycle  
10 system is for one million cycles. But the pressure  
11 range and temperature range of those cycles is  
12 different and varies during the test.

13 Q. What vehicle is that switch for?

14 A. That's for Volvo. I'm not sure which  
15 platform at Volvo.

16 Q. Okay. So Volvo asks for pressure switches  
17 that can handle a million cycles and Ford asks for  
18 pressure switches that can handle how many cycles?

19 A. The --

20 MS. KENNAMER: Objection, form.

21 A. The -- The spec I referred to was an ITT  
22 spec and it was for a million cycles, but did not  
23 match the Ford spec. It was different in terms of  
24 pressure range and in terms of temperature.

25 Q. Yeah. But pressure and temperature and

1 all that, that's system specific; isn't it?

2 A. Including cycle --

3 Q. Yeah.

4 A. -- number of cycles.

5 Q. But, you know, so that the average person  
6 knows what you're talking about when you say a  
7 cycle, you're talking about every time someone hits  
8 their brakes, basically, aren't you?

9 A. No. I'm talking about how a cycle is  
10 defined in the specification. Ford's specification  
11 that's defined is zero to 1450 psi and back down to  
12 zero psi.

13 Q. Okay. Can you translate that to a  
14 practical use?

15 A. I cannot.

16 Q. The switch is now on a car, someone's  
17 driving down the road and here -- there's a stop  
18 sign and they hit their brakes. And so is that one  
19 cycle, two cycles, a thousand?

20 A. I don't know.

21 Q. You don't have any idea how many cycles  
22 that is when someone hits their brakes one time when  
23 we're talking about a TI pressure switch?

24 A. I would expect that the disk would snap  
25 one time, so the contacts would open once. But I

1 don't know whether that one activity -- how that  
2 correlates to one pressure cycle from zero to 1450  
3 psi back to zero.

4 Q. Well, let's just -- The thing moves once  
5 inside the switch, right?

6 MS. ALVAREZ: Objection, form.

7 Q. It moves once, right?

8 A. In what conditions?

9 Q. When you hit the brakes.

10 A. If you achieve the actuation pressure of  
11 the disk in the switches when you step on the brake  
12 the disk will snap and that allows the switch  
13 contacts to open.

14 Q. Don't you imagine that when a car company  
15 tells you what their cycle specifications are that  
16 they're probably taking into account just a normal  
17 stop for each cycle?

18 MS. ALVAREZ: Objection, form.

19 A. I don't know how -- the details around how  
20 the car companies calculate their specifications  
21 based on application use.

22 Q. Okay. So then, how many years is this  
23 switch supposed to last on a -- on a '92, '93  
24 Panther, based on the specifications that were used  
25 when TI designed it?

1           A.     The switch is supposed to last for 500,000  
2     cycles from zero to 1450 psi and back to zero.

3           Q.     Do you know? I mean, can you just say, "I  
4     don't know" if you don't know, so that we don't have  
5     to do this over and over and over again? I'm not,  
6     you know, trying to get personal with you. Okay?  
7     But if you don't know, you could just say that.

8                     MR. JOLLY: Objection, nonresponsive.

9                     MS. ALVAREZ: Objection, form.

10          Q.     We can be here all day, any way you want  
11     to do it.

12                    MS. ALVAREZ: Ob --

13          Q.     Okay?

14                    MS. ALVAREZ: Objection, form.

15          Q.     Do you know how many miles that translates  
16     to, the cycle specification rate, once the switch is  
17     made, it's manufactured and it's put on a '92, '93  
18     Panther, how many miles?

19          A.     I do not know how that cycle effect  
20     translates to miles.

21          Q.     Thank you. All right. You don't know if  
22     it's 50,000 miles, you don't know if it's 60,000  
23     miles or a hundred thousand miles? You don't know?

24          A.     I don't know.

25          Q.     No one at TI knows that? The people that

1 know that are the people at Ford?

2 A. That's correct.

3 Q. That could be affected by any number of  
4 things when it comes to the specifics of the system  
5 which are signed by Ford for its other component  
6 suppliers, right?

7 A. That's correct.

8 Q. Okay. You said that some of the  
9 electrical components in the switch were plated to  
10 prevent corrosion --

11 A. Yes.

12 Q. -- remember?

13 A. Yes.

14 Q. Which electrical components specifically  
15 are plated with silver, the --

16 A. The contact.

17 Q. The terminal contact?

18 A. I believe it's the contact.

19 Q. Anything else in there, like the  
20 stationary terminal, the spring, the rivet, the  
21 moveable terminal, are any of those silver plated to  
22 prevent corrosion? And let's -- let's ask it this  
23 way: Are they plated with anything to prevent  
24 corrosion?

25 A. I don't think the terminals or the spring

1 arm are plated.

2 Q. Is there anything about the other parts of  
3 the electrical components inside the switch other  
4 than the contact terminal, whether by virtue of what  
5 it's plated with or made with, which because of that  
6 design specification is done that way to prevent  
7 corrosion?

8 A. The cup is plated, hex port's plated.

9 Q. The electrical components inside the  
10 terminal specifically?

11 A. I don't know what you mean by, inside the  
12 terminal.

13 Q. Well, the spring is made out of brass.  
14 That's not going to corrode under saltwater, is it?

15 A. The spring is made out of copper.

16 Q. Oh, it is? Okay. Ford thinks it's made  
17 out of brass. Did you know that?

18 MS. ALVAREZ: Objection, form.

19 A. My understanding is that Ford knows the  
20 spring is made out of copper. We've told Ford that.

21 Q. All right. What's the stationary terminal  
22 made out of?

23 A. Brass.

24 Q. What's the moveable terminal made out of?

25 A. Brass.



1 Q. So what's corroding when you put the  
2 saltwater in there?

3 A. The spring arm, copper spring arm.

4 Q. How is that corroding?

5 A. Electrolytic corrosion.

6 Q. Okay. So it's not made of brass. It's  
7 made out of copper. Saltwater or something  
8 corrosive can cause the copper to corrode?

9 A. Yes.

10 Q. What could you make it out of so that that  
11 didn't corrode like that?

12 A. I'd have to spend some time thinking about  
13 that.

14 Q. Could you silver plate it like they do the  
15 terminal points? I mean, you can silver plate  
16 copper, can't you?

17 A. Yes. I don't know whether that would stop  
18 any corrosion or not.

19 Q. It'd sure slow it down, wouldn't it?

20 A. I don't know. I'd have to run some tests  
21 to try and understand that.

22 Q. You don't know if silver plating copper  
23 can slow the corrosion of copper down?

24 A. Depends on which test conditions and I'd  
25 have a to do some work to understand that.

1 Q. Well, the contact terminals are copper --  
2 silver plated copper, aren't they?

3 A. I know it's silver plated. I'm not sure  
4 if it's silver plated copper.

5 Q. And the reason --

6 A. I don't remember off the top of my head.

7 Q. The reason it's silver plated is, like we  
8 said, to prevent corrosion --

9 A. No.

10 Q. -- because the contacts wear?

11 A. It's to prevent contact wear.

12 Q. All right.

13 A. So that oxid -- oxidizing -- oxidation  
14 doesn't build up on a nonconductive surface, which  
15 would make the switch actu -- open even when the  
16 contacts are in contact.

17 Q. The -- The electrical terminal that's  
18 silver plated is part of the spring that's made out  
19 of copper that corrodes, isn't it, it's all the same  
20 part?

21 A. I think it's a part riveted to the -- to  
22 the spring arm.

23 Q. Okay. So what y'all were doing then is,  
24 you silver plate the contact before you install it  
25 on the copper spring, right?

1 A. I'm not positive.

2 Q. Something like that though, right?

3 MS. ALVAREZ: Objection, form.

4 A. I believe the contact is silver plated  
5 before attached to the spring.

6 Q. It would be pretty hard to silver plate  
7 something after it's attached to something else  
8 metal. I guess you could do it, but it seems like  
9 it'd probably be easier to do it first.

10 A. You can solder it to the plate.

11 Q. Right. Or you could just do both of them  
12 at the same time after they're put together, right?

13 A. There are many different ways you could  
14 set up the plate.

15 Q. That's one of the ways; isn't it?

16 A. (No response.)

17 Q. That's a question.

18 A. You can plate components after they're  
19 assembled, yes, that's true.

20 Q. The other vehicles that you inspected that  
21 belong to my clients that have caught on fire, of  
22 the five that you've looked at, we've talked about  
23 Gonzalez. How much time did you spend looking at  
24 the other four cars?

25 A. It varied.

1 Q. From what to what?

2 A. Somewhere between 15 minutes, 45 -- to 45  
3 minutes.

4 Q. Were each of those inspections video taped  
5 also?

6 A. I believe there was a video camera there.  
7 I don't remember if it was at every one.

8 Q. Why did you look at those other four cars  
9 after you looked at Mrs. Gonzalez's car and couldn't  
10 determine what caused the fire?

11 A. I wanted to understand what type of fire  
12 damage there was on the vehicle and to take a look  
13 at the switch on each vehicle.

14 Q. Did you go to the houses that these -- in  
15 which these cars were parked that burnt down and  
16 look at those homes to see happened with those  
17 people's homes?

18 A. No, I did not.

19 Q. Why not? Does TI not care about the homes  
20 that have burnt down in this case -- in these cases  
21 that we have?

22 A. Of course --

23 MS. ALVAREZ: Objection, form.

24 A. Of course, TI cares about -- about any  
25 homes that might've burnt down.

1 Q. So why not go look at the homes too? I  
2 mean, you're looking at the car and you can't tell  
3 us what started the fire. Why not go look at the  
4 house so you can better understand what happened  
5 here?

6 A. I wanted to look at the switches on the  
7 vehicle and look at the damage of the fire in the  
8 vehicles.

9 Q. Why not go look at the homes too?

10 A. The homes would not have given me any  
11 information in terms of what -- what the switch  
12 looked like or how much fire damage there was on the  
13 vehicle.

14 Q. And were the switches on the other  
15 vehicles?

16 A. The switches were on the other vehicles.

17 Q. Did you look at them?

18 A. Yes, I did.

19 Q. Did those switches cause those fires?

20 A. I don't know.

21 Q. Who at TI knows the answer to that  
22 question?

23 A. No one at TI knows the answer to that  
24 question.

25 Q. There's no one at TI who can say that

1 these TI switches on the cars that you've inspected  
2 that belong to my clients did not cause those fires?

3 MS. ALVAREZ: Objection, form.

4 A. Can you repeat the question?

5 Q. Let's ask it both ways. Is there anyone  
6 at TI who can say that the five cars that you looked  
7 at that -- four out of the five that did have TI  
8 pressure switches, is there anyone at TI who can say  
9 that those switches did cause the fire?

10 A. There's no one at TI can say why those  
11 vehicles went on fire.

12 Q. Okay. So, in other words, there's no one  
13 at TI who can say -- for example, if Richard Clark  
14 says those switches caused the fires, is there  
15 anyone at TI is who's going to come in and say what  
16 Richard Clark says is not true?

17 MS. ALVAREZ: Objection, form.

18 A. TI -- No one at TI knows what caused those  
19 fires.

20 Q. So, in other words, TI has no evidence to  
21 contradict what Richard Clark says with regards to  
22 the origin of the fires --

23 MS. ALVAREZ: Objection, form.

24 Q. -- for the vehicles that you've inspected?

25 MS. ALVAREZ: Objection, form.

1           A.    TI has a lot of evidence that switches met  
2 specification.  TI does not know what caused any  
3 vehicle fires.

4                   MR. JOLLY:  Okay.  Objection,  
5 nonresponsive.

6           Q.    Is there anyone at TI who has any facts  
7 which could be used to contradict what Richard Clark  
8 says with regards to the origin of the fires for the  
9 five vehicles that you've inspected that belong to  
10 my clients?

11          A.    I --

12                   MS. ALVAREZ:  Objection, form.

13          A.    I don't know the details of what Richard  
14 Clark has said.

15          Q.    So there's no one at TI with any facts to  
16 contradict anything that Richard Clark may say about  
17 what caused the fires for the five cars that you  
18 looked at that belong to my clients?

19                   MS. ALVAREZ:  Objection, form.

20          A.    All I can say is that no one at TI knows  
21 the cause of those vehicle fires for your clients.  
22 I can't answer it any other way.

23          Q.    Well, if that's true, then isn't it also  
24 true that nobody at TI can say that the switches did  
25 not cause the fires?

1 MS. ALVAREZ: Objection, form.

2 A. We know that the switches met the  
3 specifications provided to us by Ford.

4 MR. JOLLY: Objection, nonresponsive.

5 Q. Can you identify anybody at TI or any  
6 documents at TI which would establish that the TI  
7 pressure switches that were on the five cars that  
8 you looked at did not cause the fires?

9 A. Can you repeat that question?

10 Q. Is there any -- Can you identify any  
11 person at TI or any document at TI that would  
12 establish that the TI pressure switches on the five  
13 cars that you inspected did not cause those fires?

14 A. The only documents I know was a Ford  
15 document based on Ford -- experts hired by Ford that  
16 stated the fire on the Gonzalez vehicle started in  
17 the air compressor of the suspension leveling  
18 system.

19 Q. On which -- On which car?

20 A. On the Gonzalez vehicle.

21 Q. What Ford document is that?

22 A. It was, you know, in a lot of different  
23 documents that was found as -- as part of the  
24 discovery.

25 Q. Okay. That's not a TI document?



1           A.     That is not a TI document.

2           Q.     So the answer to my question is no, you  
3 don't know the name of anyone at TI, you don't know  
4 of any TI documents would've -- which would  
5 establish that the five fires involving the five  
6 cars that you looked at were not caused by the TI  
7 pressure switch?

8                   MS. ALVAREZ:  Objection, form.

9           A.     There's no one at TI or any TI documents  
10 that define why those vehicles caught on fire.

11          Q.     Or that the TI pressure switch did not  
12 cause the fire?

13                   MS. ALVAREZ:  Objection, form.

14          A.     There are documents at TI that demonstrate  
15 that the TI pressure switch met specifications  
16 provided to us by Ford.  I can't answer the question  
17 any other way.

18                   MR. JOLLY:  Objection, nonresponsive.

19          Q.     All right.  Let's just limit it to you.  
20 Are you going come into court and say that you know  
21 those switches on my clients' five cars that you  
22 inspected did not cause the fires?

23          A.     I know that those switches met  
24 specification.

25                   MR. JOLLY:  Objection, nonresponsive.

1 Q. Are you going to come to trial and say  
2 that?

3 A. Say what?

4 Q. What I just said. Do you want me to  
5 repeat it again? Are you going to come to trial or  
6 at any time between now and trial and say that the  
7 five TI pressure switches on my clients' cars that  
8 you inspected did not cause their fires?

9 MS. ALVAREZ: Objection, form.

10 A. All I can say is that I don't know what  
11 caused those fires and that I know those switches  
12 met specification.

13 Q. All right. So you're not going to come  
14 into trial and say that the switches did not cause  
15 the fires because you don't know?

16 MS. ALVAREZ: Objection, form.

17 A. All I can say is, I don't know why those  
18 vehicles had fires and that I know the switches met  
19 specification.

20 Q. Okay. If I can't get a straight answer  
21 out of you, I'm going to have to ask the judge to  
22 order you to answer that question. So I mean --

23 MS. ALVAREZ: Objection to the form.

24 Q. -- and I'm not going anywhere. Okay? I  
25 live in Houston, this is my hometown and I frankly

1 like it here. So --

2 MS. ALVAREZ: Objection, form.

3 Q. -- I know you live in Boston, but --

4 MS. ALVAREZ: Objection --

5 Q. -- I'm not going anywhere until you answer  
6 my question --

7 MS. ALVAREZ: Objection, form.

8 Q. -- someday, somehow. Okay?

9 MS. ALVAREZ: We'll continue it until  
10 tomorrow if you ask --

11 Q. I'm going to ask it again, but I'm giving  
12 you fair warning --

13 MS. ALVAREZ: Objection, form.

14 Q. -- that if you don't answer it this time,  
15 I'm going to get the judge involved. Okay?

16 MS. ALVAREZ: Objection, form.

17 Q. Are you at any point in time from now  
18 until trial ever going to say that the TI pressure  
19 switches involving my clients' five cars that you  
20 inspected did not cause those fires?

21 MS. ALVAREZ: Objection, form.

22 A. I don't know what evidence may be  
23 presented from now forward on -- on what caused  
24 those vehicle fires. All I can say is what I know  
25 today, that the switches met specification and I

1 don't know what caused the vehicle fires.

2 Q. I gave you your chance. Same question:  
3 Anyone else at TI other than you?

4 MS. ALVAREZ: Objection, form.

5 A. Can you repeat the question?

6 Q. Anybody at else TI other than you -- Since  
7 you won't tell us the answer to the question with  
8 regards to you --

9 MS. ALVAREZ: Objection, form.

10 Q. -- anyone else at TI who you anticipate at  
11 any time between now and trial who will come into  
12 court and say that the TI pressure switches on the  
13 cars that you inspected did not cause those fires?

14 MS. ALVAREZ: Objection, form.

15 A. I don't know of anyone at TI today that  
16 knows what caused those vehicle fires.

17 Q. Okay. How -- How much money did TI spend  
18 inspecting Pauline Gonzalez's car? How much did it  
19 cost for you and however many people went with you  
20 to go down there or fly down there, spend the night  
21 down there and inspect that -- inspect that first  
22 car?

23 A. I don't know how much it cost.

24 Q. \$50,000 or less?

25 A. Probably less.

1 Q. Less than \$25,000?

2 A. I don't know. I don't know how much it  
3 cost.

4 Q. How do you get paid?

5 A. How do I get paid?

6 Q. Yeah.

7 A. I get a paycheck from TI.

8 Q. And you -- Are you on salary or did you  
9 get paid some extra money to go down there and look  
10 at those cars?

11 A. I get paid on salary.

12 Q. Okay. So is it part of your job to go  
13 around looking at cars? I mean, what is your job at  
14 TI?

15 A. I'm an engineering manager at TI.

16 Q. All right. Does your job as engineering  
17 manager include going around looking at cars that  
18 have caught on fire?

19 A. I'm responsible for our pressure switch  
20 design, our pressure transducer design groups. I  
21 work with Ford on the issue related to the Town Car  
22 fires. Based on those reasons I was the -- the  
23 person to go look at the vehicles.

24 Q. So you didn't get paid any extra money to  
25 go down there?

1 A. No, I did not.

2 Q. What is your annual salary?

3 MS. ALVAREZ: Objection, form.

4 A. About a hundred-thousand dollars a year.

5 Q. Do you get some of that TI stock along  
6 with that?

7 MS. ALVAREZ: Objection, form.

8 Q. I mean, you own -- you own part of the  
9 company, don't you?

10 A. I own some TI stock, yes --

11 Q. How much?

12 A. -- that's correct.

13 I don't know exactly how much.

14 Q. More than 10,000 shares?

15 A. No.

16 Q. Five-thousand?

17 A. No.

18 Q. I mean, how many options to buy TI -- TI  
19 stock do you have? Have you got some of that,  
20 options?

21 A. Some options.

22 Q. How many?

23 A. A few thousand.

24 Q. Okay. Does your wife work there?

25 A. Work where?

1 Q. At TI.

2 MS. ALVAREZ: Objection, form.

3 A. My wife does not work at TI.

4 Q. So you have a financial interest in this  
5 company, don't you? Yeah?

6 A. I benefit from the success of TI.

7 Q. You have a financial interest in TI?

8 A. I'm employed by TI, TI pays me, yes.

9 Q. Well, I mean, you're an owner. You have a  
10 financial -- When you have a financial --

11 MS. ALVAREZ: Objection, form.

12 Q. -- interest you're an owner --

13 MS. ALVAREZ: Objection, form.

14 Q. -- don't you think?

15 A. I own stock in TI.

16 Q. Why -- Why can't you just admit that you  
17 have a financial interest in TI?

18 MS. ALVAREZ: Objection, form.

19 A. I'm trying to answer your question and say  
20 I own stock in TI.

21 Q. All right. Are you an officer or a  
22 director?

23 A. I'm not sure what you mean by those terms.

24 Q. Are you an officer or director of Texas  
25 Instruments?

1 A. No.

2 Q. Vice president, nothing like that?

3 A. No.

4 Q. Have you been in meetings with officers  
5 and directors regarding this issue?

6 A. A meeting with the vice president of -- of  
7 TI regarding this issue.

8 Q. What's that person's name?

9 A. Martha Sullivan.

10 Q. All right. What does she say?

11 MS. ALVAREZ: Object -- I object to  
12 that to the extent that it involves the litigation,  
13 it would be listed as privileged communication for  
14 this litigation and to the extent that it's in  
15 connection with the litigation, I would instruct him  
16 not to answer.

17 Q. What does she say that's not privileged?  
18 Is she a lawyer?

19 A. She's not a lawyer.

20 Q. She's an engineer that's worked her way  
21 up, became an officer, right?

22 A. Essentially, yes.

23 Q. So I mean, y'all talked about the  
24 technical aspects of the switch, didn't you?

25 A. No. She -- The only -- We had one meeting



1 with -- with Martha Sullivan and her direction to us  
2 was to make sure that our top priority is to support  
3 Ford in any way necessary to understand what might  
4 be causing fires on Town Cars in order to protect  
5 the public.

6 Q. How did she communicate that information?

7 A. We sat in a meeting with her.

8 Q. Did she write any memos?

9 A. She communicated verbally.

10 Q. Has she written any memos?

11 A. Not that I'm aware of.

12 MS. ALVAREZ: Objection, form.

13 Q. Have you written any memos to her or  
14 anyone in your group written any memos to her or any  
15 other officer at TI?

16 MS. ALVAREZ: Objection, form.

17 A. Specific to this issue, I think Martha has  
18 been copied on some of the correspondence related to  
19 this issue.

20 Q. Would that be indicated on the memo, that  
21 she was copied; that an officer at TI was being  
22 copied with some memos?

23 A. Her name would be on the memo.

24 Q. Okay. Or would she be getting like blind  
25 carbon copied, that sort of thing?

1 A. No. Any memo, her name would be on the  
2 memo.

3 Q. Okay. What memo do you recall that she  
4 was copied with?

5 A. I don't remember any specific memos.

6 Q. Any other officers --

7 MS. ALVAREZ: Objection, form.

8 Q. -- that were in the meeting other than  
9 Martha --

10 A. Martha Sullivan was the only --

11 Q. -- Sullivan?

12 A. Sullivan was the only one in the meeting.

13 Q. And then who was with you and Martha  
14 Sullivan?

15 A. Andy McGuirk was in the meeting, Brian  
16 Dague.

17 Q. Spell Brian's last name.

18 A. D-a-g-u-e.

19 Q. Have you mentioned him earlier?

20 A. I believe I have.

21 Q. And you and who else?

22 A. I think, John Pechonis was at the meeting  
23 and there were -- there were probably others. I'm  
24 not sure exactly.

25 Q. Spell John's last name.

1 A. P-e-c-h-o-n-i-s.

2 Q. And you pronounce that how?

3 A. Pechonis.

4 Q. You haven't mentioned him yet, have you?

5 A. I don't remember mentioning his name.

6 Q. What involvement did he have in this  
7 issue?

8 A. At the time he was the operations manager  
9 for our pressure switch business.

10 Q. So what's his job?

11 A. At the time of -- in his work?

12 Q. I mean, do you understand what it is that  
13 he does? What does he do?

14 A. He manages the -- the pressure switch  
15 business and manages the manufacturing and  
16 manufacturing engineering aspects of the pressure  
17 switch business.

18 Q. Why is it that you know more about the  
19 manufacturing aspects of this switch than John  
20 Pechonis?

21 MS. ALVAREZ: Objection, form.

22 A. I didn't say I know more about the  
23 manufacturing aspects of the switch than John  
24 Pechonis.

25 Q. All right. So he knows more -- He's the

1 person with the most knowledge about the manuring  
2 (sic.) -- manufacturing aspects of the TI speed  
3 control deactivation switch used on the '92, '93  
4 Lincolns?

5 A. I don't know if he has the most knowledge.  
6 There may be other people in the manufacturing  
7 organization that have more knowledge on the  
8 manufacturing process.

9 Q. Well, does John Pechonis have more  
10 knowledge about the manur -- manufacturing process  
11 than you?

12 A. Yes.

13 Q. And then there's even people who have more  
14 knowledge than he does. Who are those people?

15 MS. ALVAREZ: Objection, form.

16 Q. Or who may? Who are those people?

17 A. There -- There may be other people who  
18 have more knowledge about the manufacture of the  
19 Ford de -- deactivation pressure switch.

20 Q. And who?

21 A. Steve Proia.

22 Q. Spell that last name.

23 A. P-r-o-i-a. Bob Gildea, G-i-l-d-e-a.

24 Q. Why do you think -- Anybody else?

25 A. There may be nobody else that comes to

1 mind.

2 Q. Okay. Why do you think that Steve Proia  
3 and Bob Gildea have more knowledge about the  
4 manufacturing process of this switch than John  
5 Pechonis?

6 MS. ALVAREZ: Objection, form.

7 MR. JOLLY: Why -- What's -- What's  
8 objectionable about that?

9 MS. ALVAREZ: Well, he said they may,  
10 not that they do. And your question was: Why do  
11 you think they do have more knowledge?

12 Q. Okay. Why do you think they may?

13 A. Because they are -- they are working the  
14 day-to-day issues on the manufacturing line.

15 Q. How so? What do you mean, day to day?  
16 They're the ones out there on the line?

17 A. Making sure the line is operating  
18 properly.

19 Q. What do they say about this crimping of  
20 the Kapton seal that Ford has accused TI of?

21 A. You'll have to --

22 MS. ALVAREZ: Objection, form.

23 A. My conversation with Steve and Bob, they  
24 feel that the crimp process is operating properly.

25 Q. Uh-huh. So John, Steve and Bob all agree

1 with you when it comes to the crimping process not  
2 damaging the Kapton seal?

3 A. Yes.

4 Q. Is there anyone there that doesn't agree  
5 with you, Steve, Bob or John?

6 A. Not that I'm aware.

7 Q. Who's Jim Watt?

8 A. Jim Watt is a quality engineer.

9 Q. All right. What's his job?

10 A. His job is to work any of the quality  
11 issues on the pressure switch line. He handles  
12 communication to our customers in terms of change  
13 requests, things like that.

14 Q. Who is Sally Epstein?

15 A. She's a paralegal in Dallas.

16 Q. Why is her name at the top of this Exhibit  
17 2, like it's her letterhead or something?

18 A. She helped collect documents for the  
19 discovery and I would imagine that we send  
20 electronic files that she printed out. And since  
21 she printed it, it printed her name on top.

22 Q. Who does she work for?

23 A. I don't know.

24 Q. She's a lawyer in Dallas?

25 A. She works in Dallas.

1 Q. You don't know the name of the law firm,  
2 you don't know her address?

3 A. She works for Texas Instruments.

4 Q. Is she an in-house lawyer?

5 MS. ALVAREZ: Objection, form.

6 MR. JOLLY: Is she an in-house  
7 lawyer, objection, form?

8 MS. ALVAREZ: I think he said  
9 paralegal, not lawyer.

10 Q. She a lawyer?

11 A. I think she's a paralegal.

12 Q. Oh, okay. And what's the name of the law  
13 firm she works for?

14 A. She works for Texas Instruments. She's a  
15 employee of Texas Instruments.

16 Q. Okay. So TI has some offices in Dallas  
17 and she's up there at one of the TI addresses?

18 A. Yes.

19 Q. Who else gathered together documents who's  
20 involved with the in-house legal people there at TI  
21 other than Sally Epstein?

22 A. The legal people that helped gather  
23 documents?

24 Q. Yeah.

25 A. All our communication came from Sally.

1 Q. Okay. Did you go meet with her?

2 A. I have met her.

3 Q. Did you look over documents with her?

4 A. I did not.

5 Q. Did she mention to you that there's a  
6 number of documents that TI is not going to produce  
7 because they're privileged?

8 MS. ALVAREZ: Objection to form to  
9 the extent that it does call for any attorney-  
10 client information, I would instruct him not to  
11 answer.

12 Q. Privileged, have y'all talked about not  
13 producing any particular documents because they're  
14 privileged, without getting into why they're  
15 privileged or what the documents say?

16 MS. ALVAREZ: And again, to the  
17 extent that it does call for any attorney-client  
18 privileged information, I would instruct him not to  
19 answer. She has been identified as a paralegal.

20 MR. JOLLY: Well, if this guy was  
21 involved in making those calls, he can answer  
22 whether or not he was involved in those discussions.  
23 That's a simple question. It's not privileged.

24 Q. Go ahead.

25 MS. ALVAREZ: Will you repeat your



1 question?

2 Q. Do you remember the question?

3 A. No. Can you repeat it?

4 Q. All right. Did you and Sally Epstein  
5 specifically discuss not producing any number of  
6 documents because they were supposedly attorney-  
7 client privileged?

8 MS. ALVAREZ: Again, to the extent  
9 that question calls for attorney-client privileged  
10 information, I would instruct him not to answer.

11 Q. Go ahead.

12 A. I have not discussed with anyone what  
13 documents would be produced or not produced.

14 Q. No one's mentioned to you these documents,  
15 whether it's a lawyer or anybody, these documents  
16 shouldn't be produced for any reason?

17 MS. ALVAREZ: Again, to the extent  
18 that it calls for any attorney-client privileged  
19 information, I would instruct him not to answer.

20 Q. Has anyone said that to you?

21 MS. ALVAREZ: Other than his -- the  
22 attorneys for Texas Instruments, you can answer that  
23 question.

24 A. No.

25 Q. No one at TI has said, Let's not produce

1 these documents, other than someone --

2 MS. ALVAREZ: Other --

3 Q. -- someone who's not a lawyer has never  
4 said that?

5 MS. ALVAREZ: Other than attorney-  
6 client privileged information -- other than your TI  
7 lawyers, the question can be answered.

8 A. Nobody has said not to produce a document.

9 Q. Have you ever said that?

10 A. I have not.

11 Q. I understand, during our break that it  
12 turns out that the photos marked 3 and 4, there  
13 was -- those were actually done in color, weren't  
14 they?

15 A. I don't know if those -- those were done  
16 in color or not.

17 Q. Well, also the testing was video taped,  
18 right?

19 A. Some of the tests we did was video taped.  
20 I don't know whether this exact experiment was video  
21 taped or not.

22 Q. Well, but none of those video tapes have  
23 been produced, right?

24 A. I'm not sure exactly what has been  
25 produced or not produced.

1 Q. Okay. Well, they haven't been. Do you  
2 know if I'm mistaken if I tell you there's been no  
3 videos of this type of testing produced?

4 A. I don't know if you're mistaken.

5 Q. Are they going to be produced if they  
6 haven't been?

7 A. Any videos that we have will be provided  
8 to our attorneys.

9 Q. Okay. So how long ago did that happen  
10 where the videos of testing was produced where it  
11 was given to lawyers?

12 A. I don't -- I don't know whether those were  
13 given to the lawyers or not. I know we're  
14 continuing our document searches and I know we're  
15 continuing to send information.

16 Q. Why wasn't the video of this testing  
17 produced?

18 MS. ALVAREZ: Objection, form.

19 A. As I said before, we're continuing to  
20 collect documents to try and produce every document  
21 that's relevant to provide those documents.

22 Q. How long has TI been involved in this --  
23 in the speed control deactivation switch business?

24 A. We've been providing speed deactivation  
25 control switches to Ford since late 1991.

1 Q. All right. And how did TI get involved in  
2 that business? Did TI start that business from  
3 scratch or did TI buy somebody, buy some other  
4 company?

5 A. TI developed it's own pressure switches.

6 Q. Okay. Why did TI do it that way, get into  
7 the business that TI hadn't been in for what, 20  
8 years? Why did TI do that?

9 A. TI --

10 MS. ALVAREZ: Objection, form.

11 A. TI has been making pressure switches since  
12 early 1980s.

13 Q. Okay. Why did TI get into the speed  
14 control deactivation switch business?

15 A. Ford presented us with a pressure switch  
16 need. TI felt they could provide a switch that met  
17 the Ford specifications.

18 Q. Okay. So since 1982 they've -- they've --  
19 TI has been making pressure switches for -- for  
20 what?

21 A. The air conditioning pressure switches,  
22 power steering pressure switches, brake pressure  
23 switches, transmission pressure switches.

24 Q. And since 1982 with regards to all those  
25 pressure switches, has TI had any problem at all

1 with any of those switches when it comes to  
2 corrosion in the electrical side of the switch?

3 A. There have been switches that have come  
4 back to TI that had corrosion inside the -- the  
5 switch with the water coming in through the  
6 connector.

7 Q. Which switch?

8 A. One of the Ford switches.

9 Q. Which Ford switch?

10 A. One that was -- is mounted on the  
11 Econoline vehicle.

12 Q. Nissan, Chrysler?

13 A. Not that I'm aware of.

14 Q. G.M., Volvo, no water getting in the  
15 electrical side of those -- any of those switches  
16 since --

17 A. On --

18 Q. -- 1982?

19 A. On Volvo there was an issue with water  
20 getting into the -- the switch.

21 Q. Okay. Is that the same Volvo problem you  
22 told us about -- about earlier involving the five  
23 or six switches that TI didn't get a chance to look  
24 at or is this something else?

25 A. It's something else. This was an air

1 conditioning switch.

2 Q. All right. Tell me about that.

3 A. I don't know much of the details around  
4 it. I know that water was flowing down a wire  
5 harness and that wire harness was connected to the  
6 switch and flowing into the switch through the wire  
7 harness.

8 Q. Okay. Because of the orientation of the  
9 switch, it was collecting water in the electrical  
10 side of it as water ran down a wire into the  
11 electrical side?

12 A. It was running down the inside of the wire  
13 between the wire and the insulation.

14 Q. Okay.

15 A. And then even into the switch.

16 Q. And then corrosion was occurring in that  
17 Volvo switch too?

18 A. I don't know the details of what was  
19 happening because of the water.

20 Q. What Volvo year make and model did that  
21 occur on?

22 A. I don't know.

23 Q. How many?

24 A. I don't know.

25 Q. What year?

1 A. I don't know.

2 Q. What was the remedy?

3 A. Volvo made changes in their wire harness  
4 and we put epoxy in the inside of the switch base.

5 Q. What year was that, '80s, '90s?

6 A. It would've been in the '90s. I'm not  
7 sure which year in the '90s.

8 Q. Early '90, mid '90, late '90s?

9 A. Probably mid '90s.

10 Q. Okay. Is that same type of epoxy that you  
11 mentioned, is that used on the Panther deactivation  
12 switch for the '92, '93 models?

13 A. No, it's not.

14 Q. Why not?

15 A. The epoxy was a specific request from  
16 Volvo on that switch.

17 Q. All right. So here you've got years of  
18 experience with water getting into the electrical  
19 side of the switch before the switch is developed  
20 for Ford. Would that be fair to say?

21 A. No, that's not what I'm saying at all.  
22 The -- The discussion I said on the Volvo switch  
23 happened after the development with Ford. And I  
24 wouldn't say there's -- there's many switches where  
25 we've had these problems. We have hundreds of

1 millions of switches out there and these are a few  
2 switches that we're talking about.

3 Q. Okay. So TI's aware of this problem with  
4 water in the electrical side of the switch prior to  
5 the fires involving my clients' cars.

6 MS. ALVAREZ: Objection, form.

7 Q. Is that fair?

8 MS. ALVAREZ: Objection, form.

9 A. What problem are you referring to?

10 Q. Water getting in the electrical side of  
11 the switch.

12 A. There have been switches returned to TI  
13 that did have water that had gotten into the switch  
14 through the mating connector.

15 Q. Prior to my clients' cars catching fire?

16 A. Yes.

17 Q. And the cure for that with the Volvo was  
18 to fill the electrical side of the switch up with  
19 some type of epoxy to displace the water, I gather?

20 A. No. The cure -- The cure was for Volvo to  
21 change their -- their wire harness so the water  
22 would not drip down the wire harness. And they also  
23 requested that we put epoxy in the switch --

24 Q. Okay.

25 A. -- to seal that cavity.



1 Q. Okay. So epoxy -- Seal the wire and then  
2 put epoxy in the electrical side of the switch to  
3 seal the cavity, right?

4 A. Yes.

5 Q. Which prevents corrosion. If you can keep  
6 the water out, it prevents corrosion, correct?

7 A. The terminals are still exposed. You can  
8 still corrode the terminals.

9 Q. All right. Well, the purpose of putting  
10 the epoxy is to minimize the chances that water gets  
11 into the electrical side of the switch and causes  
12 corrosion, correct?

13 A. Minimize the chance that water gets into  
14 the electrical side of the switch and damages the  
15 switch.

16 Q. Correct?

17 A. Yes.

18 Q. To prevent the possibility of corrosion?

19 A. I don't know specifically in that case  
20 whether it was corrosion that was occurring.

21 Q. Okay. And the reason that TI didn't use  
22 that epoxy system inside the electrical side of the  
23 speed control deactivation switch used on the '92,  
24 '93 Panthers is because Ford didn't ask for it?

25 MS. ALVAREZ: Objection, form.

1           A.    Ford did not require the -- the base to be  
2   sealed. Ford provided that seal with the mating  
3   connector.

4           Q.    Okay. So Ford sent the specifications to  
5   TI and Ford said, Don't seal the base?

6           A.    That's not what I'm saying.

7           Q.    All right. Ford sent the specifications  
8   to TI and TI said, Ford, Do you want us to seal the  
9   base? And Ford said No?

10          A.    No. What I'm saying is, Ford said, The  
11   electrical seal to that base will be provided by the  
12   mating connector.

13          Q.    Ford sent the specifications to TI and  
14   didn't say anything about sealing the base and TI  
15   didn't say anything to Ford about sealing the base,  
16   right?

17          A.    TI reviewed our design with Ford and Ford  
18   approved our design. Sealing of the base would be  
19   accomplished by the mating connector made into the  
20   base.

21          Q.    Ford didn't say anything about sealing the  
22   base, TI didn't say anything about sealing the  
23   base --

24                   MS. ALVAREZ: Objection, form.

25          Q.    -- made pursuant to Ford's specifications,

1 end of story?

2 MS. ALVAREZ: Objection, form.

3 Q. That's how it went down; isn't it?

4 MS. ALVAREZ: Objection, form.

5 A. That's not what I'm saying.

6 Q. Well, what about what I just said is not  
7 accurate?

8 A. What I'm saying occurred is, the seal to  
9 the base was provided by the mating connector that  
10 Ford mated to the switch.

11 Q. Did Ford say, Don't seal the base with  
12 epoxy?

13 A. I don't know what Ford said.

14 Q. Did TI say to Ford, Seal the base with  
15 epoxy?

16 A. I don't know if TI said any -- any words  
17 like that.

18 Q. Did TI say to Ford, Don't seal the base  
19 with epoxy?

20 A. TI reviewed the design of the switch with  
21 Ford and that design of the switch showed that the  
22 base was -- would be sealed by the mating connector  
23 and applied to the base.

24 MR. JOLLY: Objection, nonresponsive.

25 Q. Did TI tell Ford, Don't seal the base with

1 Epoxy, yes or no?

2 A. I don't know.

3 Q. And if you don't know, then the answer's  
4 no --

5 MS. ALVAREZ: Objection, form?

6 Q. -- isn't it?

7 A. No. The answer is, I don't know.

8 Q. Can you give us one document or the name  
9 of one single person who told Ford, Don't seal the  
10 base with epoxy?

11 MS. ALVAREZ: Objection, form.

12 A. I don't -- I don't know of any document  
13 that says -- to TI that says, Don't seal the base  
14 with epoxy.

15 Q. Can you give us the name of one person at  
16 TI who told Ford, Don't seal the base with epoxy?

17 A. I -- I know that the seal to the -- to the  
18 base would be provided by the mating connector.

19 MR. JOLLY: Objection, nonresponsive.

20 Q. Can you identify anybody at TI who told  
21 Ford, Don't seal the base with epoxy?

22 A. I cannot identify anyone at TI that said,  
23 Don't seal the base with epoxy.

24 Q. Why is there a Bates number missing from  
25 the documents that have been pro -- produced to me?

1 MS. ALVAREZ: Objection, form.

2 A. Can you repeat that?

3 Q. Who Bates stamped the documents that were  
4 produced to me? Who stamped the -- page numbered  
5 them --

6 A. I don't know.

7 Q. -- with this little thing called a Bates  
8 stamp? Who did that?

9 A. I don't know.

10 Q. Did Sally Epstein do that?

11 A. I don't know.

12 Q. Are they in chronological order?

13 A. I don't know.

14 Q. I thought you're supposed to be the  
15 corporate rep most knowledgeable about the documents  
16 to the subject matter. How come you don't know  
17 those answers?

18 MS. ALVAREZ: Objection, form.

19 A. I'm the corporate rep most re -- most  
20 knowledgeable about the full breadth of questions on  
21 the Deposition Notice.

22 Q. How come you don't know when the documents  
23 were Bates stamped?

24 A. I did not do the stamping of the  
25 documents.

1 Q. How come you don't know when they were  
2 Bates stamped or why one of the pages is missing?

3 MS. ALVAREZ: Objection, form.

4 Q. Who knows the answer to that question?

5 MS. ALVAREZ: Objection, form.

6 A. Our lawyers are responsible for getting  
7 the documentation to you.

8 Q. So I need to go dep -- take the deposition  
9 of one of the TI lawyers to get an answer to that  
10 question?

11 MS. ALVAREZ: Objection, form.

12 A. That's not what I'm saying.

13 Q. All right. Well, who do I need to talk to  
14 to find out why there's a Bates stamp number missing  
15 from the documents produced to me?

16 A. I --

17 MS. ALVAREZ: Objection, form.

18 A. I don't know who the --

19 Q. Well, you know what it looks like? It  
20 looks like the documents were Bates stamped and then  
21 someone pulled the documents out of the box. Do you  
22 understand what I'm saying?

23 MS. ALVAREZ: Objection, form.

24 A. No, I'm not sure I understand what you're  
25 saying.

1 Q. Do you know if that happened? Do you know  
2 if someone pulled the documents out of the box after  
3 they were Bates stamped?

4 A. I do not know whether any documents were  
5 pulled out or not pulled out.

6 Q. Have you heard anyone say that?

7 A. Anyone say what?

8 Q. Have you looked at the documents produced  
9 to me?

10 A. I've seen some of the documents produced  
11 to you.

12 Q. Have you noticed that there are Bates  
13 stamped numbered pages missing?

14 A. I have not seen any pages missing.

15 Q. Has anyone discussed with you that those  
16 documents were pulled after they were stamped?

17 A. Nobody has discussed with me anything  
18 related to stamping documents and removing  
19 documents.

20 Q. Do you -- Do you know anything about  
21 diagnosing a speed control deactivation switch to  
22 determine if it's going to fail or cause a fire  
23 after it's in place and in service on a car?

24 A. Can you repeat the question?

25 Q. Well, can you look at -- Let's just back

1 up a ways, a couple of years and here's all my  
2 clients' cars lined up here in a row, all nine of  
3 them and they haven't caught fire yet. Can TI raise  
4 the hood on those cars, look at the speed control  
5 deactivation switches or diagnosis them in any way  
6 and say, This switch is going to fail and it's going  
7 to cause a fire?

8 A. I'm not aware of any switches that cause  
9 fires. And depending on -- There -- There's nothing  
10 that can be done, looking at the -- just looking at  
11 the switch to determine when end of life will be  
12 reached for that switch.

13 Q. Any -- Any way to diagnose it with any  
14 kind of electrical diagnostic equipment to make that  
15 call?

16 A. You can diagnose with electrical equipment  
17 if there's fluid in the switch cavity.

18 Q. What would you do?

19 A. Measure the resistance between the  
20 terminal and the ground.

21 Q. How would a mechanic know to do that or  
22 know how to do that or know when to do that?

23 MS. ALVAREZ: Objection, form.

24 A. TI does not define what the mechanics look  
25 at when someone brings their car to the dealership.



1 Q. Well, let's just say you're going to train  
2 the mechanic. What would you tell them to do?

3 A. I don't have expertise in that area in  
4 order to train a mechanic.

5 Q. What would you look at when you raised the  
6 hoods? What would you do?

7 MS. ALVAREZ: Objection, form.

8 A. Do what?

9 Q. You're the mechanic now. What would you  
10 do? You said -- You said, check the switch?

11 A. If I --

12 MS. ALVAREZ: Objection, form.

13 A. If I wanted to understand if there was  
14 fluid in the switch cavity, I would measure the  
15 resistance between the terminal of the switch and  
16 the hex port of the switch.

17 Q. And how would you do that?

18 A. With an ohm meter.

19 Q. An ohm meter, o-h-m?

20 A. Yes.

21 Q. And that measures what, resistance?

22 A. Resistance.

23 Q. So the ohm meter would tell you what, if  
24 there's a short?

25 A. It would tell me what the resistance was.

1 Q. And so, if there was little resistance, it  
2 means there's a short; and if there's a lot of  
3 resistance, then that's good; isn't it?

4 A. The way the part is designed is that it  
5 would have an -- an open -- it would be very high  
6 resistance. If -- If that didn't -- the resistance  
7 is lower, that would indicate that there may be  
8 fluid inside the switch cavity.

9 Q. So, in other words, if there's lower  
10 resistance, then the circuit is shorting out inside  
11 the switch?

12 A. If there's low resistance, then there's a  
13 resistive path from the terminal to the hex port  
14 ground of the switch.

15 Q. Because it's shorting internally for some  
16 reason?

17 A. From it's resistive path.

18 Q. Which means that there's a short, right?  
19 I mean, a short is -- just means that the current is  
20 going somewhere it's not supposed to; isn't that  
21 what that means?

22 A. A short generally means a very low  
23 resistive connection.

24 Q. Which means you're making a circuit?

25 A. There can be fluid in there that has a

1 higher resistance than I would call a short.

2 Q. So that means you're making a circuit,  
3 right?

4 A. There's a resistance from the terminal to  
5 the hex port. That's what it would mean if you  
6 measured resistance that is a resistive path,  
7 current can flow to the terminal, correct.

8 Q. Well, the switch isn't designed to operate  
9 that way; is it?

10 A. Not -- The switch is not intended to  
11 operate with fluid in the switch cavity.

12 Q. That's not my question. My question is:  
13 Is the switch designed so that it shorts out and  
14 causes a fire?

15 A. The switch is not designed to short out or  
16 cause a fire.

17 Q. So there's not supposed to be current  
18 across from the -- on of the electrical components  
19 to the hex head, right?

20 A. There's not supposed to be current flowing  
21 from the terminal to the hex port, yes.

22 Q. And whose responsibility is it then to  
23 make sure that repair technicians at authorized Ford  
24 dealers know what you've just described when it  
25 comes to analyzing whether or not a TI speed control

1 deactivation switch has got an internal problem? Is  
2 that my clients' responsibility or is that someone  
3 else's responsibility?

4 A. It's Ford's responsibility to define how  
5 the service technician would service the -- the  
6 vehicle.

7 Q. Is that my clients' responsibility?

8 A. It's Ford's responsibility to define how  
9 the service technician will service the vehicle.

10 Q. So that's not my clients' responsibility;  
11 is it?

12 A. It's Ford's responsibility to define how  
13 the technician services the vehicle.

14 Q. Which means that it's not my clients'  
15 responsibility, correct?

16 A. It's not your clients' responsibility to  
17 define how to service the vehicle --

18 Q. And then how --

19 A. -- not the dealer technician.

20 Q. All right. And then, so how's Ford  
21 supposed to know how to test this TI switch? How's  
22 Ford supposed to learn that so that they can tell  
23 the technicians?

24 A. Ford -- TI shows Ford the design of the  
25 switch, how the switch operates. Ford's

1 specifications define how the switch should operate.  
2 And with that information Ford can -- can show the  
3 technicians how to service the vehicle.

4 Q. Okay. So after these switches are  
5 designed pursuant to Ford's specifications, they're  
6 designed, manufactured and then sold to Ford and  
7 they go out and they're put on '92, '93 Panthers,  
8 right?

9 A. Actually, in this case, sold to Highlight  
10 Industry first; but eventually, on the Ford vehicle.

11 Q. And after that point in time TI doesn't  
12 get involved with what's happening with the switches  
13 out in the field unless Ford comes back with a  
14 complaint?

15 A. If there are any issue -- issues, Ford  
16 would bring it to -- to TI. And if parts come back  
17 to Ford as an issue, they would return it to TI. TI  
18 would analyze those switches and send a report back  
19 to Ford.

20 Q. Okay. Once we're at that point in the  
21 stage where the switches are being produced and  
22 they're coming off the assembly line and Highlight  
23 and Ford are putting on these '92, '93 Panthers, TI  
24 has no responsibility when it comes to determining  
25 if the switch is meeting the specifications criteria

1 of the switch?

2 A. TI is responsible to make sure the  
3 switch -- that all switches delivered meets the  
4 specification.

5 Q. I mean, after the fact, TI doesn't go out  
6 in the real world and get cars and test them and see  
7 if the switch is meeting the specifications  
8 criteria?

9 A. There are examples of times TI has gone  
10 out and got switches that were out in the field in  
11 order to see how the switches were performing and --  
12 and what they looked like.

13 Q. What cars did TI do that on?

14 A. There were some G.M. vehicles where we  
15 took power steering pressure switches off of. There  
16 were some Ford vehicles where we took Ford brake  
17 switches off of and recently during this  
18 investigation went back and took TI switches off of  
19 Panther platform vehicles to see how the switch was  
20 performing.

21 Q. And were -- were those switches tested?

22 A. Yes.

23 Q. Has that been produced?

24 MS. ALVAREZ: Objection, form.

25 A. I'm not sure exactly which documents were

1 produced. I know that --

2 Q. What testing was done on the Panthers that  
3 were picked off the streets?

4 A. We tested the switches. We did not test  
5 the vehicles.

6 Q. And what was done?

7 A. The switches were tested for calibration  
8 and cut open to look inside.

9 Q. Anything else?

10 A. Nothing I can remember at this time.

11 Q. Did anyone bother to pick up an ohms gauge  
12 and test the switch the way that you said it ought  
13 to be tested before y'all cut it open?

14 A. Yes. Yes, that was done.

15 Q. So something else was done?

16 A. Yes.

17 Q. Were those measurements recorded, the  
18 measurements taken off the ohms gauge?

19 A. Yes.

20 Q. Ohms gauge, ohms meter?

21 A. Ohms meter.

22 Q. And how were those measurements recorded?

23 A. On a data sheet.

24 Q. Has that been produced?

25 A. I don't know.

1 Q. What did it show?

2 A. The switches were operating fine.

3 Q. So there was -- Of all the Panthers that  
4 were taken off the road, how many were taken off?

5 A. I don't remember exactly. Ten switches,  
6 12 switches, somewhere around there. All of those  
7 switches were operating normally.

8 Q. What does normally mean when it comes to  
9 the ohms meter reading?

10 A. Very average --

11 Q. What did --

12 A. -- from terminal to case.

13 Q. What is the measurement?

14 A. Essentially, overload, mega-ohms.

15 Q. Excuse me?

16 A. Essentially, overload, mega-ohms.

17 Q. Well, but when you're looking at the  
18 meter, there's numbers that correspond with the  
19 meter. What number?

20 A. The -- The meter will say, O.L., overload,  
21 for very high resistance.

22 Q. Okay. And then what would you expect for  
23 the meter to read for a switch that did not meet  
24 specification?

25 A. Don't know of any switches that didn't



1 meet specification.

2 Q. No. You're -- You're now telling the  
3 mechanic, even though it's not TI's responsibility,  
4 when you're looking at the ohms meter, here's the  
5 reading that means it's good; here's the reading  
6 that means it's bad. What's the bad reading number?  
7 I need a number.

8 MS. ALVAREZ: Objection, form.

9 A. Depending on the conductivity, the fluid  
10 in the switch would affect what resistance you would  
11 measure.

12 Q. What would that be?

13 A. It would vary, depending on the  
14 conductivity of the fluid.

15 Q. So how is the mechanic supposed to know if  
16 you can't tell them the number?

17 MS. ALVAREZ: Objection, form.

18 A. What I said before was that as a -- as a  
19 guideline, looking at resistance from terminal to  
20 case, could be an indication of fluid in the switch  
21 cavity.

22 Q. Okay. But the ohms meter has  
23 measurements, it has a scale and it gives you  
24 numbers, doesn't it?

25 A. Yes. It could be tens of ohms, hundreds

1 of ohms, thousands of ohms, hundred thousands of  
2 ohms, depending on the conductivity of the fluid.

3 Q. Well, so give me a number.

4 A. I can't give you a number because it would  
5 depend on the conductivity of the fluid that was  
6 inside the switch.

7 Q. Then how are you going to figure that out,  
8 take it apart? I mean, why not just go ahead and  
9 just take it off and just throw it away just in  
10 case, if there's no way to tell unless you take the  
11 switch off and take it apart?

12 A. Tell what?

13 Q. What the conductivity -- conductivity of  
14 the fluid inside the switch is.

15 A. Well, when you measure the resistance  
16 you'll understand how -- how conductive that fluid  
17 is --

18 Q. So --

19 A. -- based on the resistance measurement.

20 Q. So what's my measurement going to tell me?

21 A. It'll tell you the conductivity of the  
22 fluid.

23 Q. All right. What's it going to tell me  
24 with regards to the conductivity of the fluid?

25 A. All it's going to tell you is the

1 resistance between the terminal and the case.  
2 Different fluids in that condition will have  
3 different resistances.

4 Q. All right. So what could get in there,  
5 brake fluid, water, saltwater?

6 A. Yes.

7 Q. All right. So what's the range going to  
8 be, depending on the conductivity of those four  
9 fluids that could possibly get in the switch --

10 A. Brake fluid would probably in the  
11 hundreds, hundred thousand, three-hundred,  
12 five-hundred thousand K ohms -- well, five-hundred  
13 thousand ohms; in that range, hundreds of thousands  
14 of ohms. Saltwater would be -- would be lower,  
15 depending on the concentration of salt.

16 Q. Okay.

17 A. Could be in the hundreds.

18 Q. So the instructions to the mechanic are  
19 anything from 100 -- a hundred -- several hundred  
20 ohms to as much as 500,000 ohms; throw the switch  
21 away?

22 A. That's not what I'm saying. I'm not  
23 defining instructions to a mechanic. You asked me  
24 before how would you know if fluid was in the -- in  
25 the switch and I said, by one way, to measure

1 resistance from the terminal to the case.

2 Q. Who's supposed to know what that  
3 measurement's going to be to make the decision to  
4 throw the switch away, TI, the mechanic or Ford?

5 A. I don't know if mechanics are making those  
6 measurements or not.

7 Q. That's not what I asked, is it? Who's  
8 supposed to know what the number is, TI, Ford or the  
9 mechanic when it comes to the decision when you're  
10 measuring the switch with the ohms meters and you  
11 want to decide whether or not it's a possible fire  
12 hazard --

13 MS. ALVAREZ: Objection, form.

14 Q. -- TI, the mechanic or Ford? Pick one or  
15 more.

16 A. I'm not --

17 MS. ALVAREZ: Objection, form.

18 A. I'm not saying that because there's fluid  
19 in there, you have a fire hazard, just because of  
20 fluid in the switch.

21 Q. Okay. It's no good, we need to throw it  
22 away, who's responsible to determine the number on  
23 the ohms meter; TI, the mechanic or Ford?

24 A. What I'm saying is, as a diagnostic tool  
25 at TI, when we receive switches, that's a

1 measurement we would take. We measure the time  
2 period to try and understand what might be wrong  
3 with the switch.

4 Q. The switch is out in the field now, it's  
5 on a car, it's been cycled; you don't know how many  
6 times because Ford didn't tell you that; now  
7 someone's measuring it with an ohms meter. Who's  
8 going to pick the number in order to make the  
9 decision to throw the switch away; TI, the mechanic  
10 or Ford?

11 A. You're talking about a hypothetical  
12 situation.

13 Q. Yes, sir.

14 A. I don't know who's measuring the switch or  
15 what the reason is for measuring the switch.

16 Q. They're measuring it. Who cares. They're  
17 measuring and they're trying to just make sure these  
18 cars don't burn people's homes down, maybe --

19 MS. ALVAREZ: Objection, form.

20 Q. -- maybe someone thought of that and  
21 decides to measure it with an ohms meter. Who's  
22 going to pick the ohms meter number --

23 MS. ALVAREZ: Objection --

24 Q. -- TI, the mechanic or Ford?

25 MS. ALVAREZ: Objection, form.

1 A. Can you repeat the question?

2 Q. Who's responsible for determining the ohms  
3 meter measurement for the decision with regards to  
4 the -- the -- if a TI speed control deactivation  
5 switch failing or doesn't meet specifications; TI,  
6 the mechanic or Ford?

7 A. Hypothetically speaking, if a dealership,  
8 somebody was making that measurement -- Okay -- Ford  
9 would be providing to that person the information on  
10 whether to remove the switch or not.

11 Q. Not TI, not the mechanic; Ford?

12 A. Ford would be making -- be providing the  
13 information.

14 Q. Now, how would Ford know that? How would  
15 Ford know what that measurement's supposed to be?

16 A. I don't know. They'd use their system  
17 understanding to determine how the component  
18 operated in their system to make a judgment  
19 decision.

20 Is it possible to take a few-minute break

21 Q. Sure.

22 THE VIDEOGRAPHER: Going off the  
23 record. The time is now 2:42.

24 (Recess had.)

25 THE VIDEOGRAPHER: We are on the

1 record. The time now is the 3:02. This is video  
2 tape No. 3.

3 MS. ALVAREZ: For the record, we're  
4 discussing the continuation of this depo at whatever  
5 time we end today. We have offered to go today  
6 through close -- close to 5:00 o'clock and pick up  
7 tomorrow morning, 8:30 or 9:00 o'clock tomorrow  
8 morning and continue until the deposition is  
9 concluded.

10 It is understanding from the discussions off  
11 the record that for the most part everybody else is  
12 opposed to continuing tomorrow.

13 MR. JOLLY: Well, just so that we  
14 understand one another, when these -- when this  
15 deposition was at the point when it was being  
16 scheduled initially, TI wrote a bunch of  
17 self-serving letters to me claiming that this would  
18 be the only deposition that ever occurred of a TI  
19 corporate representative.

20 Now here we are, it's 3:00 -- five after 3:00  
21 and TI has just now handed us another stack of  
22 documents which is approximately a half of an inch  
23 thick and it turns out that there's a video tape that  
24 we haven't received, color photographs of his testing,  
25 documents related to Nissan, G.M. and Volvo and other

1 speed control deactivation switches that are relevant  
2 to this case.

3 We're not going to continue this deposition into  
4 the weekend without the -- having had ample  
5 opportunity to have full and complete discovery  
6 responses, production responses and the opportunity to  
7 review that information without having to set here and  
8 look at it through the deposition.

9 I think it's obvious why it was done this way,  
10 but I don't say that on the record. So what I'm going  
11 to do here in a little bit is, I'm going to say that I  
12 am through with this witness at the present time. I'm  
13 not going to pass the witness and we will reschedule the  
14 deposition at a date and time convenient to everyone  
15 after we've had a chance to look at all the  
16 documents which haven't been produced. So we're not  
17 agreeing to go forward through Saturday, plus it's the  
18 Christmas holidays and I've got plans. But that's low  
19 priority compared to the other reasons stated.

20 MS. ALVAREZ: The only thing that  
21 I'll add to that is if -- if part of the problem is  
22 the document production, that there was a document  
23 production request that wasn't timely, so I don't  
24 believe that that's a relevant valid reason.

25 I understand the family and the holiday



1 obligations that you're talking about. But as  
2 far as the document production, I'd make that  
3 statement.

4 MR. JOLLY: What document production  
5 that is not timely?

6 MS. ALVAREZ: I understand, the duces  
7 tecum that was sent less than 30 days prior to  
8 today's deposition.

9 MR. JOLLY: We'll just bring that up  
10 with the Judge.

11 MR. GRANDSTAFF: And my -- This is  
12 Joel Grandstaff. I'm an attorney for Intervenor  
13 Prudential and Southern Farm Bureau. And our  
14 position in this also, we agree that the Plaintiff  
15 (sic.) should not be continued tomorrow, it should  
16 be reset for a date that's convenient for everybody  
17 here. It is the holiday season. I do have plans  
18 with my family that would make tomorrow impossible  
19 and I think there are probably other people here  
20 that also have similar problems. I certainly would  
21 make myself available at another time that is  
22 convenient for everybody else and continue this  
23 deposition.

24 MR. KHOSHBIN: Shane Khoshbin on  
25 behalf of Farmers, Intervenor. I will not be

1 available tomorrow. I apologize for it being  
2 inconvenient, but I am going to have questions and I  
3 am going to want to take a look at the documents  
4 that have not been produced as of yet to date. I  
5 have probably sent out at least two letters  
6 requesting copies of documents that were produced by  
7 Ford at any time, much less the documents that  
8 already haven't been produced, and TI. And I will  
9 be -- make myself available on another date  
10 convenient to this witness. And my guess is that  
11 there are going to be some other witnesses with more  
12 knowledge concerning certain topics that we're going  
13 to want to examine. And I think it's very  
14 reasonable to not continue on a Saturday, especially  
15 the weekend before the Christmas holidays and to go  
16 ahead and just reschedule it for a date that's  
17 convenient for everyone.

18 MR. MANSKE: Jeff Manske on behalf of  
19 Ford Motor Company. Ford also objects to going  
20 forward on Saturday on a non-business day for the  
21 reasons previously articulated by counsel.

22 MR. SCHIRRMESTER: Andrew  
23 Schirrmeister, DuPont's lawyer. I'll decline as  
24 well, happy to reschedule at a time convenient for  
25 the witness and the parties.

1                   MR. SOLOMON: Dean Solomon here on  
2                   behalf of Travelers, Intervenor on the -- in one of  
3                   these Houston cases. We also would join in and  
4                   object to the Saturday deposition -- or the  
5                   continuance of the deposition on this Saturday as  
6                   well.

7                   MR. FORBES: I think I'm the last  
8                   one. Ross Forbes, Intervenor representing Allstate  
9                   in one of the Houston cases. And we'll just join in  
10                  this objection not to go forward tomorrow.

11                  MS. ALVAREZ: Sounds like it's  
12                  unanimous on that side. I guess we can circulate,  
13                  after -- after today, circulate dates when we get to  
14                  that point when it's convenient to Mr. Beringhouse  
15                  and the parties, only at this time we're ready to  
16                  continue.

17                  MR. SCHIRRMESTER: How are the ski  
18                  conditions in the white mountains?

19                  MS. ALVAREZ: It's not your turn to  
20                  ask questions yet.

21                  MR. JOLLY: He says there's no snow  
22                  yet, it's just flurries.

23                  MR. SCHIRRMESTER: Down in  
24                  Massachusetts.

25                  THE WITNESS: Oh, well, I don't know

1 what's up in the mountains. I'm not a big skier  
2 myself.

3 MS. KENNAMER: Andrew will want to  
4 know that before he agrees to any rescheduling date.

5 MR. SCHIRMEISTER: I've never even  
6 been to Massachusetts.

7 THE VIDEOGRAPHER: Do you wish to go  
8 off the record now?

9 MR. JOLLY: Is the video going?

10 THE VIDEOGRAPHER: Yes, it is.

11 MR. JOLLY: Oh, okay. No. Let's go.

12 Q. So when it comes to the maintenance  
13 criteria for the subject speed control deactivation  
14 switches that are used on the '92, '93 Panthers,  
15 that's Ford's responsibility?

16 A. I'm not sure if you've understood the full  
17 discussion I've said on -- on the different  
18 responsibilities. TI is providing one switch that  
19 goes into a very complicated system. The system  
20 includes the -- the electrical architecture, it  
21 includes master cylinders, brake pedals, other  
22 switches, other circuits, paths; a complicated  
23 system that Ford has responsibility for defining how  
24 that system operates and what the specifications are  
25 for the individual components. Ford -- Ford

1 specified to TI specification for the pressure  
2 switch and as part of that specification TI  
3 guaranteed performance of the switch to that  
4 specification.

5 Q. Okay. So that's Ford's responsibility  
6 when it comes to the maintenance --

7 A. Ford --

8 Q. -- personnel?

9 A. Ford defines any maintenance that's  
10 required on the vehicles.

11 Q. Okay. The documents that -- that the TI  
12 lawyer just handed to us, are -- are these the  
13 documents that relate to the testing of the switches  
14 on the vehicles that TI went out and got off the  
15 streets?

16 A. Can I see what's in that document package?

17 Q. You don't know?

18 A. I don't know everything that's in there.

19 (Exhibit No. 7 marked.)

20 A. There's nothing in this doc -- in this  
21 documentation package that discusses the analysis TI  
22 did on pressure switches that we retrieved from the  
23 field at the beginning of our investigation.

24 Q. Okay. So what is Exhibit 7?

25 A. It's a combination of a number of

1 documents. A lot of the documents are different  
2 data supplied to TI by Ford based on parts returned  
3 in the recall and notes from phone conversations  
4 that I had with Steve Reimers at Ford.

5 Q. Looks like that most of the documents in  
6 Exhibit 7 are dated back to September, '99 or in  
7 that time frame?

8 A. September, '99 through -- through --  
9 through December of '99.

10 Q. When's the first time you saw that  
11 material?

12 A. Saw which material? Saw everything --

13 Q. Exhibit 7.

14 A. -- there? Different times. A lot of it  
15 is my notes. Okay. Some of it are -- a document I  
16 had seen when it was written, as in the writing.  
17 Some of the documents in the back, this is the first  
18 time I'm seeing it.

19 Q. So -- So why are we getting this today in  
20 the middle of your deposition today? Why didn't we  
21 get this months ago?

22 A. I don't know.

23 Q. Do you think that's fair?

24 MS. ALVAREZ: Objection, form.

25 A. Some of the documents, I know, were just

1 found.

2 Q. Okay. How -- How -- Of the five cars that  
3 you inspected --

4 A. I'd --

5 Q. -- that belong to my -- Pardon me?

6 A. I'd like to go back just to clarify one  
7 thing. This -- This document right here  
8 (Indicating) is switches that TI received back from  
9 the field that were recall switches, but not  
10 provided to -- to TI from Ford, but where TI did go  
11 to dealers and receive these switches. So these  
12 were switches gathered out in the field. I just  
13 want to make sure I'm answering for you.

14 Q. What's that page number?

15 A. TI 00011112C.

16 Q. How did that work, where TI's out at Ford  
17 dealers gathering switches?

18 A. The -- At the beginning of the recall the  
19 Ford dealers were scrapping the switches. TI went  
20 to some local dealers and asked them, instead of  
21 scrapping the switches, would they be able to  
22 provide them back to TI.

23 Q. What local dealers?

24 A. Couple of local dealers in -- in the  
25 Attleboro area in Massachusetts and one dealer in

1 New London in Connecticut.

2 Q. Do you think that's a fair sampling of  
3 where the problem switches are?

4 A. I don't know if it's a fair sampling or  
5 not. TI wanted to understand what some of the  
6 switches looked like that were coming back from the  
7 recall.

8 Q. And do you know that that's not a fair  
9 sampling, in fact?

10 A. I know that most of the vehicle fires  
11 occurred in the south of the U.S.

12 Q. Right. So do you think that was fair,  
13 because all the vehicle fires, or at least 95  
14 percent of them are occurring in the south, do you  
15 think that's fair, that TI would go to Massachusetts  
16 dealers and take a sampling from Massachusetts Ford  
17 dealers' switches taken off Panthers as a result of  
18 the recall and then test those switches and -- and  
19 then say, See, the switches are fine, there's  
20 nothing wrong with them? You think that's fair?

21 MS. ALVAREZ: Objection, form.

22 A. TI had requested to Ford to get all parts  
23 back from the recall so we could have a  
24 representative sample. TI tried to get some parts  
25 back itself from the recall.



1 Q. Did TI try to get any switches from any  
2 Ford dealers in the states where all the fires are  
3 happening, like Florida and Texas?

4 A. Yes.

5 Q. What dealers did TI go to and ask for  
6 switches in Florida and Texas? Give me the names of  
7 the dealers that TI did this with like TI did at the  
8 Massachusetts dealers.

9 A. TI did not go to any dealers that I'm  
10 aware of in Dallas -- Florida -- or Texas, Florida.

11 Q. You've got offices in Dallas, right?  
12 Right?

13 A. Yes.

14 Q. And there's a big factory over here on  
15 Highway 59 south in Houston and TI doesn't go to one  
16 dealer in Texas and ask for a switch, right?

17 A. I'm not aware of any -- TI going to any  
18 dealers in Texas. We did talk to Ford and request  
19 to look at switches, came back from all different  
20 regions of the country.

21 Q. So the answer to my question is, TI did  
22 not go to one single dealer in Texas and ask for  
23 switches, correct?

24 A. Not that I'm aware of.

25 Q. Of the five cars that you inspected that

1 belong to my clients, how many cycles had those  
2 speed control deactivation switches experienced  
3 prior to the fire involving those vehicles?

4 A. I do not know.

5 Q. Does that matter?

6 MS. ALVAREZ: Objection, form.

7 A. Does what matter?

8 Q. Does it matter how many cycles the  
9 switches had experienced in the five cars that you  
10 examined that were owned by my clients?

11 A. Does it matter for what?

12 Q. Well, let's ask it this way: Does TI take  
13 any responsibility for a speed control deactivation  
14 switch that's on one of my clients' cars if that  
15 switch fails after a cycle specification limit has  
16 been exceeded out in the real world?

17 A. All TI did was guarantee that the switches  
18 manufactured by TI met the specifications provided  
19 to TI by Ford.

20 Q. And those specifications for the cycles is  
21 what number, 500,000?

22 A. Ford's specification, 500,000 cycles from  
23 zero to 1450 psi and back to zero.

24 Q. And you don't know -- no one at TI knows,  
25 for that matter -- how old a car has to be or how

1 many miles it has to have on it before it hits  
2 500,000 cycles --

3 A. That's correct.

4 Q. -- on the switch?

5 Does TI care?

6 A. Yes.

7 Q. All right. Explain that to me.

8 MS. ALVAREZ: Objection, form.

9 A. Explain --

10 Q. Explain to me why TI makes a switch that  
11 they don't guarantee after it hits 500,000 cycles.

12 MS. ALVAREZ: Objection, form.

13 A. TI guarantees a switch to meet 500,000  
14 cycles based on the Ford specification. Ford has  
15 the full system understanding and documents in the  
16 specification of what they feel is the life of -- of  
17 the switch that's required to last for the full life  
18 of the vehicle.

19 Q. Okay. So those switches may very well not  
20 have exceeded those 500,000 cycles, for all you  
21 know?

22 A. I don't know how many cycles are on those  
23 switches.

24 Q. All right. So let's just say they don't.  
25 Does TI guarantee my clients that if those switches

1 caused those fires, that it's TI's responsibility,  
2 if the cycles are under 500,00 for any one of those  
3 five cars you inspected?

4 MS. ALVAREZ: Objection, form.

5 A. I don't know the details of what caused  
6 fires on those vehicles.

7 Q. No. No. That's not my question. My  
8 question is: If those switches caused the fires and  
9 if they cycled less than 500,000 cycles, is it TI's  
10 responsibility if that's the case?

11 MS. ALVAREZ: Objection, form.

12 A. There are other factors involved that may  
13 have contributed to the fire, if there was a fire on  
14 that vehicle related to the pressure switch.

15 Q. All right. So -- So, in other words, TI  
16 might not honor it's word or guarantee when it comes  
17 to the 500,000 cycles because maybe something else  
18 was involved if the switches caused the fire?

19 MS. ALVAREZ: Objection, form.

20 A. That's not what I'm saying.

21 Q. What is the other -- What are the other  
22 maybes? What are they? Tell me what they are.

23 MS. ALVAREZ: Objection, form.

24 Q. You said, the other factors. What are  
25 they?

1           A.    All right.  Let's go back to the  
2 discussion on the lab testing on switches.  We could  
3 only get switches to ignite when saltwater was  
4 injected through the base and high power was applied  
5 to the switch.

6           Q.    Okay.

7           A.    So some of those factors, based on our lab  
8 tests, would need to be present.

9           Q.    Okay.  Which is -- just so happens, that's  
10 something that every car experiences with this  
11 switch, living in the gulf coast?

12                   MS. ALVAREZ:  Objection, form.

13          A.    I don't know what those cars experience.

14          Q.    Well, up there in Massachusetts, don't  
15 they throw salt on the road when it -- the road's  
16 iced over?

17          A.    Yes, they do.

18          Q.    And isn't it foreseeable, sir, that these  
19 switches are going to be exposed to saltwater  
20 sometime during the life cycle of the vehicle?

21          A.    The external switch, I would expect to be  
22 exposed to saltwater sometime during the life of the  
23 vehicle.

24          Q.    All right.  That's foreseeable, isn't it?

25          A.    For the external switch to be exposed,

1 yes.

2 Q. And when you say, external switch, what  
3 you're talking about is assuming that the  
4 electrical connector seal maintains its integrity?

5 A. Yes.

6 Q. Okay. So if it did and the switches  
7 caused fires and they haven't exceeded the 500,000  
8 cycle specification, is TI going to honor its  
9 guarantee?

10 MS. ALVAREZ: Objection, form.

11 A. TI guarantees its switches will meet the  
12 specification provided by Ford.

13 Q. So the answer is yes, TI would honor its  
14 guarantee if that's the case?

15 MS. ALVAREZ: Objection, form.

16 A. I'm not sure what specific guarantee  
17 you're saying.

18 Q. Whatever the one is you just said. I  
19 don't know. You tell me. What is the guarantee?

20 A. That TI manufactured switches will meet  
21 Ford's specification.

22 Q. Okay. So does the guarantee include that  
23 they'll replace the switch or at least pay for a new  
24 switch?

25 A. I don't know the details in the contract

1 around what -- TI's guarantee if there is any  
2 defective switches.

3 Q. All right. Does the guarantee include the  
4 cost to replace the switch?

5 A. I don't know the details.

6 Q. Does the guarantee include the downtime  
7 that my clients don't have the use of their car?

8 A. I don't know.

9 Q. How about the losses caused by a fire? If  
10 the switch causes a fire, does the guarantee include  
11 that?

12 A. I don't know.

13 Q. Loss of family heirlooms that are not  
14 replaceable, does the guarantee cover that?

15 A. I don't know the details of any guarantee  
16 contract with Ford.

17 Q. Who at TI can answer those questions and  
18 tell the jury in these cases, Here's what our  
19 guarantee is and we're going to make it good and  
20 here's what our guarantee covers, since you can't  
21 say who at TI can?

22 MS. ALVAREZ: Objection, form.

23 A. What TI can what?

24 Q. Can tell us what the guarantee is and what  
25 it's going to cover.

1 A. I'm not sure.

2 Q. Have you ever owned a Lincoln?

3 A. I have not.

4 Q. What kind of car do you own?

5 A. I own a Honda CRV.

6 Q. That's a utility vehicle?

7 A. It's a sport utility vehicle.

8 Q. Year model?

9 A. Excuse me?

10 MS. ALVAREZ: Objection, form.

11 Q. Year -- What's the year model?

12 A. Of the car I own?

13 Q. Yeah.

14 A. It's a 1997 Honda CRV.

15 Q. How many miles do you have on it?

16 MS. ALVAREZ: Objection, form.

17 MR. JOLLY: How many miles do you  
18 have on it?

19 MS. ALVAREZ: Yes. That's  
20 irrelevant, the miles.

21 MR. JOLLY: Just wait. You'll see.

22 MS. ALVAREZ: I'm making my  
23 objection.

24 MR. JOLLY: Okay.

25 Q. How many miles are on it?



1 MS. ALVAREZ: Objection, form.

2 A. I have approximately 33,000 miles on my  
3 Honda CRV.

4 Q. All right. What do you do to maintain  
5 that car to keep it clean?

6 MS. ALVAREZ: Objection, form.

7 A. You mean, to -- What do you mean, to keep  
8 it clean?

9 Q. Do you wash it?

10 A. Sometimes.

11 Q. Do you have it washed?

12 A. No. I wash it sometime.

13 Q. Do you take it in to the dealer like  
14 you're supposed to?

15 MS. ALVAREZ: Objection, form.

16 A. The dealer doesn't require that I bring  
17 the car in. The dealer recommends certain  
18 maintenance.

19 Q. Have you ever washed the motor?

20 A. No, I have not.

21 Q. Do you think there's anything wrong with  
22 anyone washing the motor, take it to car wash, lift  
23 the hood and spray the dust off at the car wash,  
24 anything wrong with that?

25 A. I don't know if there's anything wrong

1 with that or not.

2 Q. Would that void the TI guarantee, if  
3 someone were just interested in keeping their motor  
4 area tidy?

5 MS. ALVAREZ: Objection, form.

6 A. TI does not guarantee the integrity of the  
7 mating connector seal to the switch.

8 Q. If -- If it's something else that fails  
9 because some water gets into the switch, that's TI's  
10 responsibility? For example, let's just say that  
11 maybe the jury thinks y'all should've put epoxy in  
12 the electrical component to fill the void, would TI  
13 guarantee the switch even if someone just happened  
14 to wash their motor at a car wash?

15 MS. ALVAREZ: Objection, form.

16 A. TI guarantees that the switch meets Ford's  
17 specifications. There are Ford specifications  
18 for -- for washes and dunks and salt spray  
19 requirements that TI runs and tests and passes.  
20 Ford understands the system and how people may apply  
21 different water sprays to their vehicle and defines  
22 specifications to make sure that the components that  
23 are applied on that vehicle will meet those sprays.

24 Q. Okay. So it's foreseeable then to both  
25 Ford and TI that the engine compartment area where

1 the speed control deactivation switch is mounted  
2 might be subject to salt spray and soaps and  
3 cleaners?

4 A. That's not what I said. I said Ford  
5 provides the specifications that define different  
6 sprays and dunk tests that T -- TI tests its switch  
7 to to make sure that switch can survive that  
8 environment.

9 Q. Okay. My question --

10 MR. JOLLY: Objection, nonresponsive.

11 Q. My question was: Is it foreseeable to TI  
12 that the speed control deactivation switches used on  
13 the '92, '93 Panthers would be subject to a simple  
14 car wash by the vehicle's owner?

15 A. I don't know what the assumptions Ford put  
16 into their definition and specifications of what  
17 owners would do with their vehicles.

18 Q. That's not what I asked. I said, was it  
19 foreseeable to TI?

20 A. Was it foreseeable to TI that what?

21 Q. That someone might wash their engine  
22 compartment at the car wash.

23 A. It's possible people could do anything.

24 Q. So that's foreseeable; isn't it?

25 A. I -- I don't know.

1 Q. You've never done that to any car you've  
2 ever owned, you never washed the engine compartment,  
3 air?

4 A. I have not.

5 Q. All right. Ever known anyone to do that?

6 A. I can't remember any specific person that  
7 said they did that.

8 Q. You never walked into an O'Reilly's or a  
9 Charlie's Hi-Lo or any parts store and seen those  
10 engine degreasers that they sell in there to spray  
11 in the engine compartment to keep your motor clean?

12 A. No idea.

13 Q. You didn't know that people wash the  
14 inside of their engine compartments?

15 A. I know it's possible people do wash the  
16 inside of their engine compartments.

17 Q. So is it Texas Instruments' corporate  
18 position that it's not foreseeable that an owner of  
19 the a '92 or '93 Panther might wash the engine  
20 compartment?

21 A. Texas Instruments doesn't know whether  
22 anyone's going to wash the engine compartment in  
23 their car or not. It's Texas Instruments' position,  
24 Texas Instruments needs to make sure the switch  
25 meets the specification set forth defines -- and the

1 intention of those specifications by Ford is to make  
2 sure it encompasses in the event that the switch  
3 makes that compliance.

4 Q. That's not my question now. My question  
5 now: Is it foreseeable? And you're here as the TI  
6 corporate rep and I would just like to know if TI is  
7 going to have any criticisms of someone who owns a  
8 '92 or '93 Panther because they simply wash their  
9 engine compartment at a car wash, yes or no?

10 A. I don't know.

11 MS. ALVAREZ: Objection -- Objection,  
12 form.

13 Q. Can you think of any criticisms now, just  
14 thinking about it, using your common sense and  
15 reasonable engineering probabilities?

16 A. I don't know if there's anything written  
17 in the vehicle books by Ford that says, Do not wash  
18 your car. I don't know how any of -- whether  
19 there's any recommendations by Ford to.

20 Q. Should there be?

21 A. I don't know.

22 Q. If -- If -- Could TI tell Ford that there  
23 should be warnings, don't wash the inside of your  
24 engine compartment because these speed control  
25 deactivation switches might start corroding?

1 Should -- Did TI tell Ford that?

2 A. I'm not aware of anyone at TI telling Ford  
3 that no one should wash their car.

4 Q. Did Ford ask TI, should we tell our -- our  
5 buyers of our vehicles this?

6 A. I'm not aware of Ford asking TI. Ford has  
7 the -- the big picture. They have the full  
8 understanding of the vehicle and what the vehicle  
9 may go through.

10 Q. Okay. What are these tests that this  
11 switch has to pass that involves salt spray and  
12 water and liquids?

13 A. I don't remember all the specific tests  
14 off the -- the top of my head, but there's usually  
15 tests around humidity exposure, salt spray  
16 exposure --

17 Q. Dunk?

18 A. Sometimes it's dunk, sometimes it's a  
19 spray. I don't remember specifically in the Ford  
20 spec how it's defined.

21 Q. Okay. So those are Ford specs?

22 A. Those are Ford specs, yes.

23 Q. And you think that -- Looking back at  
24 those specifications for those different types of  
25 spray and dunk tests, do you think that those tests

1 are sufficient to -- when it comes to the design of  
2 the switch, to prevent any harm to the switch if  
3 someone were to wash the inside of their engine  
4 compartment?

5 MS. ALVAREZ: Objection, form.

6 A. I don't know if the Ford specifications  
7 are sufficient or not sufficient to encompass what  
8 may happen to the vehicle and it's service.

9 Q. No. But TI did the tests. And knowing  
10 what those tests were, what do you think now? Do  
11 you think those tests were sufficient to determine  
12 whether or not the switch could handle a car washing  
13 if someone were to lift their hood and wash the  
14 engine compartment at a car wash?

15 A. I don't know. I only know that TI tested  
16 the switches to the Ford specs, don't know how those  
17 specs were derived by Ford.

18 Q. Okay. Any criticisms of any of my clients  
19 if they just happened to do that, wash their engine  
20 compartment, you personally?

21 A. I don't have a personal feeling on it one  
22 way or the other.

23 Q. Okay. So you're not going to come into  
24 court and say one of my clients really messed up  
25 when he raised his hood, he or she raised his hood,

1 to wash his engine compartment? You're not going to  
2 do that, are you?

3 A. I don't know what the requirements or what  
4 the Ford recommendations were around washing of  
5 those or not.

6 Q. Isn't it Texas Instruments who just served  
7 a whole bunch of discovery on us about this  
8 question, washing the engine compartment; isn't that  
9 true?

10 A. I don't understand what you're referring  
11 to.

12 Q. Well, the Texas Instruments lawyers just  
13 served a big stack of what's called a Request For  
14 Admission and some of the requests ask whether or  
15 not my clients washed their engine compartment.  
16 Now, here's one right here (Indicating): Admit that  
17 the vehicle engine had been steam cleaned. Admit  
18 that the vehicle engine had never been steam  
19 cleaned. Admit that the vehicle engine was steam  
20 cleaned during the time that you owned it.

21 I mean, if -- if TI doesn't know  
22 anything about this or what effect this might have  
23 on -- on the switch, if any, then why is TI asking  
24 my clients all these questions about this sort of  
25 thing?



1           A.    I don't know why those specific questions  
2 were asked.

3           Q.    Well, you're the corporate rep. Why is TI  
4 asking my clients something like that this, sir? We  
5 need to know.

6                   MS. ALVAREZ: Objection --

7           Q.    We need to know why TI wants to know this  
8 information --

9                   MS. ALVAREZ: Objection --

10          Q.    -- about something that everybody --  
11 almost everybody does to their car.

12                   MS. ALVAREZ: Objection, form.

13          Q.    And you don't know?

14          A.    Based on our tests that we have done, we  
15 had seen that if an uncontaminated water got into  
16 the switch, with the right power conditions, that we  
17 were able to ignite the switch in the lab, there was  
18 a mating connector failure that could allow water  
19 into the switch.

20          Q.    Well, that wasn't my question. That's it,  
21 because water might into the switch, and so  
22 therefore, people shouldn't steam clean their car --

23                   MS. ALVAREZ: Objection --

24          Q.    -- is that what the point is?

25                   MS. ALVAREZ: Objection, form.

1 A. That's not what I'm saying.

2 Q. Okay. So it's okay if people steam clean  
3 their engines?

4 A. That's not what I'm saying either.

5 Q. It's not okay for someone to steam clean  
6 their --

7 A. I don't know whether it's okay or not.

8 Q. Okay. Can you steam clean your engine  
9 with the speed control deactivation switch that was  
10 sold to Ford and put on the '92, '93 Panthers?

11 A. I don't know. I don't know if the mating  
12 connector can survive that exposure.

13 Q. Isn't that something that maybe TI  
14 should've considered before they designed this  
15 switch?

16 A. TI did not design the mating connector or  
17 the seal of the mating connector.

18 Q. Shouldn't TI have asked Ford, Do you  
19 anticipate that owners of '92 or '93 Panthers might  
20 clean their engine compartment, we need to know this  
21 when we design this switch? Shouldn't TI ask Ford  
22 that?

23 A. TI asked Ford, What are the specifications  
24 required, what environments might the switch be  
25 exposed to. And Ford provides those specifications

1 for those environments --

2 Q. Okay.

3 A. -- and those specifications of the switch.

4 Q. Okay. So that if -- if that's an issue  
5 that causes a problem for this switch, then that's  
6 Ford's responsibility to give that specification to  
7 TI? Is that what you're saying?

8 A. Ford gives to TI the specifications for  
9 what performance the switch needs to achieve.

10 Q. And so Ford would say what, we don't  
11 anticipate that anyone might clean their engine  
12 compartment?

13 A. No. Ford would take all their data as far  
14 as what they think people might do to their car and  
15 based on that information design specifications that  
16 the switch should meet.

17 Q. We're talking about cleaning the engine  
18 compartment. Did Ford say, No one's going to clean  
19 their engine compartments for these '92, '93  
20 Panthers? Did Ford say that in the specification?

21 A. No, that's not in the specification.

22 Q. Did Ford say, People might clean their  
23 engine compartments in this --

24 A. That is not -- That is not in the  
25 specification.

1 Q. Okay. What -- What is the net worth of  
2 Texas Instruments?

3 MS. ALVAREZ: Objection, form.

4 A. I don't know what the net worth of Texas  
5 Instruments is.

6 Q. More than a billion dollars?

7 A. I don't know.

8 Q. Ten billion?

9 A. I Don't know.

10 Q. Who knows that then, someone who writes  
11 the annual report?

12 A. I would assume they would know, but --

13 Q. You get an annual report when you're a  
14 shareholder, don't you, sir, which includes you?

15 A. Yes, I do get an annual report from Texas  
16 Instruments.

17 Q. Did you look it, look in there and see how  
18 TI's doing last year when you got the annual report?

19 A. Some of it, I looked at.

20 Q. So what did it say?

21 A. I don't remember what it said.

22 Q. You don't have any idea what TI is worth?

23 A. No, I don't.

24 Q. You don't know if TI's worth \$10 or \$10  
25 billion?

1 A. I could guess if you want me to guess.

2 Q. Yes.

3 A. I would say it's in the billions.

4 Q. More than a hundred billion, probably,  
5 wouldn't that be correct?

6 A. I don't know if it's more than a hundred  
7 billion.

8 Q. More than \$50 billion?

9 MS. ALVAREZ: Objection, form.

10 A. I'm not sure if TI is worth more than \$50  
11 billion.

12 Q. Somewhere between 10 billion and \$50  
13 billion?

14 MS. ALVAREZ: Objection, form.

15 A. I'm not sure. I -- I -- I know it's more  
16 than a billion. That's it. I'm not sure.

17 Q. How many shares of stock are there out  
18 there?

19 A. I don't know how many shares of stock, TI  
20 stock are out there.

21 Q. More than 500 million?

22 A. I don't know.

23 Q. Probably more than 500 million shares?

24 MS. ALVAREZ: Objection, form.

25 Q. Right?

1 A. I don't know.

2 Q. At what price per share?

3 A. TI stock price is somewhere around a  
4 hundred dollars per share currently.

5 Q. All right. Okay. So you can just simply  
6 take the number of shares and multiply that by the  
7 current price and get a pretty good idea of what the  
8 company's worth, can't you?

9 A. I don't know the details on -- on that.

10 Q. And so how much do these little switches  
11 cost?

12 A. In the 2- to 3-dollar range.

13 Q. Two to three dollars? How much did the  
14 switch cost that has the epoxy sealing the void in  
15 the electrical side of the switch?

16 A. I'm not sure exactly how much that switch  
17 costs.

18 Q. How much does that cost?

19 A. How much does --

20 MS. ALVAREZ: Objection, form.

21 A. -- what cost?

22 Q. How much it cost to take a TI speed  
23 control deactivation switch and fill the electrical  
24 side of the switch, fill the void with epoxy?

25 A. I'm not sure exactly how much that costs.

1 Q. Well, we need an idea of the range. How  
2 does that affect this \$2-switch, pennies?

3 A. Probably more than pennies.

4 Q. How many pennies?

5 A. Dimes. I don't -- I'm not sure exactly.

6 Q. Twenty or thirty cents?

7 A. Depending on what the epoxy was, how it  
8 needed to be applied.

9 Q. Okay. How would it need to be applied?

10 A. Depends what you're trying to achieve.

11 Q. Well, how was it applied on the -- the  
12 Volvo switch?

13 A. It was an epoxy preform that was put in  
14 the base of the terminals, then the part was heated  
15 up to a higher temperature and the epoxy would flow  
16 and then harden.

17 Q. Okay. So it flows through the void and  
18 makes a nice, neat seal around all the electrical  
19 components and hopefully keeps water out of the  
20 system, right?

21 A. Don't no whether its makes that -- a good  
22 seal or not, especially over the life.

23 Q. And then how much did that cost for the  
24 Volvos?

25 A. I'm not sure exactly. But again, in the

1 - ten, twenty-cent range.

2 Q. Okay. So the extra price to Volvo was  
3 something in the 10 to the 20-cent range to add the  
4 epoxy to fill the void in the electrical side of the  
5 switch, right?

6 A. I don't know exactly what the exact price  
7 was.

8 MR. JOLLY: Okay. I've already said  
9 on the record how I feel about continuing this  
10 deposition, so at this stage I'm not going to pass  
11 the witness because I'm not finished.

12 Okay. Maybe we'll meet again soon.

13 THE WITNESS: I'm sure we will.

14 MR. JOLLY: Thank you.

15 MR. MANSKE: Why don't we take a  
16 quick break and then I'll go ahead and change places  
17 with you, Norman, if I could, so I could be a little  
18 closer to the witness --

19 MR. JOLLY: Yeah.

20 MR. MANSKE: -- and --

21 THE VIDEOGRAPHER: Going off the  
22 record. The time now is 3:38.

23 (Recess had.)

24 (Exhibits No. 8 marked.)

25 THE VIDEOGRAPHER: We are back on the



1 record. The time now is 3:47.

2 EXAMINATION

3 Q. (BY MR. MANSKE) Mr. Beringhouse, my  
4 name is Jeff Manske and I'm one of the attorneys  
5 representing Ford Motor Company in this case.

6 I'd like to begin by seeing if I can  
7 get you to agree that when it comes to a component  
8 supplier for an automobile manufacturer there are  
9 essentially four significant events when it comes to  
10 that component supplier.

11 Now, the first one would be design,  
12 the second one would be manufacturing, the third one  
13 would be testing, including preproduction and  
14 production testing and the fourth one would be the  
15 field experience of the component suppliers'  
16 production part.

17 Can we agree that those are  
18 essentially the four major categories that a  
19 component supplier examines or might go through  
20 during the course of the life of a product?

21 A. Those sounds like four -- four major  
22 areas. I can't think of any others ones right now.

23 Q. Let's go ahead and talk a little bit about  
24 the brake pressure switch or the pressure switch in  
25 general. Let me go ahead and hand you Deposition

1 Exhibit No. 8 which is TI Document 604 and see if  
2 you can identify that for the record, please.

3 A. Yes, this looks like a -- a foil Andy  
4 McGuirk had put together and presented to Ford.

5 Q. And what does this document attempt to  
6 depict or establish?

7 A. This shows different types of switches  
8 that TI had been manufacturing and when  
9 manufacturing those switches began.

10 Q. And is it fair to say -- Tell me if I'm  
11 interpreting this particular chart right -- it looks  
12 like Ford began -- Not Ford -- Texas Instruments  
13 began manufacturing pressure switches in 1983  
14 according to this particular document?

15 A. According to this, TI started  
16 manufacturing power steering pressure switches in  
17 1983.

18 Q. And power steering pressure switches were  
19 the first application of a pressure switch that  
20 Texas Instruments first utilized; is that correct?

21 A. No. I believe air conditioning pressure  
22 switches was the first application --

23 Q. Okay.

24 A. -- that TI manufactured pressure switches.

25 Q. Why is it not on this foil?

1           A.    This refers to hydraulic switch history.  
2   And air condition switches, we consider a separate  
3   grouping, air conditioning switches.

4           Q.    Fair enough. I can understand that. As  
5   to high hydraulic switches then, the very first  
6   pressure -- hydraulic pressure switch that TI  
7   designed and manufactured would've been a power  
8   steering switch?

9           A.    I believe that's correct, yes.

10          Q.    And for whom would that power steering  
11   switch have been designed and manufactured?

12          A.    General Motors.

13          Q.    Okay. Let me hand you this series of  
14   documents that you produced today and see if you can  
15   look through that and see if that contains a  
16   document that has the pressure switch history for  
17   the various pressure -- hydraulic pressure switches  
18   designed and manufactured by Texas Instruments.

19                   THE VIDEOGRAPHER: Excuse me, sir.  
20   Could I have you put your microphone on?

21                   MR. MANSKE: Oh, sure.

22          Q.    All right.

23          A.    There's documents here that list the types  
24   of pressure switches manufactured by TI and there's  
25   documents here that define some of the switches

1 and -- and vehicles and customers that switches are  
2 applied to.

3 Q. Okay. The document you just had in your  
4 hand a moment ago, the one that's stapled together,  
5 that appears to be a chronological listing; is that  
6 correct? Let's go ahead and pull and separate that  
7 one out if we can.

8 A. (Witness complies.)

9 Q. Identify that particular chart by a TI  
10 document number at the bottom and tell me the range  
11 it goes through and I'll go ahead and mark it as the  
12 next Deposition Exhibit No. 9.

13 A. It's TI number 0011126. And not sure what  
14 you mean by range it goes through.

15 Q. What's the last number of documents, the  
16 document number that is stapled at the back and are  
17 the numbers consecutive in number?

18 A. Numbers are consecutive and the last  
19 number is TI 0011131.

20 Q. Now, let me go ahead and put the  
21 deposition sticker on there for 9.

22 (Exhibit No. 9 marked.)

23 Q. And see if you can identify whether or not  
24 that particular chart identifies hydraulic pressure  
25 switches manufactured by Texas Instruments from 1983

1 - up to the present.

2           A.    I'm not sure this lists all the pressure  
3 switches or not. Let me explain the background  
4 information on this document. This document is a  
5 design engineering cross reference list. It's used  
6 for reference only. I'm not sure if every piece of  
7 information on this document is correct or not.  
8 Design engineers would use this as -- as basic  
9 guidelines for some of the different basic switches  
10 that are in production and then to get detailed  
11 information would go to customer specifications or  
12 go to revision control drawings and the like. So  
13 I'm not sure if all the switches TI has manufactured  
14 since 1983 are on this document or not.

15           Q.    Is there a document that you've produced  
16 that would identify all the switches that TI has  
17 manufactured since '83?

18           A.    I'm not aware of any document that TI has  
19 that lists every switch made by -- every hydraulic  
20 switch made by TI since 1983.

21           Q.    Let's go back to Deposition Exhibit No. 8.  
22 That's the chart that you have in front of you.

23           A.    Yes.

24           Q.    Tell me the vehicle lines that General  
25 Motors utilized the power steering switch for with

1 the '83 switch that you have there.

2 A. I -- I don't know which lines they were.

3 Q. Do you know how that particular switch was  
4 constructed, whether or not that had a Kapton  
5 diaphragm, a crimping mechanism?

6 A. I do know that that switch had a Kapton  
7 diaphragm and a crimping mechanism.

8 Q. All the switches that we have identified  
9 or the categories of hydraulic switches identified  
10 on Deposition Exhibit No. 8, would those have  
11 utilized Kapton diaphragms and a crimping device of  
12 some type, be it a manual or automated machine?

13 A. Yes. All of the switches depicted here  
14 would've had Kapton diaphragms and some crimping --  
15 crimping mechanism.

16 Q. At any time throughout TI's history of  
17 designing and manufacturing hydraulic pressure  
18 switches, did they ever use any other material other  
19 than Kapton for the purpose of a diaphragm, if you  
20 understand my question?

21 A. In a production switch?

22 Q. Yes.

23 A. I'm not aware of any production switch  
24 where TI used -- Actually, let me take a step back.  
25 There is in our facility in Versailles, they make

1 switches for the commercial a/c marketplace and they  
2 use a welded diaphragm in place. But for the  
3 automotive switches that TI produces, I am not aware  
4 of any switch that doesn't use Kapton and doesn't  
5 use a -- a crimp.

6 Q. And the only source for the Kapton that's  
7 been utilized in automotive hydraulic pressure  
8 switches from 1983 to the present, would that be the  
9 Du -- DuPont company?

10 A. Yes. I believe all Kapton came from  
11 DuPont.

12 Q. Starting in 1983 when you were utilizing  
13 Kapton for the power steering pressure switches, did  
14 you utilize just one piece of Kapton in that  
15 particular part or did you have multiple pieces of  
16 Kapton or was it some other different application in  
17 its entirety?

18 A. I'm not sure if every application -- power  
19 steering application used the exact same number  
20 of -- of Kapton diaphragms. I know that typically  
21 we will use two Kapton diaphragms in our power  
22 steering pressure switches.

23 Q. Even as early as 1983?

24 A. I don't know the -- the specifics, whether  
25 those designs in 1983 used one or two layers of

1 Kapton.

2 Q. In 1983 time period, did you make power  
3 steering pressure switches for anyone other than  
4 General Motors?

5 A. I don't know.

6 Q. It appears from looking at Deposition  
7 Exhibit No. 8 that you made only power steering  
8 hydraulic pressure switches for automobiles and no  
9 other hydraulic pressure switches until the 1987  
10 time period; is that correct?

11 A. Yes, I believe that's correct.

12 Q. And were your only customers for hydraulic  
13 pressure switches from the '82 to '87 time period  
14 General Motors?

15 A. No. I believe we also produced a power  
16 steering pressure switch for Ford during that time  
17 frame.

18 Q. When did you first begin producing a power  
19 steering pressure switch for Ford?

20 A. I don't know the exact timing.

21 Q. Could you look at the document that we've  
22 identified as Deposition Exhibit No. 9 and see if  
23 that might contain that information, the reference  
24 chart you utilized earlier or referenced?

25 A. I can't tell for sure from -- from this



1 chart. It's possible. I know sometimes in Ford's  
2 part number they will put a number that signifies  
3 the date of the -- the -- the start of manufacture.  
4 But I'm not positive on some of these part numbers,  
5 the exact date of -- of initial manufacture.

6 Q. I notice that in your part number  
7 terminology it looked like the predecessor switch to  
8 the 77 -- Is it PSL2-1?

9 A. Yes.

10 Q. -- was a 57 introductory numeric to the  
11 part number. What's the difference between the 57  
12 and the 77?

13 A. The 57PS was an earlier design when the  
14 change from 57PS to the 77PS families is in the  
15 base. The primary change, instead of an S spring --  
16 spring arm, we used a L-shape spring arm in the  
17 77PS.

18 Q. You can show us what you mean by using  
19 this particular diagram, perhaps, which is  
20 Deposition Exhibit No. 6? It might make it a little  
21 more clear.

22 A. This -- This is a spring arm here  
23 (Indicating). There's an L-shape. In the 57PS,  
24 it's an S-shape.

25 Q. Okay. Why don't you show that for the

1 camera if you can just so anyone that happens to  
2 watch the video can understand that.

3 A. This spring arm here (indicating) is an  
4 L-shaped spring we use in the 77PS. In the 57PS  
5 this arm was an S-shaped spring arm.

6 Q. Going back to Deposition Exhibit No. 8,  
7 after you began utilizing or creating power steering  
8 pressure switches, what other category of pressure  
9 switches did Texas Instruments get into?

10 A. Suspension pressure switches, transmission  
11 pressure switches, cruise control pressure switches  
12 and clutch pressure switches.

13 Q. And it appears from this document that in  
14 1987, that's when you first began going into  
15 suspension pressure switches; is that correct?

16 A. Yes. According to this document, that's  
17 correct.

18 Q. Was Ford a customer for your 1987  
19 suspension pressure switches?

20 A. I believe this is referring to the  
21 pressure switch actually used on the brake line for  
22 Ford, but for their suspension system.

23 Q. What about for the 1990 development where  
24 you started creating and designing transmission  
25 pressure switches?

1 A. Those were for General Motors.

2 Q. And not for Ford at anytime from 1990 to  
3 the present?

4 A. No. We -- We began supplying our  
5 transmission pressure switch to Ford somewhere --  
6 sometime in the late '90s. I'm not sure of the  
7 exact time.

8 Q. And in 1991, is that when you first began  
9 utilizing speed control deactivation switches or  
10 what we've been referring to as a brake pressure  
11 switch?

12 A. 1991, I believe, was the first application  
13 of the speed control deactivation switch for Ford.

14 Q. And was the first ap -- Not for Ford. I  
15 want to know, for anybody.

16 A. As far as I know, Ford was the first  
17 application of brake deact -- brake speed  
18 deactivation control pressure switches.

19 Q. And was the first application for the  
20 speed control deactivation switch or brake pressure  
21 switch in the 1991 Lincoln Town Car?

22 A. As far as I know, it was the Lincoln -- it  
23 was the Lincoln Town Car.

24 Q. And we've heard reference throughout the  
25 day to a series of vehicles called the Panther

1 platform. Can you identify for us, if you know,  
2 what that consists of?

3 A. My understanding from Ford is, the Panther  
4 platform included the Lincoln Town Car, the Grand  
5 Marquis and the Crown Victoria.

6 Q. And the brake pressure switch that was  
7 first put in in November of 1991 into the Lincoln  
8 Town Car, is it your understanding that it was put  
9 into that vehicle before it was put into the Grand  
10 Marquis and the Crown Victoria?

11 A. My understanding for Ford was that the  
12 Town Car used the brake pressure switch first and  
13 that the Grand Marquis and the Crown Vic used it  
14 later.

15 Q. Is it fair to say that the switch that  
16 ended up in the 1991 Lincoln Town Cars evolved from  
17 the design utilized in the 1983 power steering  
18 pressure switch first utilized by General Motors?

19 A. I'd say the design used on the Lincoln  
20 Town Car evolved from the previous brake pressure  
21 switches supplied to Ford on their suspension  
22 system.

23 Q. But we still had the similarities with the  
24 1983 power steering pressure switch, but we have the  
25 use of the Kapton as a diaphragm, we have the