

EA02-025

FORD 10/27/03

APPENDIX N

BOOK 34 OF 61

PART 2 OF 6

IN THE CIRCUIT COURT OF JACKSON COUNTY, MISSISSIPPI
QUIDA CAMPBELL AND JAMES R. CAMPBELL PLAINTIFFS
VERSUS CASE NO. CI-99-0211(3)
FORD MOTOR COMPANY, D&L, INC. OF COLLINS
F/K/A D&L FORD, INC., WOOLWINE FORD LINCOLN-
MERCURY, INC., SUCCESSOR IN INTEREST TO D&L
FORD, INC., E.I. DU PONT DE NEMOURS AND
COMPANY, AND TEXAS INSTRUMENTS
INCORPORATED DEFENDANTS

ORAL AND VIDEO DEPOSITION OF
FREDERICK JAMES PORTER

NOVEMBER 17, 2000

Volume 3

THE ORIGINAL OF THIS TRANSCRIPT
WILL BE IN THE CUSTODY OF:

MICHAEL JOLLY, ESQUIRE
1018 PRESTON
4TH FLOOR
HOUSTON, TEXAS 77002
TEXAS BAR NO. 10856910

COPY

1 ORAL AND VIDEO DEPOSITION of FREDERICK JAMES
2 PORTER, produced as a witness at the instance of the
3 Plaintiffs, and duly sworn, was taken in the
4 above-styled and numbered cause on the 17th day of
5 November, 2000, before C. Lee Parks, Certified
6 Shorthand Reporter in and for the State of Texas,
7 reported by computerized stenotype machine, at the
8 offices of Feeney, Kellett, Wiener & Bush, P.C.,
9 35980 Woodward Avenue, Bloomfield Hills, Michigan
10 48304-0934, pursuant to the Mississippi Rules of
11 Civil Procedure and the provisions stated on the
12 record or attached hereto.

13
14
15
16
17
18
19
20
21
22
23
24
25

A P P E A R A N C E S

FOR PLAINTIFFS

MICHAEL JOLLY, ESQUIRE

Law Office of Michael Jolly

1018 Preston Avenue

4th Floor

Houston, Texas 77002

FOR DEFENDANT, FORD MOTOR COMPANY

JAMES P. FEENEY, ESQUIRE

Feeney, Kellett, Wiener & Bush, P.C.

35980 Woodward Avenue

Bloomfield Hills, Michigan 48304-0934

FOR DEFENDANT, FORD MOTOR COMPANY

JEFFREY C. MANSKE, ESQUIRE

Brown, McCarroll & Oaks Hartline, L.L.P.

111 Congress Avenue, Suite 1400

Austin, Texas 78701-4043

FOR DEFENDANT, TEXAS INSTRUMENTS INCORPORATED

ERIC J. MAYER, ESQUIRE

BRIAN D. MELTON, ESQUIRE

Susman, Godfrey, L.L.P.

1000 Louisiana, Suite 5100

Houston, Texas 77002-5096

FOR DEFENDANT, TEXAS INSTRUMENTS INCORPORATED

JOE R. COLINGO, ESQUIRE - NOT PRESENT

Colingo, Williams, Heidelberg,

Steinberger & McElhaney, P.A.

P.O. Box 1407

711 Delmas Avenue

Pascagoula, Mississippi 39568-1407

FOR DEFENDANT, E.I. Du Pont De Nemours and Company

Ms. Monique M. Weiner

Abbott, Simses, Knister & Kuchler

400 Lafayette Street, Suite 200

New Orleans, Louisiana 70130

C. Lee Parks, Texas CSR

9597 Jones Road, No. 363

Houston, Texas 77065

VIDEOGRAPHER: Jason J. Maher

ALSO PRESENT: Sherylle Gordon

INDEX

PAGE

Porter	
Examination by Mr. Feeney.....	5
Witness' Signature.....	153
Reporter's Certificate.....	155

EXHIBITS

PORTER

PAGE

EXHIBIT NO. 42.....	5
EXHIBIT NO. 43.....	15
EXHIBIT NO. 44.....	18
EXHIBIT NO. 45.....	44
EXHIBIT NO. 46.....	60
EXHIBIT NO. 47.....	74
EXHIBIT NO. 48.....	82
EXHIBIT NO. 49.....	89
EXHIBIT NO. 50.....	92
EXHIBIT NO. 51.....	96
EXHIBIT NO. 52.....	100
EXHIBIT NO. 53.....	106
EXHIBIT NO. 54.....	109
EXHIBIT NO. 55.....	112
EXHIBIT NO. 56.....	116
EXHIBIT NO. 57.....	116
EXHIBIT NO. 58.....	122
EXHIBIT NO. 59.....	126
EXHIBIT NO. 60.....	128
EXHIBIT NO. 61.....	134
EXHIBIT NO. 62.....	137
EXHIBIT NO. 63.....	141

* * *

(Exhibit No. 42 marked.)

THE VIDEOGRAPHER: On the record.

This is the continued video taped deposition of Fred Porter. Today is Friday, the 17th day of November. The year is 2000. The time is 10:29:05.

E X A M I N A T I O N

MR. MAYER: Mr. Feeney, may I have a continuing form objection like I had yesterday so I will not need to interrupt you?

MR. FEENEY: You may.

MR. MAYER: Thank you.

MR. FEENEY: Thank you very much.

Q. (BY MR. FEENEY) Good morning, Mr. Porter.

A. Good morning.

Q. Let me continue my examination of you, sir. I've put in front of you a document marked as Exhibit 42. Will you tell us, sir, have you ever seen that document before?

A. Yes, I have.

Q. What is that document?

A. It appears to be a document from May of 1989 for the model year '92 cruise control pressure switch showing the circuitry -- or showing circ -- circuit diagram that the pressure switch could be used in.

1 Q. Do you know who generated this document?

2 A. I believe this was generated by Texas
3 Instruments.

4 Q. And what makes you say that, sir?

5 A. For one, they developed -- or they
6 provided this information from previous discovery;
7 secondly, from documentation of the Highlights that
8 they presented, they referred to the early
9 development of the brake pressure switch as a cruise
10 control pressure switch. And I believe the
11 Precision Controls Design Engineering Group was a
12 part of Texas Instruments.

13 Q. Basically, does this document lay out the
14 basic circuitry pertaining to the brake pressure
15 switch?

16 A. In basic terms it does, yes.

17 Q. And are there certain specifications that
18 are identified there?

19 A. Yes. The lower corner identifies
20 specifications regarding the actuation and release
21 pressures, the burst pressure for this switch, the
22 number of cycles for the switch, the voltage level
23 that the switch can see and also it identifies some
24 current that -- that the circuit might use.

25 Q. Let's just go over the document briefly.

1 Under the one word, Overview, the document says: The
2 CCPS. I suppose that's a reference to the cruise
3 control pressure switch?

4 A. I would assume that.

5 Q. The cruise control pressure switch -- I'll
6 read it as such -- is a redundant safety device in a
7 new vacuum-less electronic cruise control designed
8 guy by Ford. Would you agree with that --

9 A. Yes.

10 Q. -- description or statement?

11 A. That is true.

12 Q. The document goes on to say:
13 Functionally, it replace the present vacuum dump
14 valve by de-energizing a clutch which connects the
15 throttle to an electric actuator. Is that an
16 accurate description of -- of the function of the
17 switch?

18 A. That would be correct also.

19 Q. And that is to say, the switch was
20 ultimately installed in the '92 Town Car?

21 A. That's correct.

22 Q. It goes on to say, the document does: It
23 is plumbed into the brake line. Is that true?

24 A. It would be plumbed into a portion of the
25 brake line system.

1 Q. When the driver applies pressure to the
2 brake pedal the normally closed switch opens,
3 disconnecting the actuator from the throttle
4 butterfly. Is that a generally accurate description
5 of --

6 A. That would be --

7 Q. -- how the switch works?

8 A. -- accurate, yes.

9 THE COURT REPORTER: Wait a minute.
10 One at a time, please.

11 THE WITNESS: Sorry.

12 Q. -- of how the switch works?

13 A. That would be generally accurate, yes.

14 Q. And then the specifications, it says:

15 Actuation: 150 psi, plus or minus 50. What does
16 that mean?

17 A. That means that the switch should open at
18 approximately a hundred-and-fifty psi, plus or minus
19 psi. So it could be as low as 100 and as high as
20 200.

21 Q. And Release: 100 psi min, what does that
22 mean?

23 A. That would mean that the switch should
24 close again and at a minimum of at least a hundred
25 psi.

1 Q. And Burst: 7000 psi, what does that mean?

2 A. That means that the switch should be able
3 to handle 7,000-psi of pressure without generating a
4 leak.

5 Q. And Cycles: 500K, 0 - 1450 psi, 2 Hz,
6 what does that mean?

7 A. That would mean to me that there's -- this
8 switch should be able to go through 500,000 cycles
9 zero to 1400 psi two times a second.

10 Q. And Voltage: Battery, what does that
11 mean?

12 A. That would mean that it's connected
13 directly to the battery.

14 Q. And what is the significance of that, sir?

15 A. That -- That the switch is going to be
16 subjected to the power that the battery may be able
17 to provide.

18 Q. If you look at the diagram, is the -- is
19 the brake pressure switch shown schematically on the
20 diagram?

21 A. Yes, it is.

22 Q. Is it the little circle there what -- that
23 says Brake Pressure and an arrow drawn to the left
24 of it?

25 A. That's correct. And on the right-hand

1 side it has the CP -- CCPS designation.

2 Q. And this is a schematic diagram; is that
3 right?

4 A. That's correct.

5 Q. And what does that mean?

6 A. That means it's a representation on paper
7 of -- of what the physical implementation would be.

8 Q. And is there a line drawn from the break
9 pressure switch to the battery?

10 A. Yes, there is.

11 Q. Is there anything between the battery and
12 the brake pressure switch?

13 A. No, there's not.

14 Q. Such as a relay?

15 A. There's no relay. There's no switch.

16 Q. What is the significance of that, sir?

17 A. To me, that would imply that who drew this
18 schematic was expecting the switch to be connected
19 to the battery directly.

20 Q. And, in fact, in terms of the -- in terms
21 of the actual installation in the '92 Town Car, how
22 is the switch powered?

23 A. In the '92 Town Car we put a fuse in
24 between if brake switch and the battery.

25 Q. And is the -- is the circuit itself

1 connected directly to the battery?

2 A. Yes.

3 Q. As shown in the schematic?

4 A. Generally speaking, yes.

5 Q. Taking the schematic and the
6 specifications together, what, if anything, does
7 this tell you about whether the switch is intended
8 to be hot wired all the time?

9 A. This tells me is that -- that somebody
10 understood that this switch was going to be
11 connected to the battery and that there were not
12 going to be other portions of the -- or other
13 devices in the circuit that would change the
14 operation, such as a -- a key off switch or a relay.

15 Q. As an electrical engineer, sir, looking at
16 this document, would it be reasonable for an
17 electrical engineer to conclude, looking at this
18 document, that this switch was not going to be
19 powered when the engine was off?

20 A. Yes.

21 Q. Was not going to be powered?

22 A. It would be reasonable to conclude
23 that -- Excuse me -- that the switch was going to be
24 powered when the engine was off.

25 Q. Now, let me look -- ask you the other way.

1 Would it be reasonable to conclude that the engine
2 was not -- that the switch was not going to be
3 powered when the engine was off?

4 A. No, it would not.

5 Q. Why not?

6 A. There is no indication there that the
7 engine in -- or that an ignition input is there to
8 switch the power off.

9 Q. Have you seen any documents -- other
10 documents produced by Texas Instruments at any time,
11 either during your investigation or since, that
12 would suggest that -- that this switch was shown in
13 some way in a preliminary drawing or in some fashion
14 as being anything other than hot wired all the time
15 whether the engine's on or off?

16 A. I have seen nothing that would've
17 represented to Texas Instruments that it was going
18 to be switched off at any time; that it would always
19 be hot.

20 Q. Which is exactly what Exhibit 42 says?

21 A. Correct.

22 Q. The current indication here is 0.75 amps.

23 A. Yes.

24 Q. What's that about?

25 A. That's an indication of the -- the current

1 draw that the magnetic clutch would need for the
2 speed control system.

3 Q. Is that -- How does that relate to the
4 voltage and the -- with the indication of the
5 battery?

6 A. There's -- It's just really a side note of
7 what -- what the circuit would be being used in the
8 application.

9 Q. Does that, standing alone, mean that the
10 batt -- that the switch is not going to be hot
11 wired?

12 A. No, it does not.

13 Q. Would a -- Would a reasonably prudent
14 electrical engineer conclude that by looking at this
15 drawing?

16 A. What you would conclude is that under
17 normal operations that 7 -- .75 amps is what the --
18 what the circuit would see. There are failure modes
19 that you could think of, looking at this circuit,
20 such as a short that would occur between the brake
21 pressure switch and the magnetic clutch that could
22 potentially draw more current; that -- that you'd
23 have to look out for, protect for, you'd -- and --
24 and I guess that's basically what you would look
25 for.

1 Q. All right. Let me go on now to a
2 difference topic.

3 MR. FEENEY: I don't have an extra
4 copy of this and we can -- we can mark -- if no one
5 has an objection, we probably all have this. This
6 is a -- This is a -- The document I'm going to be
7 referring to is Texas Instruments' Motion To Compel
8 Ford Motor To Fully Answer Discovery, which I
9 received a copy of by mail on November 14, 2000.
10 There's some things that are said in here that I'm
11 going to ask the witness some questions about. We
12 can put an exhibit sticker on it and --

13 A.

14 MR. MAYER: Why don't you make a
15 copy, since I don't have one with me, I'd -- if
16 you're going to ask him questions about it and make
17 it an exhibit. Why don't we take a quick break,
18 just make a copy and then we can go on.

19 MR. FEENEY: That's fine.

20 MR. MANSKE: We'll do that.

21 MR. FEENEY: Why don't we do that.
22 Mine is -- Let's go off the record for just a
23 second.

24 THE VIDEOGRAPHER: Off the record.
25 Off the record. The time is the 10:39:59.

1 (Recess taken.)

2 (Exhibit No. 43 marked.)

3 MR. FEENEY: So we can go back on.

4 THE VIDEOGRAPHER: We're back on the
5 record. The time is 10:43:56. 4

6 Q. Mr. Porter, yesterday in response to some
7 questions that you were asked by Mr. Mayer and, I
8 think, Mr. Jolly, you made reference to some work
9 that was actually done as part of your investigation
10 to evaluate the anti-lock brake system on the Town
11 Car and the pressures that were created in the brake
12 lines insofar as they may affect the brake pressure
13 switch. Do you recall those questions and your
14 answers?

15 A. Yes. I believe that I referred to some
16 testing that we had done on the Town Car.

17 Q. And --

18 MR. MAYER: Just a minute. Let me
19 just say, it doesn't have a Bates number on it. I
20 don't know whether it's been produced or not. Can
21 anybody -- counsel for Ford tell me whether it has?

22 MR. MANSKE: That document has been
23 produced by Ford recently. It's contained in the
24 notebook of materials from Michelle Vogler.

25 MR. MAYER: Okay. Does it have a

1 Bates range? This one just doesn't have it?

2 MR. MANSKE: The notebook may be
3 identified by number in its entirety --

4 MR. MAYER: Okay.

5 MR. MANSKE: -- and that document --
6 individual documents within the notebook do you not
7 have Bates numbers.

8 MR. MAYER: Okay. Thank you.

9 Q. All right. The -- The -- And just to put
10 this in context -- And I know we're repeating a
11 little bit -- but just tell us what those tests were
12 that were done and who did them.

13 A. Engineers at Ford -- Well, I guess, to put
14 it in context of the purpose, we were concerned that
15 we didn't know what kind of pressures were actually
16 being experienced at the brake pressure switch
17 during various kinds of stops. We wanted to know,
18 one, if the -- the pressures that were being
19 experienced were within the range of the
20 specification and -- and what that looked like
21 exactly. We outfitted the Lincoln Town Car with a
22 pressure transducer mounted at the brake pressure
23 switch; and I believe, another one mounted in
24 another location on the brake pressure -- on -- in
25 the brake system and had the vehicle go through

1 various types of stops, from easy stops to a -- a
2 panic type stop.

3 Q. And who actually -- who was the technician
4 that actually did this work --

5 A. I don't know --

6 Q. -- do you know?

7 A. -- who the technician was that actually
8 did that, no.

9 Q. Was it done at your direction as part of
10 the investigation?

11 A. Yes, it was.

12 Q. And you said you were interested in
13 learning about the pressures. Why was that of
14 interest to you?

15 A. There was concern that -- that there might
16 be a deficiency in the pressures identified in the
17 engineering specification and that -- that there
18 could be additional, I guess, wear or something put
19 onto the brake pressure switch as a result of -- of
20 say, ABS being applied.

21 Q. And was the nature of that concern sort of
22 a what if, I mean, so to speak?

23 A. Yes, it was.

24 Q. And -- And did you have any other --
25 Before you ran the test, I mean, did you have any

1 direct evidence that this was actually a problem or
2 a potential -- a problem that was occurring, that
3 was putting undue pressure on the switch?

4 A. No. We didn't have any evidence that said
5 we were putting undue evidence (sic.) on the switch.

6 Q. Okay. So you ran these tests. And you
7 wanted to find out what exactly in the test?

8 A. We wanted to find out what the pressure
9 ranges were at the brake pressure switch and what --
10 what kind of timing was involved with the -- with
11 the different kinds of stops.

12 Q. And did you find that information out?

13 A. Yes, we did.

14 Q. And was the -- was this information
15 collected and recorded as data as part of these
16 tests?

17 A. Yes, it was.

18 Q. And was the information then communicated
19 to you as the guy that was heading up the
20 investigation?

21 A. Yes, it was.

22 (Exhibit No. 44 marked.)

23 Q. And does Exhibit 44 -- What is Exhibit 44,
24 sir?

25 A. Exhibit 44 is a graphical representation

1 of the test -- or of data collected on -- on one of
2 the tests.

3 Q. And is this all the tests?

4 A. No. There are -- There are a series of
5 tests. This is just one of the those that were
6 done.

7 Q. This particular graph involves the 40 mile
8 per hour panic/aggressive ABS; is that right?

9 A. That's correct.

10 Q. Why -- Why was that particular condition
11 selected for testing?

12 A. Because that would be considered to be a
13 worst case situation of pressure applied in the
14 system and -- and having the ABS being active.

15 Q. Were you able to determine from the
16 results of this test whether the pressures that were
17 experienced at the brake pressure switch were --
18 whether they were within specification?

19 A. The pressures at the brake pressure switch
20 were well within the -- the specification
21 required -- or required by the specification and
22 even below the pressures that are part of the
23 impulse testing in the specification.

24 Q. And can you give us just a ballpark of how
25 much below and what the range -- what the spec was

1 and what the actual results were then of the tests?

2 A. Well, the spec for the brake pressure is
3 4,000 psi. The impulse test runs at 1,450 psi and
4 the pressures the pressures at the brake pressure
5 switch in these tests were at about 1,200 psi
6 maximum.

7 Q. There are three different graphs here on
8 this particular document. You -- And the first one
9 labeled Time History Data. Is the description
10 you've provided basically a description of what's in
11 the Time History Data chart?

12 A. Yes, it is.

13 Q. What about the second chart? It says
14 Switch Pressure versus Antilock Pressure. What's
15 depicted in that chart?

16 A. That depicts the -- a correlation between
17 the pressure at -- at the anti-lock system versus
18 the pressure that's observed at the brake pressure
19 switch. One of the things that you can note there
20 is that there's a knee in that curve at about 750
21 psi -- psi.

22 Q. By knee, you mean the direction and the --
23 and did style of the curve basically changes?

24 A. That's correct.

25 Q. Okay. What's the significance of that?

1 A. The significance of that is showing that
2 the brake pressure switch is on the other side of
3 the proportional valve from the main braking system;
4 and also, that would be an indication as to our
5 discussion yesterday on John Joyce's paper⁴ which he
6 was assuming that the brake pressure switch was not
7 on that side of the proportioning valve.

8 Q. He's the fellow that you referred to
9 yesterday in answering Mr. Mayer's question about
10 why the information or conclusions that he reached
11 that he provided to you were not relied upon by you?

12 A. That's correct.

13 Q. And that had something to do with where
14 the switch actually was as opposed to where he
15 assumed it might be?

16 A. That's correct.

17 Q. And this chart confirms what with respect
18 to that?

19 A. This would confirm that the -- the brake
20 pressure switch as on the other side of the
21 proportioning valve from where he was assuming.

22 Q. That's kind of another reason to disagree
23 with what Mr. Joyce said to you --

24 A. That's correct.

25 Q. -- in this investigation? Okay. And then

1 the third chart is the switch voltage versus switch
2 pressure?

3 A. That's correct.

4 Q. And what's that all about?

5 A. It's showing at what point the switch
6 opens up as it's design should be and basically that
7 at -- at around 130-psi it goes from a closed
8 condition to an open position.

9 Q. And how does that help you gain an
10 understanding as to whether the -- there's anything
11 about the anti-lock brake system that might be
12 involved in -- in posing an improper, unwanted load
13 or -- or condition on the brake pressure switch?

14 A. We don't see that switch jumping back and
15 forth and opening and closing during the anti-lock
16 brake system event.

17 Q. Are the tests -- And this is just one of
18 the tests that were run. There were other tests; is
19 that right?

20 A. That's correct.

21 Q. The -- In the block down here in the lower
22 right-hand corner says: Design Research
23 Engineering. How did they -- How are they involved
24 in any of this testing? I thought this done by
25 Ford.

1 A. We provided them with the -- the data
2 points that were part of this test and they plotted
3 that.

4 Q. Design Engineering -- Research Engineering
5 is a company that, Dr. Vogler is employed by?

6 A. I believe so, yes.

7 Q. And just for purposes of the record, you
8 know Dr. Vogler?

9 A. I have met her twice, I believe.

10 Q. And she's one of the experts that's been
11 retained in this case by Ford?

12 A. That's correct.

13 Q. Is this part of the information that --
14 that you relied upon as team leader to -- to arrive
15 at the conclusion that the anti-lock brake system
16 was not involved in the -- in the field
17 circumstances that gave rise to the -- to the
18 inquire from NHTSA?

19 A. This along with the data from subsequent
20 model years when we were not experiencing brake
21 pressure switch fires.

22 Q. And what was it about those subsequent
23 model years, the data from the field, that further
24 supported your conclusion that the anti-lock brake
25 system was not a -- a factor in whatever was

1 occurring in the field that produced these fires?

2 A. They were using the same anti-lock brake
3 system in the subsequent model years and
4 the -- there were not the events associated with the
5 brake pressure switch and as reported by Tom
6 Masters.

7 Q. At any time during the course of the
8 investigation, did the Texas Instruments ever inform
9 you that they believed -- I mean -- And by "they" I
10 mean the company, whoever might be representing the
11 company -- that they believed that the switch that
12 they supplied to Ford was experiencing some sort of
13 an abusive environmental condition that was causing
14 the switch to malfunction in an -- in an unwanted
15 way as a result of the performance of the anti-lock
16 brake system in the vehicle?

17 A. I believe there was some discussion along
18 that -- those lines, which was one of the reasons --
19 one of the other reasons that we ran these tests.

20 Q. Were the results of these tests shared
21 with Texas Instruments during the investigation?

22 A. I believe they were brought into a team
23 meeting or two that we were discussed (sic.).

24 Q. And was that the end of the discussion as
25 far as the anti-lock brake system was concerned?

1 A. I believe so, yes.

2 Q. Is there any information that you've been
3 provided as -- you know, field experience, for
4 example, since the issuance of the recall letter
5 that has caused you to change your view that -- to
6 rule out the anti-lock brake system as a factor in
7 any of these conditions?

8 A. I -- I still believe that the anti-lock
9 brake system is not a part -- is not a factor in
10 this condition.

11 Q. Do you know of any test that's been done,
12 that the results of which have been brought to your
13 attention that shows that -- that the anti-lock
14 brake system creates a pressure situation for the
15 switch that exceeds the specifications -- the design
16 specifications for the switch?

17 A. No, I don't.

18 Q. Okay. Okay. Let's take a look at Exhibit
19 43.

20 MR. FEENEY: Someone has the --
21 Someone's -- Someone's got the one with the sticker
22 on it, which doesn't make any difference to me.

23 MR. MAYER: That's right. I don't
24 have a copy.

25 MR. FEENEY: Okay. All right.

1 Q. I'll give you that one, Mr. Porter. We've
2 marked now Exhibit -- as Exhibit 43 a documents that
3 I don't suppose you've seen before, but it's styled
4 Texas Instruments' Motion To Compel Ford Motor
5 Company to Fully Answer Discovery. I just want to
6 ask you some questions, sir, take you through some
7 of this stuff.

8 MR. MAYER: Let me object. I think
9 it's outside of the scope of the deposition.

10 MR. FEENEY: Okay.

11 Q. The -- I'd like to direct your attention
12 to the first sentence of the last paragraph, sir.
13 It says: "Although Ford's internal documents
14 indicate that it did not know what was causing under
15 hood fires in 1992 Lincoln Town Cars, Ford
16 nonetheless issued a recall of T.I.'s switch in May,
17 1999. That's what it says, doesn't it?

18 A. That's correct.

19 Q. Now, do you agree with that statement,
20 sir?

21 A. No, I do not.

22 Q. Would you please share for us for benefit
23 of the ladies and gentlemen of the jury what your
24 view is as to whether or not Ford had an
25 understanding of the cause of the under hood fires

1 that were the subject of the recall?

2 A. Ford believes that the fires originated at
3 the brake pressure switch. What we don't understand
4 as far as a cause is to what manufacturing processes
5 were involved with creating the short-lived
6 diaphragms.

7 Q. The -- When you say that the fires
8 originated at the brake pressure switch or might
9 originate at the brake pressure switch on those
10 cases that involved that sort of scenario, what is
11 it that is required to occur within the brake
12 pressure switch in order for there to be a fire in
13 Ford's vehicle?

14 A. The -- The diaphragm of the brake pressure
15 switch needs to allow brake fluid to pass from the
16 braking system into the electronic components of the
17 switch.

18 Q. Is -- Is that something that Ford
19 understood by the time the recall letter was sent
20 out?

21 A. Yes, it is.

22 Q. Had you seen evidence in switches of that
23 that phenomenon actually occurring?

24 A. Yes, we did.

25 Q. Do you have any idea why T.I.'s lawyers

1 would tell the court in Mississippi that Ford did
2 not know what was causing the fires and they
3 recalled the part anyway?

4 A. No, I don't know why they would say that.

5 Q. Do you think that you issued a recall
6 letter for a part that was a mistake?

7 A. No, I do not.

8 Q. This document, Exhibit 43, goes on to say
9 on the next page: Ford's allegation -- I don't know
10 that what means -- but Ford's allegation regarding
11 T.I.'s switch is based solely upon statistics.
12 Now -- And then they go on to say that there is no
13 physical evidence that Texas Instruments' switches
14 were defective. First of all, sir, do you agree that
15 there is no physical evidence that Texas
16 Instruments' switches were defect?

17 A. No, I do not.

18 Q. Do you agree that your -- the decision to
19 recall was based solely upon statistics?

20 A. No, I do not.

21 Q. Now, I know you're not a lawyer. But does
22 the term physical evidence mean something to you as
23 the guy that headed up the investigation into this
24 problem?

25 A. To me, physical evidence would be a piece

1 of material or hardware that would be involved.

2 Q. Like the Memphis switch, for example?

3 A. Exactly.

4 Q. And what is it that you saw in the Memphis
5 switch that caused concern on your part that was --
6 constituted physical evidence of a defect in the
7 switch?

8 A. The Kapton diaphragm was creased and
9 perforated.

10 Q. And had it leaked?

11 A. And -- And did leak.

12 Q. And had you -- in -- in post-analysis,
13 sort of after-the-fact analysis, did you find
14 evidence of brake fluid in the electrical side of
15 the connector?

16 A. Yes, we did.

17 Q. And you found some kind of means of it
18 getting there through the membrane?

19 A. Exactly.

20 Q. Do you think that's physical evidence?

21 A. I would've thought that was physical
22 evidence.

23 Q. In the course of the investigation, did
24 you see other switches that had creases in them or
25 holes or separations that allowed -- would've

1 allowed fluid to leak through them?

2 A. Yes, we did. In fact, every switch that
3 we could identify as being part of a thermal event
4 that -- that we could understand or see what the
5 Kapton layer looked like was perforated and brake
6 fluid had penetrated.

7 Q. And were the switches, at least through
8 the -- the period of time of the investigation, were
9 switches of this type made available for the Texas
10 Instruments engineers that were working with you?

11 A. Yes, they were.

12 Q. Did they also have the opportunity to
13 observe this physical evidence?

14 A. I believe they did.

15 Q. Can you explain to me why these engineers
16 have got -- gotten -- have not gotten the word to
17 Texas Instruments' lawyers that this physical
18 evidence exists?

19 A. No, I can't.

20 Q. There's also a claim in here on this
21 pleading in Page 3 that -- that -- there seems to be
22 a suggestion that -- that the anti-lock brake
23 systems and the traction control systems -- at
24 least, Texas Instruments' lawyers seem to believe
25 that they may have had something to do with the

1 recall. Now, first of all, the tack (sic.) -- the
2 traction control, what is traction control? I know
3 you're not a brake expert, but what -- or a handling
4 expert. What is that?

5 A. Traction control is a method for keeping
6 traction between the tires and the road surface
7 during accelerations.

8 Q. All right. And how does that relate to
9 anti-lock brake systems, two different systems?

10 A. Well, they're -- they're generally
11 combined systems because they do use the anti-lock
12 braking hydraulics.

13 Q. Okay. Well, in evaluating the anti-lock
14 brake systems, were you also taking into
15 consideration whether the traction control system
16 had anything to do with degrading or compromising
17 the performance of the brake pressure switch in any
18 way?

19 A. Yes, we did.

20 Q. And how did you go about doing that?

21 A. It was similar to the tests that we did
22 with the -- the panic stops.

23 Q. And the results were?

24 A. That there was no effect.

25 Q. And again, were those results shared with

1 Texas Instruments?

2 A. I believe so.

3 Q. And after the results were shared, was
4 there any claim being advanced by the Texas
5 Instruments engineers that Ford had blown these
6 tests, hadn't gotten it right, it overlooked
7 something and they ought to keep working on that
8 part of the deal?

9 A. I don't recall that.

10 MR. MAYER: Let me just note that the
11 document I have, this exhibit, refers to some --
12 some exhibits, which in my copy are not attached.
13 So I would say at some point I think you should
14 supplement fully.

15 MR. FEENEY: Yeah. I will. That
16 Exhibit 4 -- Actually, you know what that is?
17 That's a list of some -- That's a service bulletin
18 that shows some various service repairs made to
19 anti-lock brake systems. It's an index to service
20 bulletins is what it is.

21 Q. And you're familiar with that?

22 A. Not really.

23 Q. Okay.

24 MR. MAYER: My -- My only request is
25 that the original be supplemented at some --

1 MR. FEENEY: That's fine. I'll
2 include that.

3 MR. MAYER: Okay.

4 Q. But here's my question: When -- When you
5 did the tests on the anti-lock brake systems you
6 were working on a -- you were working on a vehicle
7 that was what it was. I mean, it was a 1992 Town
8 Car?

9 A. That's correct.

10 Q. Okay. Are you familiar with the service
11 history on the Campbell vehicle?

12 A. No, I'm not.

13 Q. Do you have any view whatsoever as to
14 whether any of those service bulletins, that index
15 to any of those service bulletins had anything to do
16 with the performance of the anti-lock brake system
17 insofar as it affects these pressures that are
18 described here in this chart?

19 A. I'm not familiar with it.

20 Q. All right. Did anyone ever claim during
21 the course of this investigation that there was
22 something in the service history of the 1992 Lincoln
23 Town Car that was unique or in some way involved
24 with or explained why people were seeing fires
25 develop in the area of the brake pressure switch?

1 A. There were some discussions as far as
2 what -- what might happen under hood, but we didn't
3 know how what -- how customers necessarily would use
4 these vehicles. But, you know, I -- I guess it was
5 generically those kinds of things.

6 Q. Is there anything -- I mean, did -- did
7 anything pop up during the course of the
8 investigation that pointed to some specific or
9 discreet problem that was unique to the 1992 Town
10 Car?

11 A. No, there wasn't.

12 Q. You mentioned under hood. For example,
13 under hood temperatures in the '92 Town Car, any
14 reason to believe they're any different than they
15 are in the '96 Town Car, for example?

16 A. No.

17 Q. Or the '95?

18 A. No.

19 Q. Or the '94?

20 A. No.

21 Q. Or the '93?

22 A. No.

23 Q. This is all the same platform; isn't it?

24 A. It is?

25 Q. Now, there were changes. There were --

1 there was a platform change in '97 --

2 A. Right.

3 Q. -- Correct? But at least, insofar as the
4 '92 through '96 models are concerned, it's all the
5 same platform, right?

6 A. It's the same platform. There may have
7 been some freshening changes along the way also.

8 Q. Sure. But would you -- Did you find any
9 evidence during the course of your five-year -- of
10 that five-year model run to indicate that there was
11 any significant change in under hood temperatures --

12 A. No.

13 Q. -- in those -- in those vehicles? Now, I
14 go on to Page 4 and here on Page 4 they do get into
15 this 14-D. I mean, they say that -- they cite that
16 14-D thing and the Field Review Committee report and
17 the statement that you made: A root cause of these
18 under hood fires has not been conclusively
19 identified. Right?

20 A. Yes.

21 Q. Now, when we talk about root cause in that
22 context, what are we talking about?

23 A. I'm talking about the production process
24 that led to the Kapton diaphragms becoming
25 perforated.

1 Q. Did you mean to suggest by that statement
2 that you didn't believe the brake fluid leaked
3 through the Kapton membrane on some of these
4 vehicles?

5 A. No, sir, I did not.

6 Q. At the time you wrote this document, was
7 anyone disputing that?

8 A. No, they were not.

9 Q. It is -- It is true, I gather, that you
10 did not understand at that time what it was about
11 the manufacturing process that might have caused or
12 led to that possibility?

13 A. That's correct. On your previous
14 question --

15 Q. Yeah.

16 A. T.I. may have been disputing that -- that
17 that was the cause of the fires.

18 Q. And -- And actually you -- I think you
19 identified that yesterday or the day before. But
20 did they dispute the fact that brake fluid could
21 leak the membrane?

22 A. I don't believe they did.

23 Q. They may have disputed the cause, a cause
24 of the fire; but did they ever dispute that brake
25 fluid could leak through that membrane?

1 A. Not in -- Not in the Memphis switch.

2 Q. All right. Do you believe that an
3 analysis of any of the documents pertaining to the
4 anti-lock brake system or the traction control
5 system on the 1993, 1992 Lincoln Town Cars⁴ or any
6 other models of that platform would shed any light
7 whatsoever on the question of the -- the defect in
8 the switch that has led to some fires in the field?

9 A. I don't believe that it would.

10 Q. Why not?

11 A. As we discussed before, the -- the ABS
12 and -- and traction control systems fell well
13 within -- or -- or the pressures generated were well
14 within the specification of the switch and that when
15 those systems were operational they were not
16 operating in the range at where the switch would be
17 changing.

18 Q. Do you believe that an examination of
19 everything conceivable receivable fire that has ever
20 occurred in -- on a Lincoln Town Car, regardless of
21 the model year, would shed any light on the question
22 of why certain 1992 Lincoln Town Cars, Grand -- Gran
23 Marquis and Crown Vics experienced fires in the
24 brake pressure switch on the driver's side of the
25 vehicle?

1 A. I can't imagine why that would be.

2 Q. And why is that?

3 A. It's -- What -- What -- What our focus was
4 on was the brake pressure switch in particular. And
5 we were able to show that there was a pattern of --
6 of fires associated with the brake pressure switch.

7 Q. Any of these other systems or other -- or
8 these other events, did they -- did the -- did the
9 systems remain essentially the same in the later
10 model vehicles?

11 A. I can't say that for certain.

12 Q. That was the -- That was Masters group
13 that compiled the statistics and looked at all that
14 stuff; is that right?

15 A. That's correct.

16 Q. And do you know that Dr. Vogler has also
17 examined that same information?

18 A. I have heard that, yes.

19 Q. And have you had a chance to look at any
20 of the work she's done?

21 A. I have looked at some of the charts she's
22 done, especially on the trend data.

23 Q. Is there anything in her work that is
24 inconsistent with the analysis that was done by Ford
25 in 1999?

1 A. No, there's not.

2 Q. I realize it might be more detailed or
3 more involved or less detailed or less involved, but
4 is there anything inconsistent?

5 A. I believe the conclusions are the same.

6 Q. Okay. I want to go back to -- I'd like to
7 turn your attention now to Exhibit 24. I started
8 asking you some questions about Exhibit 24
9 yesterday, but I didn't complete that. Do you have
10 that in front of you, sir?

11 A. Yes, I do.

12 Q. Would you turn to the second page of that
13 document?

14 A. (Witness complies.)

15 Q. Yesterday I asked you some questions about
16 the cause of the crease mark and the statement
17 about -- I think we talked about the wired -- the
18 wire being hot all the time. But let me ask you,
19 sir, if you look up at the questions that are for
20 T.I., the first question is: What are the flash
21 points for all components materials used in the
22 switch? Do you understand what that means?

23 A. I believe that's a reference to the -- the
24 burning potential for the pieces in the switch.

25 Q. And why were you interested in that?

1 A. We were under -- trying to understand what
2 parts of the switch might be a fuel in a fire.

3 Q. Okay. We talked a lot about brake fluid
4 and -- but are -- There -- There are other
5 combustable building materials within the switch --

6 A. Yes, there are.

7 Q. -- assuming a fire starts? Or they --
8 they may actually be the -- the initial material
9 also?

10 A. Uh-huh.

11 Q. And you were interested in knowing the
12 temperatures at which those materials would ignite?

13 A. Yes.

14 Q. Or vapors from those materials might
15 ignite?

16 A. Or something to that effect.

17 Q. You were also asked the question -- or the
18 question's asked here: Are the material specs
19 submitted as to Ford the same materials specs used
20 on the model year '92, '93 applications? Now, what
21 was your thought process there?

22 A. During our -- our initial investigation we
23 were asking, you know, what materials were in the
24 switches. The specifications we received were dated
25 sometime after the '92, '93 model year and we wanted

1 to know if it was the same material or if there had
2 be some changes along the way.

3 Q. And why was that of interest to you?

4 A. If there were changes in what the -- what
5 the material was, then that would indicate a change
6 in the material properties.

7 Q. Now, the next question is the one I want
8 to spend a little time on. It says: Does our DFMEA
9 or PFMEA indentify any potential fire occurrences?
10 Do you understand the reference to "our" here being
11 the reference to T.I.'s DFMEA?

12 A. Since this is being written by a Texas
13 Instrument representative, I would expect that
14 that's referring to Texas Instruments.

15 Q. Tell the members of the jury, please, what
16 the -- these acronyms stand for, these letters stand
17 for, DFMEA and PFMEA.

18 A. The DFMEA stands for the Designed Failure
19 Modes and Effects Analysis, which is a study done on
20 the design of -- of a component. The PFMEA is the
21 Process Failure Modes and Effects Analysis. And
22 that's a related kind of study for a component, but
23 taking it's particular manufacturing process into
24 mind.

25 Q. And does a component supplier such as T.I.

1 do a -- Are they -- Do they do a T -- a DFMEA and a
2 PFMEA on any component that they supply to Ford?

3 A. It is expected that a component supplier
4 will do both of those -- both of those things.

5 Q. Now, is this a process that -- that Ford
6 dictates or controls or is this something that's up
7 to the T -- the supplier to do?

8 A. It's up to the supplier to do at -- and --
9 and Ford requires that of their suppliers to do
10 that.

11 Q. And did you during the course of the
12 investigation have the occasion to examine the
13 DFMEA, the Design Failure Mode and Effects Analysis
14 that Texas Instruments did for the switch in
15 question?

16 A. We did examine a -- a DFMEA for the switch
17 from Texas Instrument. I don't know at this point
18 in time what the date was on that.

19 Q. All right. And I'm going to get copies of
20 a portion of that. It's a big documents; isn't it?

21 A. It's a fairly large document

22 Q. I'm -- I've got -- There's one particular
23 page --

24 MR. MAYER: I'm going to object to
25 you putting in portions and not the full exhibit.

1 MR. FEENEY: I -- I'll supply the
2 whole thing. I just don't happen to have it handy.
3 And you're welcome to -- I'll get it for you at a
4 break.

5 Q. The -- The -- There's a particular page
6 that I'm interested in because the -- the DFMEA --
7 Let -- Let me ask you this: Does -- Is it broken
8 down so that it actually looks at component parts of
9 the component? I mean, is it that detailed?

10 A. It -- Its intent is to look at the various
11 pieces inside the component and understand what
12 their effects maybe on the operation of the overall
13 component.

14 Q. And did they -- And did it -- Did the
15 DFMEA actually look at the potential failure modes
16 for the diaphragm, the -- the Kapton membrane that
17 was part of the switch?

18 A. I believe there was a section for that.

19 Q. And in this case it said -- the -- the
20 DFMEA might refer to diaphragm. But do you
21 understand that to be the same thing as the Kapton
22 membrane that we've been talking about?

23 A. Yes.

24 Q. That would be the part of the switch that
25 had the crease in it in the Memphis switch?

1 A. That is correct.

2 Q. I'd like to show you, subject to
3 Mr. Mayer's objection --

4 MR. FEENEY: And I will at the break
5 get the complete --

6 MR. MAYER: Okay.

7 MR. FEENEY: -- document and I'm
8 happy to have that marked separately or why don't
9 we -- attachment or we can even substitute.

10 MR. MAYER: Substitute. We'll call
11 this DFMEA in place of this exhibit.

12 MR. FEENEY: We'll put -- We'll call
13 this Exhibit 45. I think, 45; is that correct?
14 And --

15 MR. MAYER: Thank you.

16 (Exhibit No. 45 marked.)

17 Q. I've marked as Exhibit 45 -- and we'll
18 substitute the complete DFMEA -- but this is a
19 portion of the DFMEA. Do you recognize it as such,
20 sir?

21 A. Yes, sir.

22 Q. And just so that we gain an understanding
23 of what we're looking at, if we look at the
24 description under part, name, number and
25 description, we see diaphragm and then there's some

1 numbers and it's says: Form flexible element of
2 fluid -- forms flexible element of fluids
3 containment cavity, transfers pressure from fluid to
4 sensing elements. So that's really a description of
5 what the diaphragm does?

6 A. That's correct.

7 Q. And it really performs two functions, does
8 it's not?

9 A. (Witness nods head.)

10 Q. You have to say your answer.

11 A. Yes, does it.

12 Q. All right. Let's distinguish those two
13 functions if we can. The first function has to do
14 with the containment cavity. What -- Tell us about
15 that, sir.

16 A. As part of the brake pressure switch,
17 there's a -- a cavity that's formed by the hex port
18 that screws into the proportioning valve on the Town
19 Car and then the diaphragm is on the -- on the other
20 side of that cavity. And it the area that the brake
21 fluid is intended to be as part of the switch.

22 Q. And that actually, in the -- in the -- in
23 the documents itself, that part where the brake
24 fluid is is actually called a fluid containment
25 cavity?

1 A. In this -- In this FMEA, correct.

2 Q. I mean, this DFMEA, in other words, the
3 designer can see that part of the component as a
4 containment cavity?

5 A. That's correct.

6 Q. Containment, meaning you don't want
7 anything to get out of there?

8 A. Exactly.

9 Q. And is that one of the purposes of the
10 diaphragm, that it forms the flexible element of the
11 fluid containment cavity?

12 A. Yes, it is.

13 Q. And the so-called nonflexible elements
14 would be the other walls of the cavity?

15 A. That would be correct.

16 Q. In this case, made of what, plastic?

17 A. I believe it's made of metal.

18 Q. Metal. Okay. So we've got metal on three
19 sides and we've got the diaphragm on the other side?

20 A. I believe there's also a gasket.

21 Q. Gasket too. And there's probably a
22 gasket -- The gasket's probably described in the
23 DFMEA too?

24 A. I would think so, yes.

25 Q. All right. So one purpose is, it forms

1 part of the structure that's called the containment
2 cavity?

3 A. That's correct.

4 Q. And its job is to keep the brake fluid in
5 the from the cavity?

6 A. That's true.

7 Q. The other thing it says is: Transfers
8 pressure from fluid to pressure sensing elements.
9 What does that mean?

10 A. That means being able to take the pressure
11 that's -- that could be generated on the fluid side
12 and allow that to transfer into the mechanical side
13 where the -- the decision, shall we say, on whether
14 the switch is going to be open or closed occurs.

15 Q. All right. Now, the way this works is
16 that you -- the designer identifies the part and
17 then there's another column and it says: Potential
18 failure mode. And typically what we see in there is
19 what?

20 A. What we see there are what -- what modes
21 would be associated with not performing the
22 functions previously identified.

23 Q. Okay. And the -- Is there one or more
24 than one failure mode identified for the diaphragm?

25 A. This -- This FMEA has three failure modes

1 identified.

2 Q. And what's the first failure mode?

3 A. Fail to contain fluid.

4 Q. Well, that's pretty basic?

5 A. That's pretty basic.

6 Q. That goes to this business of the fact
7 that it's part of the fluid containment cavity?

8 A. That's correct.

9 Q. And if we go to the next category we see
10 Potential Effects of Failure. And what are they?

11 A. Potential effects of failure are, if that
12 failure mode occurs, what would we expect to happen.
13 And in this case the expectation is that the brake
14 fluid -- or there's brake fluid -- fluid leakage.

15 Q. Pretty basic?

16 A. Pretty basic.

17 Q. So if the part doesn't do its job, that
18 means it would fail to contain fluid and there would
19 be leakage?

20 A. That's correct.

21 Q. And the designer is basically laying this
22 out as -- as what could happen; is that right?

23 A. He's laying this out to help him
24 understand what his design alternatives are.

25 Q. All right. Now, the next column, it says:

1 SEV, period. Does that stand for severity?

2 A. Yes, it does.

3 Q. And there's a 9 underneath that?

4 A. That's correct.

5 Q. What is the scale that is the designer has
6 available to him to rank the severity of a failure?

7 A. The scale is from 1 to 10, where 1 is the
8 least severe and 10 is the most severe.

9 Q. What does a 9 rating mean to you, sir?

10 A. It's a very severe failure. And, in fact,
11 our -- our processes indicate that we need to pay
12 special attention to 9 and 10 ratings.

13 Q. Actually, I think it's described as
14 Hazardous With Warning, doesn't it?

15 A. That's correct.

16 Q. Why would -- Why would -- And I think 10
17 is a Hazardous Without a Warning. Why would this be
18 a Hazardous With A Warning?

19 A. What we learned is, of course, if there's
20 brake fluid leakage, it could result in a fire.

21 Q. That sounds hazardous.

22 A. That sounds hazardous. The other thing
23 that this could be is if brake fluid is leaking,
24 then the brake fluid would escape from the brake
25 system and leave the customer without braking

1 system.

2 Q. All right. That sounds hazardous too?

3 A. Yes, it does.

4 Q. What's the warning part? Why would this
5 be 9er as opposed to a 10?

6 A. I believe that the -- the warning that's
7 being indicated is that if the brake fluid drops to
8 a certain point in the braking system, that the
9 brake lamp will come on.

10 Q. Then there are a whole bunch of entries in
11 the next category. It's called: Potential Causes
12 Of Failure.

13 A. Yes.

14 Q. Do you see that?

15 A. Yes.

16 Q. And basically -- Again, typically, what do
17 you typically -- what's the designer supposed to be
18 doing in terms of filling out that cavity,
19 typically?

20 A. He's thinking about what the -- what may
21 be the reasons why that -- the -- the previous
22 identified failure mode could happen.

23 Q. Why might you get a leak --

24 A. Correct.

25 Q. -- in this particular case? In one sense

1 it could be considered a brainstorming. Okay. And
2 basically, is he supposed to put down there kind of
3 every conceivable way that he can think of that
4 there might be a leak?

5 A. That's correct.

6 Q. And if he thought he was supplying a part,
7 for example, that would wear out before the life of
8 the vehicle, might this be -- just through normal
9 wear and tear, might this been a failure mode?

10 A. I would think so.

11 Q. Now, let's just go through what the
12 designer of the parts that is involved here thought
13 of. First thing he said was: Gradual rupture over
14 life due to improper design of supporting elements.
15 Does that sounds like normal wear and tear to you?

16 A. No, it doesn't. It sounds like a design
17 problem.

18 Q. Can you figure out what he's talking about
19 there in terms of the supporting elements?

20 A. I -- I believe that what you might
21 consider supporting elements would be the various
22 parts around the diaphragm that are in contact with
23 the diaphragm.

24 Q. Okay. So he's just saying, if something
25 goes haywire with one of the elements that's in

1 contact with the diaphragm, from a design
2 standpoint, that could create a problem?

3 A. That is correct.

4 Q. All right. And -- And again, this is just
5 a brainstorm; it doesn't mean that it's going to
6 happen, right?

7 A. Correct.

8 Q. All right. But then the other brainstorm
9 he's got is: Gradual rupture over life due to
10 excessive flexure displacement. Now, that excessive
11 stuff, what does that mean?

12 A. I think, more than expected. And since --
13 So that would be moving it a greater amount, a
14 farther distance than -- than was anticipated in the
15 design.

16 Q. Now, what's the reference to "life" mean,
17 over life?

18 A. That would be the life of the vehicle, I
19 would think.

20 Q. And what makes you say that?

21 A. Because there is no intended service to be
22 made for this part.

23 Q. If -- If the designer was thinking that
24 gradual rupture might occur over life due to normal
25 flexure did displacement -- I mean, this part does

1 move over the life of the part, does it not?

2 A. That's correct.

3 Q. I mean, it moves everytime you apply the
4 brakes?

5 A. That's right.

6 Q. So if the designer was thinking that it's
7 going to leak just as a result of normal flexing
8 over the life of the parts, would that be a separate
9 and distinct failure mode?

10 A. I would think it would be.

11 Q. But that's not what this says, is it?

12 A. That's not what this says.

13 Q. The next one is: Gradual rupture over
14 life due to stress concentrations caused asymmetric
15 strain distribution. That's a lot of fancy words.
16 What does that mean to you?

17 A. That means a possible rupture due to the
18 way the piece of Kapton is being pulled or -- or
19 pinched.

20 Q. Now, that sounds likes that might have
21 something to do with actually what happened on the
22 Memphis switch?

23 A. It sounds that way.

24 Q. I mean, if you assume that the crease as a
25 result of a pinched Kapton?

1 A. That's correct.

2 Q. And so that was something where the
3 designer saying, hey, if that happens, it could
4 result in leakage?

5 A. That's correct.

6 Q. Again, is that a statements about just
7 leaking due to normal flexing, wear and tear over
8 the life of the part?

9 A. No. This would be a special case, I
10 believe.

11 Q. Then it says: Chemical attack due to
12 incorrect material specified. I mean, I guess
13 that's the wrong Kapton; is that right, or the wrong
14 brake fluid? I don't know. What is that about?

15 A. That's what I would assume, either picking
16 the wrong Kapton.

17 Q. Any evidence at this point that would
18 indicate that in any of the switches that were
19 examined that the Kapton that was in the switch was
20 anything other than what T.I. purchased or they
21 thought they were buying?

22 A. I don't have that kind of information.

23 Q. Okay. But that would be a possibility --
24 that's the possible sort of failure mode that --

25 A. That's what they're brainstorming, yes.

1 Q. And then the other thing: Incorrect
2 thickness for number of layers of diaphragm
3 material. Again, some kind of a manufacturing
4 anomaly where they just didn't get the right number
5 of layers in there?

6 A. That's correct.

7 Q. And insufficient location clamping of the
8 diaphragm. Again, a manufacturing sort of problem?

9 A. That's correct.

10 Q. Is there anywhere contained in any of this
11 list of potential causes of failure the concept that
12 the Kapton, if it's properly manufactured, if it's
13 properly -- if the supporting elements are properly
14 designed, any indication whatsoever that the Kapton,
15 through flexure over time, is going to wear out and
16 leak?

17 A. All of these appear to have special causes
18 that would cause the Kapton to -- to leak. So to
19 answer your question, there don't seem to be any --
20 any other cases here, no.

21 Q. And if the designer -- Now, first of all,
22 was there any indication whatsoever here -- Going
23 back to this question that was asked -- Does our
24 DFMEA or PFMEA identify any potential fire
25 occurrences, was there anything in the analysis of

1 these potential effects of failure, causes of the
2 failure or anything like that where they identified
3 a potential effect of fire?

4 A. No, they did not.

5 Q. I guess that answers that question with
6 respect to DPMEA?

7 A. Yes.

8 Q. Now, the other stuff here that we have on
9 the diaphragm, change in area of pressuring,
10 transfer over life, what's that about?

11 A. I guess I'm not exactly sure what -- what
12 that refers to in their mind. But they identify as
13 one of the effects, that it would change the
14 pressure at which -- the set point at which the
15 switch would -- would change it's states.

16 Q. Is That a change that results in a
17 leakage --

18 A. No, it is not.

19 Q. -- according there dia -- this diagram?

20 A. No, it is not.

21 Q. That says: Excessive change of pressure
22 transfer area versus pressure down below. Is that
23 right?

24 A. Correct.

25 Q. Is that something that they say would

1 result in a leakage?

2 A. No, it is not.

3 Q. So the only brake -- only leakage analysis
4 that we see involving the diaphragm is what we've
5 just gone over?

6 A. That's correct.

7 Q. Now, design verification is -- Well,
8 actually I forgot. This is OCC -- We go back to
9 potential causes of failure. It says: OCC. Do you
10 see that?

11 A. That's correct.

12 Q. That's -- That stands for occurrence,
13 doesn't it?

14 A. That's correct.

15 Q. And there we have a 1 --

16 A. Yes.

17 Q. -- is that right? Now, what does that
18 mean?

19 A. Occurrence also has a 1 to 10 scale
20 where -- where a 1 is the least common occurrence --
21 10 is the most occurrence. A 1 indicates a less
22 than 1 in 1.5 million cases of occurrence.

23 Q. Okay. And I have a document that will
24 verify that. So the leakage potential -- I mean,
25 there's old phrase, one in a million -- But in terms

1 of your -- the -- the scale ranking, it's really one
2 in 1.5 million occurrences?

3 A. Or less than that.

4 Q. Less than that. So we have a -- We have a
5 scenario which is hazardous, but ranked as "unlikely?"

6 A. That's correct.

7 Q. And again, by the designer of the switch?

8 A. That's correct.

9 Q. And in no event do we have a scenario
10 identified which says there's going to be a leak as
11 a result of normal wear and tear?

12 A. That's correct.

13 Q. Is it reasonable to assign a zero
14 probability to that based upon this?

15 A. Well, in the FMEA mode there's a -- a zero
16 probability is not one of the choices.

17 Q. Okay. But if it's not in there?

18 A. I guess that would be the case.

19 Q. Less than one in a 1.5 million, not even
20 identified as a likely mode of failure; is that
21 right?

22 A. That's correct.

23 Q. But there are a number of manufacturing
24 snaffoos that are identified as potentially
25 resulting in leakage?

1 A. That is correct.

2 Q. And the design ver -- verification for all
3 this is the burst impulse and thermal cycle tests,
4 material manufacturing recommendations and
5 comparison of design with similar simple products;
6 is that right?

7 A. That's right.

8 Q. Now, do you suppose that T.I. actually
9 went out and compared their design with similar
10 products?

11 A. I think they may have compared it with
12 similar Texas Instrument products.

13 Q. Do you know that?

14 A. No, I do not.

15 Q. Do you know what products they compared it
16 to?

17 A. No, I do not.

18 Q. Remember, I was asking you yesterday about
19 the responsibilities of a Q-1 supplier, how they
20 might go out and inform themselves of what is being
21 done in the industry and how their products compare
22 with what's being done?

23 A. That's correct.

24 Q. Do you think that that might be some
25 indication that perhaps they actually were doing

1 that?

2 A. Yes.

3 Q. And there's nothing wrong with that,
4 right?

5 A. Correct.

6 Q. I mean, that's what you'd expected them to
7 do --

8 A. That's correct.

9 (Exhibit No. 46 marked.)

10 Q. -- right? Okay. Exhibit 46 is that chart
11 that I was telling you about, you and I were
12 discussing?

13 A. Yes.

14 Q. And that particular document --

15 MR. MAYER: Has that been produced?

16 Q. -- explains --

17 MR. FEENEY: I don't know.

18 MR. MAYER: Has not been produced
19 prior to today?

20 MR. FEENEY: No.

21 MR. MAYER: Well, I would object to
22 it being used.

23 MR. FEENEY: Okay.

24 Q. Where do you suppose T.I. got that ranking
25 of 1?

1 A. They probably got it from the DFM -- or
2 the FMEA manual that either they have of their own
3 or they got from Ford Motor Company.

4 Q. Do you suppose that they had that ranking
5 in their possession in 1989 when they filled this
6 thing out?

7 A. Well, the date on this is 1992, but --

8 Q. '92?

9 A. Yes.

10 Q. Okay.

11 MR. MAYER: My date's 1998.

12 MR. FEENEY: Yeah.

13 Q. Well, do you think the 1 has changed any?

14 A. In upper left-hand corner it says February
15 7th, 1992 of -- of the FMEA -- of the FMEA.

16 MR. MAYER: Well, he's asking you
17 about a different exhibit now.

18 Q. Yeah. Do you think -- Do you think that
19 that rank order has changed over the years?

20 A. No, it has not.

21 Q. Do you think that 1 meant something
22 different in 1992?

23 A. No.

24 Q. Do you think it would be responsible for
25 T.1. to fill out a DFMEA in 1992 and put down a 1

1 for an occurrence rating without knowing what that
2 meant?

3 A. No, I do not.

4 Q. In your experience, do Q-1 suppliers do
5 that sort of thing?

6 A. No, they did not.

7 Q. Do you suppose it would come as a surprise
8 to the guy that filled this out, if I were to
9 point -- if you were to hand him that chart today
10 and say, hey, that I meant one in 1.5 million?

11 A. I don't think it would.

12 Q. Do you think that Mr. Mayer is truly
13 surprised by this document?

14 A. No, I do not.

15 MR. MAYER: All my objections are
16 reserved. I just wanted you to know that. Don't
17 let my silence be taken as anything other I'm
18 simply.

19 MR. FEENEY: Okay.

20 MR. MAYER: Abiding by that --

21 MR. FEENEY: All right. Okay. Fine.
22 That's fine.

23 MR. MAYER: -- stipulation that we
24 have, that all my objections are reserved.

25 Q. Now, let's get back to -- Let's get back

1 to this. The design verification, burst impulse and
2 thermal cycle test; now, looking at these design
3 verifications, does -- I mean, do you interpret that
4 as somehow a -- a bulletproof guarantee that there
5 isn't going to be a manufacturing anomaly that's
6 going to lead to leakage?

7 A. Given the light of what we know that was
8 going on at Texas Instruments in 1991 and '92, and
9 their concerns over the -- the diaphragm opening up,
10 allowing brake fluid to get through, first of all, I
11 don't think the design specification should've been
12 considered the only verification for that.

13 Q. Why not?

14 A. Because those were minimum tests. And
15 given that there was a problem identified during the
16 design process, one would want to make sure that
17 they had a design fix in place that -- that fixed
18 the problem. Also, with respect to that and given
19 that this is a February, 1992 document, I'm kind of
20 surprised that they rated the occurrence as a 1,
21 since they were having problems with the life of the
22 diaphragm.

23 Q. Matter of fact, around about February of
24 1992, by that time, had their own internal documents
25 identified the fact that they had gotten switches to

1 pass that were leaking?

2 A. That's correct.

3 Q. So the design -- relying on the design
4 verification of passing an impulse test when you
5 know you've got leaks, does that seem to be a
6 reasonably prudent course of action?

7 A. No, it doesn't.

8 Q. And -- And is it true that it is
9 documented in their own records that they had leaks
10 and passes by February of 1992?

11 A. I believe they did.

12 Q. We'll get to those later. Now, going back
13 here to Exhibit 24 there's this reference to: Has
14 our IP testing showed any failures or concerns?
15 Confirm IP testing results. And would the IP be a
16 reference to impulse testing?

17 A. I believe, in this case the IP would refer
18 to in-process testing.

19 Q. In-process testing. Okay. And what's
20 that?

21 A. It's part of the production process. A
22 certain number of samples of -- of a component are
23 taken out and tested for -- for their conformance to
24 specification.

25 Q. And did T.I. report to you at some point

1 in the investigation that, no, the in-process
2 testing didn't show any concerns?

3 A. They -- They reported a summary that there
4 were no concerns with in-process testing.

5 Q. And this was a summary. Did they ever
6 show any underlying data for that summary for those
7 tests?

8 A. No, they did not.

9 Q. Ever give you any underlying data for
10 those tests?

11 A. No, they did not.

12 Q. And basically, the summary said, in
13 essence, tested this part and passed?

14 A. I believe it said: Tested, five parts
15 passed.

16 Q. The -- When they reported that information
17 to you as part of the investigation, did they tell
18 you that, in fact, they had gotten passes with parts
19 that were leaking?

20 A. They did not indicate that, no.

21 Q. Okay. Let's move on to another document
22 here.

23 MR. MAYER: When you come to a
24 convenient stop, it's fine.

25 MR. FEENEY: Fine.

1 MR. MAYER: I wouldn't mind taking a
2 lunch break. I've got a call I'd like to do a
3 little before noon and then I'd -- then I'd like to
4 just grab lunch.

5 MR. FEENEY: Okay. What's your
6 pleasure? I mean, I can -- I'm going on to another
7 document. We can -- We can go there or we can stop
8 or -- I don't care. Whatever you guys want to do.

9 MR. MAYER: I'll -- I'll leave it up
10 to the table. Let's go off the record.

11 THE VIDEOGRAPHER: Off the record.
12 The time is 11:33:05.

13 (Recess taken.)

14 THE VIDEOGRAPHER: Back on the
15 record. The time is 11:43:37.

16 Q. Let me direct your attention to Exhibit
17 25, Mr. Porter. You were asked some questions about
18 this paper yesterday. And I'd like to just -- if
19 you will, turn to the second page of that document.
20 It's dated February 8, 1999 and apparently this is
21 an E-Mail or a letter of some kind from
22 Mr. Beringhouse you to?

23 A. That's correct.

24 Q. And you were asked some questions about
25 this. I just want to go over this again. This was

1 a document that Mr. Beringhouse was forwarding to
2 you as the investigation was going on?

3 A. That's correct.

4 Q. And he's talking about this idea of a
5 relay circuit. And there's been a lot of discussion
6 about that, correct?

7 A. That's correct.

8 Q. Okay. And if you skip to the -- to the --
9 If you go through the document, he says: As we
10 discussed over the phone Friday, per your request,
11 we looked at the possibilities of adding a fuse
12 line -- fuse in-line with the pressure switch.
13 However, we think a more appropriate solution might
14 be to use a relay circuit. And schematic attached.
15 And you talked about that yesterday and, indeed, you
16 did discuss with T.I. the possibility of a relay
17 circuit --

18 A. That's correct.

19 Q. -- is that correct? And he goes on to
20 say: Our understanding of the application is that
21 the brake pressure switch is a failsafe component to
22 shut off the cruise control if the standard brake
23 light switch fails. And is that true? I mean, is
24 that basically what the purpose of the switch is?

25 A. That's correct.

1 Q. And you go on to say that -- He goes on to
2 say: The brake switch, therefore, only needs to be
3 powered when the cruise control is on. Now, in
4 terms of shutting off the cruise control, if did
5 standards brake light switch fails, is that
6 statements true so far as it goes?

7 A. So far it goes.

8 Q. Okay. Could you elaborate on that?

9 A. Well, if -- if cruise control is not
10 engaged, then power does not need to be applied to
11 the cruise control system for the -- for the
12 operating period that that's going on. However,
13 when you look at the failure modes of the overall
14 system and what -- what you need to do for the
15 system failure modes, then what you find is that you
16 need to have that -- the cruise control clutch coil
17 circuit powered by the same power as the -- the
18 brake lamp switch.

19 Q. And why is that?

20 A. As we were trying to explain yesterday, if
21 the brake lamp switch were to fail or -- or if the
22 fuse to the brake lamp switch were -- were to fail,
23 then you would not be able to detect -- or would not
24 be able to shut off the -- the power to the cruise
25 control or -- or that would also shut off the power

1 to the cruise control and then you wouldn't have the
2 problem with the brake lamp -- brake lamp switch
3 failing.

4 Q. All right. So can you -- I mean, this --
5 this to me -- I'm not an electrical engineer and
6 this all gets fairly complicated to me. In simple
7 terms that perhaps someone with not an electrical
8 engineering background can understand, can you
9 explain to me and to the members of the jury what
10 the disadvantage is to introducing a relay circuit
11 that would shut off power to the switch under the
12 circumstances described in this proposal?

13 A. To introduce a relay circuit to shut off
14 the power to the switch as -- as a recall action
15 as -- as defined here would lead to the potential
16 for a miswiring, first of all, of the switch in --
17 into the system, and so it may not even be as
18 effective as expected.

19 Q. That's the field problem, the field
20 installation problem --

21 A. A field installation.

22 Q. -- we talked about yesterday? What else?

23 A. Also, even if it is installed correctly,
24 since it would be breaking into the insulation of --
25 of the wiring, that would offer an opportunity

1 for -- for another failure mode of that and possibly
2 rendering the brake lamp system inoperative or
3 certainly the speed control system inoperative.

4 Q. And why was the -- When -- The way it was
5 done originally, as part fortunate original design,
6 just tell us again in the context of what you just
7 said then, why was it done the way it was done in
8 the first place?

9 A. The way it was done in the first place was
10 to make sure that with a switch that was operating
11 as designed, that the failure modes that -- that
12 were predicted by the -- the various components of
13 the speed control system could be overcome and meet
14 the customer's expectations.

15 Q. If there's no brake fluid leaking through
16 the membrane, is there any reason to have the relay?

17 A. No, there is not.

18 Q. This document says that: By placing a
19 normally open relay in the circuit and only closing
20 the relay, when the cruise control is activated the
21 switch will only be powered when it needs to be when
22 the cruise control is enabled. Again, is that true
23 so far it goes?

24 A. So far it goes.

25 Q. If you are correct that the high current

1 draws the source of ignition, a relay would be a
2 better solution than an in-line fuse because the
3 relay prevents the high current situation from
4 happening rather than reacting once it does occur.
5 Let me ask it to you this way: In the absence of a
6 fluid corroding the switch and/or igniting, is there
7 any reason to shut off power to the switch?

8 A. No, there's not.

9 Q. And was there any intent on the part of
10 the -- Was there any design intent or design concept
11 in the switch that contemplated that brake fluid
12 would leak through the membrane out of the fluid
13 containment cavity into the electrical side of the
14 switch under any circumstance other than a
15 manufacturing or design anomaly in the switch,
16 question mark?

17 A. The DFMA -- The DFMEA only identified
18 design anomalies that would cause the diaphragm to
19 leak brake fluid.

20 Q. So if a relay were included in the recall
21 fix -- I mean, forget about all the field service
22 problems -- but if that were done, is it correct to
23 say that the only reason to do it would be to mask a
24 manufacturing defect?

25 A. That's correct.

1 MR. MAYER: I need to break and go do
2 my conference call.

3 MR. FEENEY: I think I am done with
4 that document.

5 THE VIDEOGRAPHER: Off -- Off the
6 record. The time is 11:52:15.

7 (Lunch recess taken.)

8 THE VIDEOGRAPHER: Back on the
9 record. The time is 1:19:59.

10 MR. MAYER: May I have the same
11 continuing objection?

12 MR. FEENEY: You may.

13 MR. MAYER: All right.

14 Q. Mr. Porter --

15 MR. FEENEY: Let's see. We should
16 probably just note for the records that we've now
17 added all of the attachments to the document that we
18 marked as Exhibit 43 and incorporated them as part
19 of the original exhibit.

20 MR. MAYER: That's correct.

21 MR. FEENEY: And -- And I'm also
22 going to, in the spirit of cooperation and the
23 interest of promoting harmony among all counsel, to
24 eliminate all disputes might possibly arise, I'm
25 withdrawing my object to the video taping.

1 Q. Now, Mr. Mayer had an excellent suggestion
2 earlier, that he quite correctly raised a question
3 about the completeness of the DFMEA that I was
4 using, Exhibit 45. Mr. Porter, could you get
5 Exhibit 45 out?

6 A. (Witness complies.)

7 Q. Do you have that in front of you?

8 A. Yes, I do.

9 Q. I'm going to mark it as Exhibit 47, I
10 guess?

11 THE COURT REPORTER: 6.

12 MR. MAYER: It's -- This one's 46.

13 THE COURT REPORTER: Oh, sorry.

14 MR. FEENEY: Okay. So we're on --
15 Let me make sure.

16 MR. MAYER: Is 45 one of the pages in
17 the new exhibit?

18 MR. FEENEY: One of -- It's -- Well,
19 we'll get to that.

20 MR. MAYER: Okay.

21 MR. FEENEY: I'm trying to sort
22 through this. I mean, there's a lot of paper here,
23 Mr. Mayer, and I'm not -- I'm not necessarily a
24 paper guy, so I've got to make sure I follow this.
25 Okay. I think that we have here the -- Yes. Okay.

1 Now, we've got the DFMEA dated 9-30-91, which I'm
2 going to mark as Exhibit 47. I'm going to show that
3 to the witness and I've got extra copies of that
4 one. Here.

5 (Exhibit No. 47 marked.)

6 Q. And Mr. Porter, in your review of
7 the -- of the -- of the documents, have you been
8 able -- First of all, I mean, I've given you Exhibit
9 47, right?

10 A. That's correct.

11 Q. And that's the DFMEA pertaining to the
12 pressure switch which bears the date 9-30-91; is
13 that right?

14 A. That's correct.

15 Q. And this one shows that it's prepared by
16 Stephen B. Offiler, right?

17 A. That's correct.

18 Q. And how many pages are there for that
19 document?

20 A. On the front page here, it says 1 of 6.

21 Q. Okay. And in that particular document, do
22 we find a reference to the diaphragm for the switch?

23 A. Yes, sir, we do.

24 Q. Okay. And is that on Page 2?

25 A. Yes, it is.

1 Q. And does it -- Is it the next to last
2 component from the bottom of the page?

3 A. Yes, it is.

4 Q. It says: Diaphragm (74176); is that
5 right?

6 A. That's correct.

7 Q. And do you know that to be the Kapton
8 membrane that is in -- that forms the flexible
9 element of the fluid containment cavity?

10 A. I don't know that to be the case. But in
11 the context of this FMEA it would seem to be that.

12 Q. Well, right below it it says: Forms
13 flexible element of fluid containment cavity?

14 A. That's correct.

15 Q. All right. So that sounds like we're
16 talking about the same part?

17 A. Yes.

18 Q. And the potential failure mode reported or
19 identified on 9-30-91 by Mr. Offiler was what?

20 A. Insufficient material properties.

21 Q. Now, do you have Exhibit 45 in front of
22 you?

23 A. Yes, I do.

24 Q. Have you had an opportunity to compare
25 Exhibit 45 to Exhibit 46?

1 A. Yes, I have.

2 Q. What was the potential failure mode shown
3 in Exhibit 45 for this same part?

4 A. There were three potential failure modes.
5 One was: Failed to contain fluid, one was "to change
6 area of pressure transfer over life; and the third
7 was excessive change of pressure transfer area
8 versus pressure.

9 Q. And were any of them insufficient material
10 properties?

11 A. No, they were not.

12 Q. All right. And what is the dates of
13 Exhibit 45? Did that come before or after what
14 we're looking at here, Exhibit 47?

15 A. Exhibit 45 is dated after Exhibit 47.

16 Q. And it says: REV. Revised or revision?

17 A. Exhibit 45?

18 Q. Yeah.

19 A. It does say: REV. It looks like there
20 may be a .1 or something after that.

21 Q. Hard to tell with this copy?

22 A. Correct.

23 Q. Let's not -- Just as -- But we can read
24 REV?

25 A. Yes.

1 Q. And it's dated February, '92 --

2 A. Yes.

3 Q. February 7th? And this one is just
4 prepared by Texas Instruments; not Mr. Offiler,
5 right?

6 A. That's what it says.

7 Q. Okay. So the failure mode is different.
8 And let's go back now to Exhibit 47. The potential
9 effect of failure is identified as reduced life,
10 leading to leak, right?

11 A. That's correct.

12 Q. Now, interpret this for me. What -- What
13 is this document saying?

14 A. This is saying that one of the potential
15 effects of -- of the failure is that the diaphragm
16 would have a reduced life, leading to a leak.

17 Q. And what is the cause of the failure as
18 identified in Exhibit 47?

19 A. It states: Supplier error.

20 Q. And explain that to me.

21 A. It would -- Sounds like they're thinking
22 that the material that would be supplied to Texas
23 Instrument was incorrectly supplied.

24 Q. And what's the design verification?

25 A. Material certification.

1 Q. Now, let me gets this -- And -- And the --
2 And the -- And the -- And the severity is still a 9;
3 is that right?

4 A. That's correct.

5 Q. And the occurrence is what, a 1?*

6 A. They have the occurrence as a 1.

7 Q. Okay. So let me get this straight. What
8 they were staying in September of 1991 was, as to
9 the diaphragm, the only potential failure mode that
10 was identified was the possibility that the wrong
11 material was -- would be supplied by the vendor; and
12 that might reduce live, leading to a leak? Do I
13 have that right?

14 A. That's correct.

15 Q. And they said, well, just order the right
16 stuff, right? I mean, that's the material
17 certification?

18 A. Well, I guess, from an engineering
19 viewpoint, I would think that they were going to be
20 testing this stuff as it came in the door.

21 Q. Okay. So that's as of September, 1991.
22 Now, that's two years after development --

23 A. Well, it was --

24 Q. -- started?

25 A. After development started, correct.

1 Q. Does this suggest that at this point in
2 T.I. felt pretty confident about the integrity of
3 this switch and the ability to hold up over time?

4 A. I would think so, yes.

5 Q. And in -- at least, in particular, the
6 diaphragm?

7 A. Yes.

8 Q. The only way it's ever going to have a
9 problem is if they don't have the right stuff in
10 there?

11 A. That's correct.

12 Q. Then we get to February of 1992. That's
13 Exhibit 45?

14 A. Yes.

15 Q. And it says it's just Page 1 of 1, right?

16 A. That's correct.

17 Q. All right. And here we've already gone
18 over all this. But now there's a whole bunch of
19 potential failures involving leakage which pertain
20 to apparent manufacturing anomalies?

21 A. That's correct.

22 Q. Now, based upon your review of the
23 documents that have been supplied by T.I. in this
24 and other cases, can you offer any explanation as to
25 what, if anything, happened between September of

1 1991 and February of 1992 that might've led T.I. to
2 change the potential failure modes for the
3 diaphragm?

4 A. I think that it's the experiences that
5 they were having during testing of the diaphragm not
6 passing the impulse test.

7 Q. Well, but the failure mode, I don't know
8 about that, Mr. Porter; because the failure mode
9 says: Design verification, burst impulse and
10 thermal cycle test. So they're relying upon the
11 impulse test. That can't be it.

12 A. I'm not sure what it would mean then.

13 Q. Well, in the occurrence it's the same as
14 it was before, the occurrence is a 1. It's not
15 going to happen. It's one in a million.

16 A. Well, as stated before, it was a little
17 bit surprising that they would've come up with that
18 occurrence.

19 Q. Now, is there anything filled in on that
20 recommended action section for Exhibit 45 -- I mean,
21 Exhibit 47?

22 A. No, there is not.

23 Q. Is there something filled in on Exhibit
24 45?

25 A. Yes, there is.

1 Q. What -- Can you read that, please?

2 A. Note that the OCC rating of 1 is based on
3 a large amount of field experience on similar
4 products rather than extremely stringent ES
5 requirements.

6 Q. Now, that's kind of a curious statement.
7 What does that mean to you?

8 A. It sounds like they think the engineering
9 specification is extremely stringent.

10 Q. Uh-huh. And it sounds like they've had
11 terrific field experience with some similar products
12 out there?

13 A. Yes, it does.

14 Q. Do you know what Ford did not know in
15 February of 1992, which was that T.I. was actually
16 getting leakers when they were doing tests to meet
17 ES requirements during the time frame between August
18 and February of 1992?

19 A. Yes.

20 Q. Do you suppose T.I. knew that when they
21 revised this Fairly Mode Effects Analysis.

22 A. I would speculate that they did.

23 Q. Do you see any indication in any of the
24 documents that T.I. shared that knowledge with Ford
25 during this critical time period?

1 A. No, I do not.

2 Q. Have you found any other revisions or
3 amendments to the Design Failure Mode and Effects
4 Analysis pertaining to the diaphragm as we've
5 searched during the lunch to try to comply with
6 Mr. Mayer's request that we get a complete document
7 in front of us?

8 A. No, I have not.

9 Q. Well, I found this one (Indicating) and I
10 don't know what this is. I mean, we haven't talked
11 about process specs -- This -- We've -- The process
12 spec, would that pertain to the diaphragm at all?

13 A. It could.

14 Q. Okay. Have you had an opportunity -- Just
15 in the interest of the completeness, we may as well
16 just get the process spec identified so we know what
17 that is. I'll mark this as exhibit 48 and I'll pass
18 one of those around.

19 (Exhibit No. 48 marked.)

20 Q. This one is dated 4-29-96 and seems to be
21 complete. And there's another one earlier than that
22 which is dated -- Well, that's 47. But let me just
23 get this one. What's 48, sir?

24 A. It appears to be a potential Failure Modes
25 and Effects Analysis for the process. As you

1 -stated, dated April 29th, 1996, prepared by Matt
2 Sellers.

3 Q. Okay. And again, just for the interest of
4 completeness, is there -- have you had an
5 opportunity to see whether there's anything in here
6 that pertains specifically to the diaphragm?

7 A. I don't recall that there is.

8 Q. Okay. Now, go back to Exhibit 47, if you
9 will, for just a minute and if you'll go over to
10 Page -- Looks like it'd be Page 5 of Exhibit 47.
11 Now, again, this is the Design Failure Mode and
12 Effects Analysis from the September, '91 time frame?

13 A. That's correct.

14 Q. Okay. Go down there -- Do you have on
15 your page an entry for CUP-27288 and 27713?

16 A. Yes, I do.

17 Q. And what -- what is that part?

18 A. That's one of internal parts to the brake
19 pressure switch that -- that holds -- it helps holds
20 it together.

21 Q. Okay. And the potential failure mode
22 there is: The crimp area geometry is incorrect --

23 A. Yes, that's one of them.

24 Q. -- or wrong material thickness or wrong
25 material and so on and so forth, it's all laid out

1 there?

2 A. Correct.

3 Q. Now, crimp pressure -- crimp area geometry
4 is incorrect. The potential failure is identified
5 as poor crimped leads to reduce proof burst
6 capability, reduced diaphragm life. Is that -- Do I
7 have that right?

8 A. That's what it says, yes.

9 Q. And is there a -- a severity assigned to
10 that?

11 A. Yes, there is.

12 Q. And what is that?

13 A. 5.

14 Q. Does this -- According to this -- this
15 Failure Mode and Effects Analysis, is this the
16 supposed to result in a leak?

17 A. Yes, it -- it does.

18 Q. Where's that?

19 A. From the reduced diaphragm life.

20 Q. Does it say it's going to leak?

21 A. No, it doesn't.

22 Q. I thought you were supposed to identify
23 what the effect of the failure is?

24 A. I would've expected so also.

25 Q. So would I be correct in interpreting this

1 as saying that even if you have a bad manufacturing
2 process, they don't think it's going to leak?

3 A. I guess so.

4 Q. And you get incorrect pinch-off as a
5 potential cause of the failure. That's like a
6 pinched cap -- a pinched cup or something?

7 A. I'm not exactly sure what that refers to.

8 Q. What's being pinched? Is the Kapton being
9 pinched or the cup being pinched or what?

10 A. Well, the Kapton would be part of what's
11 being pinched.

12 Q. But for whatever the reason, they don't
13 even think they're going to get a leak as a result
14 of this?

15 A. Correct.

16 Q. Okay.

17 A. Could I point something else out?

18 Q. Uh-huh.

19 A. I guess if they thought they were going to
20 get a leak, they would've rated the severity of this
21 as a 9 --

22 Q. Sure.

23 A. -- to be consistent with the DFMEA.

24 Q. Yeah. Okay. So apparently there are some
25 things that they can do in the manufacturing process

1 that would reduce diaphragm life, but aren't even
2 going to result in a leak?

3 A. I guess so.

4 Q. Even thought it's an anomaly?

5 A. Yeah.

6 Q. The -- Now, is the gasket addressed in
7 the -- in Exhibit 47? Yes, it is. Right above the
8 diaphragm, correct?

9 MR. MAYER: Let me just say that I'm
10 not raising any objections pursuant to our
11 agreement, but if he'd just answer his own question.

12 MR. FEENEY: Yeah. That wasn't a
13 question. I'll restate the question.

14 MR. MAYER: Yeah.

15 Q. Is the gasket addressed in Exhibit 47?

16 MR. MAYER: I -- I'm -- What I'm
17 saying, I am not making any objection.

18 MR. FEENEY: I know. And I'm working
19 very hard to --

20 MR. MAYER: And I've agreed to --

21 MR. FEENEY: -- to ask
22 non-objectionable questions.

23 MR. MAYER: All right.

24 A. Yes, it is.

25 MR. MAYER: They reserved and we go.

1 Q. Okay. And where is it referred to?

2 A. It's referred to on Page 2 right above the
3 diaphragm.

4 Q. And are you referring to the entry that
5 reads: Gasket (74353)?

6 A. Yes.

7 Q. And what is the stated purpose of the
8 gasket?

9 A. To provide a fluid seal between the hex
10 port and the diaphragm.

11 Q. Now, in any of the investigations that
12 have been done regarding the potential for under
13 hood fires emanating from the brake pressure switch
14 that were the subject of the recall -- the NHTSA
15 inquiry and the recall, has the gasket been
16 identified as a potential components that's been
17 involved in any of those?

18 A. During the investigation, no, it was not.

19 Q. Anybody make any comments or make any
20 suggestions, anybody, that suggested there may be a
21 problem with the gasket?

22 A. No, there was not.

23 Q. Since the investigation was concluded,
24 have you come to learn through reviewing any
25 materials that there may have been some

1 manufacturing problems with gasket?

2 A. Yes, there have.

3 Q. Tell me about that.

4 A. In the Highlights dated August, 1992,
5 there is a description that the gasket
6 placement -- or gasket test equipment could only
7 identify gross misplacements of the gasket and that
8 small misplacements wouldn't be identified. Small
9 misplacements of the gasket would result in reduced
10 diaphragm life. And in August of 1992, they stated
11 that they had made some change to correct that.

12 Q. And can reduced diaphragm life be
13 associated with potential increased potential for
14 leakage?

15 A. If reduced diaphragm life means a crack,
16 allowing for leak, then yes, it would be increased
17 likelihood of fire.

18 Q. All right. And in the potential effects
19 of the failure -- Going back to Exhibit 48 -- were
20 the potential effects of the failure -- They're all
21 enumerated there -- were all of these basically
22 manufacturing -- potentially manufacturing problems
23 or basically improper sizing of materials type
24 problems? Just take a look at them.

25 A. They appear to be manufacturing type

1 problems.

2 Q. Again, was there any contemplation
3 according to this document that the gasket could
4 simply wear out over time, thereby compromising the
5 integrity of the seal -- *

6 A. No.

7 Q. -- in the Absence of any sort of a
8 manufacturing anomaly or a material specification
9 problem?

10 A. No, there is not.

11 Q. And, in fact, the potential causes, again,
12 were basically what, improperly setup cutting tools,
13 wrong extrusion tools, are these manufacturing
14 issues?

15 A. These appear to be manufacturing type
16 functions.

17 Q. All right. Have you, in your review, seen
18 any other Design Failure Mode and Effects Analyses
19 other than the ones we've identified, versions of
20 them?

21 A. Not that I recall.

22 Q. Okay. Let me show you something that I
23 just a question about. I --

24 (Exhibit No. 49 marked.)

25 Q. Maybe you can help me out. I don't know.

1 I'd like to hand you a document, Exhibit 49. And
2 have you seen this document before?

3 A. Yes, I have.

4 Q. And during the period of time that you
5 were conducting the investigation, was one of your
6 concerns obtaining any data that you might be able
7 to obtain concerning the potential corrosive effect
8 that brake fluid had on Kapton?

9 A. Yes. We were concerned that brake fluid
10 might be one of factors that was causing the Kapton
11 to crack.

12 Q. Again, I mean, this is in the nature of
13 this sort of brainstorming, look at everything kind
14 of thing, right?

15 A. That's correct.

16 Q. And so did you ask T.I. for information
17 about that?

18 A. Yes, we did.

19 Q. And Exhibit 49, do you see that this is a
20 response from DuPont to an inquiry from T.I.?

21 A. That appears to be the case, yes.

22 Q. And what do they say -- What does DuPont
23 say about any information they may have on this
24 issue, according to Exhibit 49?

25 A. They say: Dear Brian, I have checked our

1 records and have not found any test data on Kapton
2 FN type film and brake fluid exposure in our files.
3 I have also spoken to several colleagues and we are
4 unaware of any issues with the Kapton FN that has
5 been used in automotive diaphragms. Sorry we cannot
6 be of more help. If you have in any questions
7 please call.

8 Q. Basically, they're saying, we don't have
9 any data, but we don't know of any problems?

10 A. Correct.

11 Q. And was this information communicated to
12 Ford during the course of the investigation by T.I.?

13 A. I believe it may have been.

14 Q. All right. And after receiving that type
15 of information, did you basically quit thinking
16 about the possibility that the brake fluid was
17 somehow doing something to the Kapton membrane to
18 enhance or speed up degradation?

19 A. Well, at this point in time I would still
20 have been concerned since there was no specific data
21 resulting in that. However, as our field analysis
22 continued to build up and we learned that other
23 applications were not experiencing fires, that
24 allayed our fears.

25 Q. And is this -- Is this the kind of

1 information, that is to say, the effect of brake
2 fluid on the material that's going to be the
3 barrier -- sort of the last line of defense, if you
4 will, in the fluid containment cavity -- is this the
5 type of information that you would've expected Q-1
6 supplier to be seeking from its vendor in 1991 or
7 earlier?

8 A. I would've expected there to be data at
9 that point in time that said they had material
10 compatibility, yes.

11 Q. Well, maybe this Kapton is just so good
12 that in the absence of a manufacturing problem,
13 it'll never leak?

14 A. I don't know that.

15 Q. One might get that impression, reading the
16 information supplied by T.I., right?

17 A. You might get that impression.

18 (Exhibit No. 50 marked.)

19 Q. Exhibits 50 is a document I've put in
20 front of you that is a --

21 A. Excuse me. You haven't put it in front of
22 me.

23 Q. Exhibit 50 is a document that I've now put
24 in front of you, Mr. Porter, that you're familiar
25 with. Tell me what it is.

1 A. This appears to be a page out of a Town
2 Car shop manual for the speed control system. It
3 identifies the -- the electrical wiring diagrams
4 that would be part of the speed control system.

5 Q. And what -- what is the particular year of
6 that shop manual?

7 A. This is dated July, 1992.

8 Q. July, 1992?

9 A. Correct.

10 Q. And so that would pertain to the '92 the
11 Town Car?

12 A. Yes, it would.

13 Q. And what does that -- Where did you get
14 that particular -- that -- Where did you get that
15 copy of that document?

16 A. The copy of this documents came from Texas
17 Instrument. I need to revise the previous answer
18 though. It does say this is for the 1993 Town Car.

19 Q. You know, I -- when you said July, '92, I
20 know that you probably misspoke. And this is for
21 the '93 model year?

22 A. That's correct.

23 Q. Okay. And in this particular diagram for
24 the 1993 Town Car, what does it reveal with regard
25 to the issue of whether this switch is wired hot at

1 all times?

2 A. There's a hand notation that says: Hot at
3 all times, next to the -- the device that's
4 identified as the fuse panel and the wires that go
5 to the deactivator switch, N-dot, C-dot.

6 Q. Okay. Now, that particular handwritten
7 notation, did you put that on there?

8 A. No, I did not.

9 Q. Do you know who put it on there?

10 A. No, I do not.

11 Q. Was it on there when you first saw the
12 document when it was received from T.I. --

13 A. Yes, it was.

14 Q. -- prior to the litigation?

15 A. Yes, it was.

16 Q. Do you know who put on it there?

17 A. No, I do not.

18 Q. Could you tell from the review of the
19 document in the absence of that notation that the
20 switch is wired hot at all times?

21 A. In the absence of that notation, there's
22 no indication that the switch is anything other than
23 hot at all times.

24 Q. And why do you say that?

25 A. Because it goes directly to the fuse

1 panel. It does not go to the ignition switch, as an
2 example.

3 Q. This is sort of like that earlier exhibit
4 that we were talking about, that schematic that
5 was -- T.I. had back in 1989?

6 A. Yes.

7 Q. And this was for the '93 Town Car. Now,
8 we were talking about '92 Town Cars, so maybe this
9 doesn't -- maybe they made a change from '92 to '93?

10 A. No, there was no changes between '92 and
11 '93.

12 Q. How about from '93 to the '94?

13 A. There was no change then.

14 Q. Or '95?

15 A. Or '95.

16 Q. Or '96.

17 A. Or into '96.

18 Q. Are you telling me that T.I. supplied a
19 switch that was hot wired for five model years?

20 A. That's correct.

21 Q. And that was revealed in shop manuals for
22 those models?

23 A. Yes.

24 Q. Okay. Let's -- Let's go through some
25 items that would be of interest -- might be of

1 interest. Have -- You've made reference from time
2 to time in your testimony, Mr. Porter, to the
3 so-called Highlights that were provided to you at
4 some point during the course of discovery, have you
5 not?

6 A. That's correct.

7 Q. And Highlights, what are these documents?
8 We're going to go through a number of them now.

9 A. My understanding is, they were a group
10 of -- of notes or a diary that the design engineer
11 for the brake pressure switch was keeping for his
12 own records as well as distribution to other people
13 at his company.

14 Q. Okay. And the design engineer was who?

15 A. Stephen Offiler.

16 Q. And Mr. Offiler worked for whom?

17 A. Texas Instruments.

18 (Exhibit No. 51 marked.)

19 Q. Now, I need to get sort of lined up here
20 so that I can -- because everybody's going to want a
21 copy of these as we go through them. So I want to
22 make sure I can do this kind of quickly without a
23 lot of inefficiency. Let Me show you what I've
24 marked as Exhibit 51. Is this one of the
25 Highlights, sir?

1 A. Yes, it is.

2 Q. This particular one is dated what?

3 A. March 3rd, 1989.

4 Q. That would be based on your review pretty
5 early in the sequence?

6 A. Yes, it would be.

7 MR. FEENEY: Now, I just want to tell
8 everyone at the outset that I should probably note
9 that a couple of these are going to have some
10 handwriting on them and I take responsibility for
11 that and I'll supply -- we'll just supply clean
12 copies of these things as exhibits. I'm not
13 suggesting that -- that any of this was on the
14 original document and these are basically notes that
15 I've made along the way. So ignore the all the
16 handwriting and everything else.

17 MR. MAYER: I don't have any problem
18 as long as --

19 MR. FEENEY: If that's okay.

20 MR. MAYER: I don't have any problem
21 as long as you substitute a clean copy --

22 MR. FEENEY: I will.

23 MR. MAYER: -- at the end of today's
24 session.

25 MR. FEENEY: I'll -- I'll do

1 everything I can do to -- to get that accomplished
2 by the end of the day. We might have to wait until
3 our conference call next Tuesday. I don't know.

4 Q. Anyway, March 3rd, 1989; and I just direct
5 your attention to this paragraph down here where it
6 says: Short circuit. Do you see that, sir?

7 A. Yes, I do.

8 Q. And it makes a reference to this Gary
9 Klingler guy. And you've talked about Mr. Klingler
10 before, right?

11 A. That's correct.

12 Q. This says: Gary Klingler raised a concern
13 about the possible damage to our device if a sort
14 circuit were to take place, whereby our device would
15 pass a fairly large current, magnitude TBD, to be
16 determined, right?

17 A. That's what I believe that to mean.

18 Q. And he goes on to say: Joe has requested
19 us to take a look at this and draft a one-page
20 abbreviated FMEA. Now, have we gone through the
21 Design Failure Mode and Effects Analysis?

22 A. Yes, we have.

23 Q. Have you seen anything in Exhibit -- in
24 the exhibits that we've reviewed so far that you
25 would identify as a one page or any kind of an

1 abbreviated FMBA that deals with this short circuit
2 question?

3 A. No, I haven't.

4 Q. There's also a reference to the connector
5 up here in the -- right above that. Do you see
6 that?

7 A. Yes, I do.

8 Q. And it says that: Joe shook has visited
9 Ron Froates or Froates --

10 A. I believe it's Froates.

11 Q. Okay. --A connector guru at Ford.

12 They've decided that a 57Ps-type connector, with
13 changes to tab locations and color, will suffice.
14 Did I read that correctly?

15 A. Correct.

16 Q. Now, that -- that 57PS-type connector,
17 that's a reference to the connector that was part of
18 the switch, the brake pressure switch that T.I.
19 installed -- supplied to Ford several years earlier;
20 is it not?

21 A. I believe that's what they're referring
22 to, yes.

23 Q. So basically -- And Joe Schuck, he works
24 (sic.) for T.I., didn't he?

25 A. Yes, he did.

1 Q. So basically, what's happening here is
2 that -- that Schuck talked has talked to Ford and
3 Ford -- according this, it said yeah, we can use the
4 same connector that we've been using?

5 A. That's correct.

6 Q. And is that what ultimately happened?

7 A. I believe there were some changes
8 ultimately.

9 Q. Okay. And was Ford involved -- Was -- Was
10 Texas Instruments involved in those changes?

11 A. I believe Texas Instrument was involved in
12 making those changes.

13 Q. Okay. Now, let's go to Exhibit 50 --

14 MR. MAYER: 2.

15 Q. -- 52.

16 (Exhibit No. 52 marked.)

17 Q. I've marked now Exhibit --

18 MR. MAYER: Mr. Feeney, we have just
19 five minutes left on the video, so we need to switch
20 it out.

21 MR. FEENEY: Yeah. Sure. Go ahead.

22 THE VIDEOGRAPHER: Going off the
23 record. The time is 1:54:59. It's the end of Tape
24 1.

25 (Recess taken.)

1 THE VIDEOGRAPHER: We're back on the
2 record. The time is 1:59:06. It's the beginning of
3 Tape 2.

4 Q. Okay. Directing your attention to Exhibit
5 52; is that correct --

6 A. That's correct.

7 Q. The -- or more Highlights?

8 A. These are more Highlights. These are from
9 May 5th, 1989.

10 Q. Okay. And on those --

11 MR. MAYER: What was the date,

12 Mr. Feeney?

13 MR. FEENEY: I think I -- I knew --

14 THE WITNESS: 05-05-89.

15 MR. FEENEY: -- the best laid plans,
16 I knew I would screw this up.

17 MR. MAYER: That doesn't match the
18 date on the --

19 MR. FEENEY: I know. It's one --

20 MR. MAYER: -- the one I have.

21 MR. FEENEY: That's the next one
22 down. Here we go. I'm going to make this 52. Do
23 you have 4-21-89, Eric?

24 MR. MAYER: No. I have 4-14.

25 MR. FEENEY: Yeah. That's what I

1 have. 4-21, 4-89, and 5-5, 4-14 -- 4-14 -- Where's
2 my extra copy of 4-14?

3 MR. MAYER: Maybe you gave it to one
4 of us.

5 MR. JOLLY: Here you go. I've
6 already read it.

7 MR. MAYER: Here you go.

8 MR. FEENEY: I'm sorry. I don't know
9 how I screwed that up. Let me do this again. I
10 intended to mark as Exhibit 52 the 4-14-89
11 Highlight.

12 Q. Do you have that?

13 A. 4-14-89.

14 Q. And what is -- Is there a reference in
15 that document to Kapton, under gasket -- under
16 gasket.

17 A. Yes, there is.

18 MR. MAYER: Let me -- I -- I do need
19 to make -- do make an objection, because the
20 handwriting that you've got on there from your own
21 notes, I think, is improper if the question you're
22 going to ask is, is there a reference to Kapton; and
23 you've got a note: First reference to Kapton.

24 MR. FEENEY: Yeah. That's just to
25 myself. I'm not going to ask him to confirm that.

1 MR. MAYER: I know. But I think it's
2 improper to show him a document with your notes and
3 then ask him that very same question, because I
4 think -- as you know, I think --

5 MR. FEENEY: That's what I just said,
6 I wasn't going to ask him that.

7 MR. MAYER: Well, you did. You said:
8 Where is the first reference to Kapton?

9 MR. FEENEY: Oh, did I? I'm sorry.

10 MR. MAYER: Yeah.

11 MR. FEENEY: Well, I withdraw that
12 question.

13 MR. MAYER: Okay. So I'm not --

14 MR. FEENEY: In fact -- In fact, not
15 only will I withdraw the question, but I'm just
16 going to put a yellow sticky right over that
17 reference -- Okay -- so that it's masked. The
18 witness doesn't even know what that note says.
19 Okay?

20 MR. MAYER: Let me -- Let me just
21 say, would it make sense to go and get the clean
22 copy now so you can use that?

23 MR. FEENEY: No. Because I -- I
24 think it's going to be too time consuming and
25 we'll -- you know, you guys will be upset because

1 we'll spend probably too much time trying to get
2 them and -- and I want to get you out of here and --

3 MR. MAYER: Are -- Are all the
4 documents going to have handwritten notes on them or
5 only some?

6 MR. FEENEY: No. Only some.

7 MR. MAYER: Okay.

8 Q. Now, let's see. Okay. Let's get back to
9 this. There is a reference to Kapton?

10 A. There is a reference.

11 Q. Item No. 2 under Gasket, it says:
12 Elimination of the gasket using the Teflon coated
13 Kapton as a seal. And is it the facts that early on
14 in the development there was some consideration
15 given to simply eliminating the gasket altogether
16 and going with a Kapton seal?

17 A. That appears to be what -- what was being
18 discussed.

19 Q. And that's in April of 1989?

20 A. That's correct.

21 Q. Now, do you know if Kapton was part of the
22 57PS brake pressure switch that was supplied by T.I.
23 earlier?

24 A. I believe it was.

25 Q. And do you know whether the Kapton that

1 was supplied with the -- with the brake pressure
2 switch that was installed in the '92 Town Car was
3 Teflon coated?

4 A. I believe that the Kapton supplied for the
5 '92 Town Car was Teflon coated.

6 Q. On do you know what the reason for the
7 Teflon coating is?

8 A. It was to be -- As I understand it, it was
9 to be a protection for the Kapton layer against
10 material such as water.

11 Q. Okay. And did you ever see any data that
12 indicated what the efficacy or the -- the
13 effectiveness was of -- of -- of the Kap -- of the
14 Teflon coating on the Kapton as opposed to Kapton
15 that was not Teflon coated?

16 A. I don't recall seeing any data, although I
17 believe that DuPont reported during -- or maybe it
18 was through Texas Instrument that Kapton alone
19 without Teflon was susceptible to water.

20 Q. Okay. So it's your understanding that
21 there actually was a difference. If the Teflon
22 coating on the Kapton was compromised in some way,
23 degraded, so that it was no longer there or no long
24 as effective, could that promote leakage?

25 A. I -- I would -- believe I would consider

1 that to be the case, yes.

2 Q. Okay. Now I'd like to go to the -- the
3 5-5-89 Highlight which I had previously put in front
4 of you. I'm going to make that Exhibit 53.

5 (Exhibit No. 53 marked.) *

6 MR. FEENEY: And here's one for you
7 and there's one for this side of the table.

8 Q. Now, what do we have in front of you as
9 Exhibit 53, Mr. Porter?

10 A. This is Highlights dates 5-5-89.

11 Q. And if you -- I'd like to direct you to
12 the entry CCPS samples.

13 A. Yes.

14 Q. And it says: We had two lots of 10
15 undergoing the impulse test. However, this test
16 turned up significant leakers at about 80K cycles.
17 Inspection revealed torn Kapton seals. New devices
18 were built using 2 and 3 pieces of Kapton. As a
19 result, there are now four lots of 5 back underway
20 on the Impulse test. To date, they have passed 100K
21 cycles without problem. Passing this test will give
22 me enough confidence to begin building sample
23 quantities for the customers -- for the customer.
24 Devices will not ship, however, until the Thermal
25 Cycle test is completed too. Ship date still looks

1 like 05/26 if all goes well. Do you follow along
2 with that? Did I read that correctly?

3 A. You read that correctly.

4 Q. All right. Now, in terms of where the
5 development was at this point in time, is this an
6 indication that T.I. is in the process now of -- of
7 they've got some parts that they are now -- sample
8 parts -- Maybe not even sample parts -- prototype
9 parts that they're now beginning to test?

10 A. It appears that they have some pieces that
11 they -- that they're building and -- and trying to
12 understand what the different aspects of the design
13 might be.

14 Q. And this is all very common, typical type
15 stuff that you see in the early developmental
16 stages, right?

17 A. Yes, it is.

18 Q. No unusual, for example, at this point in
19 time that leakers might show up in -- in -- at
20 80,000 cycles?

21 A. That would be correct.

22 Q. And it looks like they're even maybe
23 experimenting with what kind of Kapton to use here?

24 A. That's possible, yes.

25 Q. Or the number of Kapton layers?

1 A. It looks that way also.

2 Q. So at this stage in the development
3 process, would you say that it's common for there to
4 be failures or leakers or so forth in -- in the
5 testing?

6 A. At this point in the development process,
7 it's not a surprise that there are some failures.

8 Q. I mean, this happens really to everybody
9 in the automobile industry and that in and of itself
10 is not unusual, right?

11 A. That's correct.

12 Q. Okay.

13 A. I'd like to add something -- something to
14 that though.

15 Q. Sure.

16 A. And that is that if this is based off of a
17 previous design, it might be a little bit concerning
18 as a design engineer that -- that it doesn't make
19 20 percent of the -- the expected life.

20 Q. Well, that's true. But remember, this is
21 a very rigorous test that's being followed here.

22 A. That's correct.

23 Q. All right. So we'll have to wait and see
24 what -- how this -- how this shapes up. Now, if you
25 go on a little further in the -- in the Highlights

1 you'll see that -- that there's more reference to
2 the short circuit test. And I'm going to direct
3 your attention to --

4 MR. FEBNEY: What -- Where are we --

5 MR. MAYER: 54.

6 MR. FEBNEY: 54?

7 MR. MAYER: 54 is the next exhibit.

8 (Exhibit No. 54 marked.)

9 Q. 5-12-89 --

10 MR. MAYER: Thank you.

11 Q. -- Exhibit 54 is the Highlights for the
12 week ending 5-12-89, correct?

13 A. That's correct.

14 Q. And as an overview, if you look, you'll
15 see Mr. Offiler reports: CCPS samples continue to
16 chug away on the Impulse Test?

17 A. That's correct.

18 Q. It says: Completed about two-thirds of
19 the 500K test with no failure. And this is again
20 about what you'd expect, would it not?

21 A. There is good news.

22 Q. And, of course, by 12-89, when did they go
23 into production for the '92 Town Car, sir?

24 A. Production of the vehicle started towards
25 the end of 1991.

1 Q. So we're two years away, two plus years
2 away from production?

3 A. Approximately that, yes.

4 Q. Two plus years away from the time when
5 T.I. has to be supplying production ready parts to
6 Ford?

7 A. That's correct.

8 Q. And would you agree with me that things
9 are looking pretty good at this point?

10 A. Yes, it is.

11 Q. And, in fact, T.I. says: We're gearing up
12 to build a quantity for Ford by the end of May.
13 Now, "quantity" means a quantity of samples and
14 they're -- Is that right?

15 A. I would believe that's what they mean.

16 Q. And part of the deal there is just -- just
17 to demonstrate that you can take these prototype
18 parts that you've been building sort of one at time
19 and build them in bulk and still maintain the high
20 quality that you'd expect, right?

21 A. That's correct.

22 Q. And that everything looks good so far,
23 right?

24 A. That's right.

25 Q. Now, there's another reference to customer

1 new and here we again see a reference to Gary
2 Klingler to discuss his requested short circuit test
3 and act/rel tolerances. What is that a reference
4 to, act/rel tolerances, actual --

5 A. I believe that would be referring in this
6 part to the actuation and release tolerances of the
7 part.

8 Q. Okay. It says: Gary wants the short
9 circuit test to help allay fears from others at Ford
10 who are concerned with brake system failure modes.
11 5-6 weeks is okay with him. Between us we agreed
12 upon a procedure: Run devices at 125 Centigrade
13 ambient while pressure-cycling, and increase current
14 to failure. Now, did I read that correctly?

15 A. Yes, you did.

16 Q. Why -- Why is that test being done, sir?

17 A. In the previous Highlight it was noted
18 that Mr. Klingler was concerned that if there was a
19 short that occurred somewhere between the brake
20 pressure switch and the cruise control clutch coil,
21 that -- that that short might create a current path,
22 an additional current path through the switch.

23 Q. Okay. And as an electrical engineer, you
24 think that's a good idea?

25 A. Yes.

1 Q. And any reason at this point to think that
2 the switch was susceptible to short circuit and
3 damage as a result of that?

4 A. There was no reason to think that there
5 was, but also no reason to know for sure that there
6 wouldn't be either.

7 Q. Okay. Now, just to kind of complete this
8 thought I'd like the to go to 8-5 -- 8-25-89 and ask
9 you, sir --

10 MR. FEENEY: What exhibit is that
11 number?

12 MR. MAYER: Should be 55.

13 (Exhibit No. 55 marked.)

14 Q. All right. Exhibit 55 is the -- are the
15 Highlights for the week ending 8-25-89.

16 MR. FEENEY: And here's a copy for
17 you, Mr. Mayer; and we've got one here.

18 Q. Take a look at this Highlight for the week
19 ending 8-25-89. Do you see that, sir.

20 A. Yes.

21 Q. And again, directing your attention to the
22 to the -- to the testing, do you see that?

23 A. Yes.

24 Q. Says: We completed an over-current test
25 to failure as requested by Gary Klingler. The

1 purpose was to allay any fears that may arise at
2 Ford about hydraulic system integrity in event of a
3 short circuit. So --

4 MR. MAYER: I think I have a
5 different exhibit than you.

6 MR. FEENEY: 8-25-89?

7 MR. MAYER: You handed me 5-25-89.

8 MS. WEINER: 5-29.

9 MR. FEENEY: I'm sorry. I meant to
10 hand you 8-25. May I --

11 MR. MANSKE: You might want to hold
12 on to it because he'll probably get to it.

13 MR. FEENEY: Right. I -- I didn't
14 give you 8-25?

15 MR. MAYER: No.

16 MR. FEENEY: I didn't?

17 MR. MAYER: Why don't we go off the
18 record.

19 MR. MANSKE: Here it is.

20 MR. FEENEY: Here it is. Okay. And
21 here's the -- Here's another one. I'm sorry.

22 MR. MAYER: So is 8-25-89 the --

23 MR. FEENEY: That's Exhibit 55.

24 MR. MAYER: Exhibit 55.

25 MR. FEENEY: Yeah.

1 MR. MAYER: Okay.

2 MR. FEENEY: I'm sorry. I'm trying
3 to zip through this.

4 Q. What -- What is that about, this
5 over-current test of failure?

6 A. I believe they're referring to the tests
7 that -- that Gary Klingler was requesting in the
8 event that there was some short circuit between the
9 brake pressure switch and the speeds control clutch
10 coil.

11 Q. And this says: This is not a Ford spec
12 and no official procedure exists; Gary and I
13 developed a test procedure over the phone. Does
14 this indicate to you that there's a lot of exchange
15 of information and so forth going on between the two
16 companies?

17 A. I would think that there was exchange,
18 yes.

19 Q. In -- In the spirit of that context and
20 environment, do you think that -- can you -- can you
21 think of a corporate reason why Ford would withhold
22 from T.I. the knowledge that the switch was wired
23 hot at all times?

24 A. No, I can't.

25 Q. Would that be consistent or inconsistent

1 with what you're seeing?

2 A. I think that would be inconsistent.

3 Q. And, in fact, what this revealed was that
4 there was no damage to the hydraulic section of the
5 switch, right?

6 A. That's correct.

7 Q. So in the event of a short circuit there
8 wasn't any damage being done to the other side of
9 the fluid containment cavity, so to speak, or the
10 hydraulic side?

11 A. That's correct. It says: Absolutely no
12 damage was done to the hydraulic section of the
13 switch.

14 Q. I'm just wondering, I mean, if there was
15 some possibility that maybe on some of these fires
16 there had been some kind of a short circuit that
17 could've possibly affected in some way the hydraulic
18 side that influenced the membrane, does this test
19 kind of rule all that out?

20 A. This -- This test certainly doesn't direct
21 one in -- in that direction.

22 Q. Would it be inconsistent with that sort of
23 a theory?

24 A. Yes, it would.

25 Q. Okay. Now, I'd like to direct your

1 attention next to -- And I promise that I'm going to
2 actually distribute the right one here -- a
3 Highlight dated 12-8-89, which I'll mark as Exhibit
4 56.

5 (Exhibit No. 56 marked.) *

6 Q. And that particular --

7 MR. FEENEY: There's 56.

8 MR. MAYER: Uh-huh.

9 MR. FEENEY: Here's 56. Here's all
10 of 56 and I think we are set to -- to go.

11 MR. MAYER: The -- The two documents
12 that you presented me, the first and second page are
13 not sequential. Are these two separate exhibits?

14 MR. FEENEY: They are -- They're --
15 They are -- I was going to use the together, but
16 I -- I don't mind parking them. I mean, I don't
17 want to suggest that they're all the same Highlight.
18 But there's a reference to the spec there and then
19 I'm going to go right into that. So why don't we
20 mark it as 56 and then the next set 57 --

21 MR. MAYER: Okay.

22 MR. FEENEY: -- just to be on the
23 safe side so we know that they're not mixed together
24 and -- you know.

25 (Exhibit No. 57 marked.)

1 Q. Okay. So 12-8-89, this Highlight is
2 written by Mr. Offiler and I want to direct your
3 attention to the third paragraph under Program
4 Issues. Do you see that, sir?

5 A. Yes, I do.

6 Q. It says: Work is also ongoing at Ford to
7 finalize the written specification. We have given
8 Ford our rough draft based on a marked up 57PS
9 T-bird suspension control specification, which will
10 form the basis for discussion and negotiation of the
11 final spec. Did I read that correctly?

12 A. That's correct.

13 Q. Now, in the course of your investigation
14 into the facts and circumstances of the issues that
15 you were involved in, did you familiarize yourself,
16 to some extent any way, with the specification that
17 dealt with or pertained to this part?

18 A. With the specification for the Town Car
19 brake pressure switch, yes.

20 Q. Okay. Now, did you later learn after some
21 of these documents were produced that -- that --
22 that the history of the switch actually may have
23 gone back to this 57PS T-bird switch?

24 A. Yes, we did.

25 Q. And did you -- did you have an opportunity

1 to review during the course of -- during the course
2 of the -- your work in this case some of the draft
3 materials that were referenced in this Highlight and
4 in the T.I. documents?

5 A. I believe that this Exhibit 57 would refer
6 to one of those drafts.

7 Q. All right. Let's take a look at Exhibit
8 57 if we could. Would you tell us what we're
9 looking at in Exhibit 57, sir?

10 A. This is an engineering specification for a
11 part named Switch ASSY, a Brake Pressure Shock
12 Absorber Control, Part Number ES-E75 -- or
13 E79C-2283-AA.

14 Q. And are you satisfied, sir, that this is a
15 specification that -- that is, in fact, the 57PS
16 T-bird suspension control specification?

17 A. Yes.

18 Q. That is referenced in Exhibit 56?

19 A. That's correct.

20 Q. And just so that the record's clear, this
21 was a specification that applied to an earlier
22 part -- Not the brake pressure switch -- but any
23 earlier part supplied by T.I. to Ford?

24 A. That's correct.

25 Q. And if we look through this, do we find

1 writings and marks -- marked -- marked up sections
2 of this?

3 A. Yes.

4 MR. FEENEY: And I will note for the
5 record, since I made the comments earlier, "these --
6 these -- this is not my handwriting or anyone on our
7 behalf. This was the way the document was produced
8 to us.

9 Q. And do these marked up handwritten changes
10 and so fourth have any significance to you?

11 A. They appear to be changes that somebody
12 was making to the document.

13 Q. All right. And is this consistent with
14 the information that you received during the course
15 of your investigation, that the specification that
16 controls this particular brake pressure switch was
17 something that was reviewed, commented upon and, in
18 fact, negotiated between T.I. and Ford?

19 A. There may have been that information.

20 Q. And, in fact, is it true that in
21 Mr. Offiler's Highlights, when he talks about
22 supplying this -- you know, this marked up stuff, he
23 says that the marked up 57PS T-bird suspension
24 control specification which will form the basis for
25 discussion and negotiation of the final spec? Did I

1 read that correctly?

2 A. That's correct.

3 Q. In your experience, is it common for
4 suppliers such as T.I. to negotiate the terms of a
5 specification that they are required to meet?

6 A. It is commonplace for a supplier to, yes,
7 look at -- at details of a specification.

8 Q. And in this particular case, is it -- is
9 the record -- does the record indicate that Ford
10 compelled T.I. to adopt a specification that they
11 created with no negotiation or input or discussion
12 from T.I.?

13 A. It doesn't appear to be the case.

14 Q. And, in fact, as we go through this, do we
15 see other exchanges between the two companies that
16 indicates that there was ongoing negotiation on this
17 specification?

18 A. Yes, it appears to be ongoing
19 negotiations.

20 Q. And, for example, with regard to the Proof
21 Test that appears on Page 5, you'll see that the --
22 actually, the specification, the Acceptance
23 Requirements, Section 2a, do you see that?

24 A. Yes.

25 Q. Now, this again is the earlier

1 specification on the '87 part, right?

2 A. That's correct.

3 Q. It says: Nonconformance is defined as any
4 evidence of fluids leakage, seepage, or drop in test
5 pressure. Do you -- Did I read that correctly?

6 A. That's correct.

7 Q. And, in fact, that basic bogie, if you
8 will, was carried over into the specification that
9 was ultimately adopted for the brake pressure
10 switch; no leakage --

11 A. That's correct.

12 Q. -- or leakage equals nonconformity, right?

13 A. That's correct.

14 Q. And here we have handwritten marks all
15 over this Proof Test. Did you ever find any
16 information that indicated to you that T.I. sought
17 some sort of a variance from that requirement that
18 they had apparently been living with regard to this
19 earlier switch since 1987?

20 A. No, we did not.

21 Q. And, in fact, if you go further on this
22 document, you actually see on Page 9 of 13, I mean,
23 there's a whole test that's just deleted --

24 A. That's correct.

25 Q. -- is that right? And again, would this

1 be an example of the type of give and take that
2 would be occurring between supplier and -- and
3 manufacturer?

4 A. Yes.

5 Q. Okay. Let's -- Let's go on and take a
6 look at, if you will -- I want to go to -- I just
7 want to go to 2-2-90 -- We can come back to -- to
8 that, but let's just -- So we've got this -- 58 is
9 Exhibit 22 (sic.) -- These are the Highlights for
10 the period ending 2-2-90. Do you see that, sir?

11 A. That's correct?

12 MR. FEENEY: And let me get this sent
13 around.

14 MR. MAYER: Thank you.

15 Q. Here we have -- Let's see, 58 --

16 (Exhibit No. 58 marked.)

17 Q. In this particular -- We're now what,
18 about a month-and-a-half or so after that Exhibit
19 57? What was the date of Exhibit 57 -- 55?

20 A. 55.

21 Q. 56. I'm sorry.

22 MR. FEENEY: What was the date of
23 that.

24 MR. MAYER: 56 was dated 12-8-89.

25 Q. Okay. 12-8-89. So now we're about two

1 months after that. And under specifications this
2 reads: "Significant activity at Ford this week.
3 Our proposed specification was finally scrutinized
4 by the key players at both Pass-Car and Light
5 Truck." The reference to "our proposed
6 specification," what is that a reference to, sir?

7 A. It appears that it's Texas Instrument
8 owning a specification.

9 Q. The reference of "our" is to T.I.; is that
10 right?

11 A. That's correct.

12 Q. "Negotiating through Joe Schuck, we were
13 able to win concessions in at least three areas of
14 major importance to us: The proof spec was lowered
15 to 4K psi (from 5K); the humidity spec was revised
16 to follow MIL-STD-202F; and Ford's proposal to raise
17 the pressure during the temperature cycle test (from
18 present 1450 psi to 2K) was shot down. Did I read
19 that correctly?

20 A. That's correct.

21 Q. Now, let's talk about this for a minute.
22 If we were talking about, as far as the durability
23 testing is concerned, basically, we know -- all know
24 that at this point the basic parameters of the test.
25 It's 500,000 cycles, it's at 1450 psi and you run it

1 at 135 degrees Centigrade?

2 A. Yes.

3 Q. All right. And if you wanted to vary --
4 Let's say you wanted to make it a more severe test,
5 you could see basically increase the cycles?

6 A. Correct.

7 Q. You could increase the temperature?

8 A. Correct.

9 Q. You could increase the pressure?

10 A. Correct.

11 Q. Now, is there any indication in any of the
12 documents that we've seen so far that indicates that
13 either T.I. or Ford felt it was necessary to
14 increase the number of cycles that the switch was
15 subjected to?

16 A. No, we haven't seen that.

17 Q. And, in fact, we later see references to
18 the fact that 500,000 cycles is a very stringent
19 test?

20 A. Yes, we do.

21 Q. Is there any indication in any of the
22 documents that we've seen so far that indicates that
23 anyone wanted to increase or felt it was necessary
24 to increase the temperature at which the test was
25 run?

1 A. No, there was not.

2 Q. And finally, sir, is there some indication
3 that at some point in time Ford wanted the pressure
4 to be increased at the -- at -- in running this
5 test?

6 A. This would be an indication of that.

7 Q. And what was T.I.'s reaction to that?

8 A. They were opposed to that.

9 Q. Were they successful?

10 A. Apparently so.

11 Q. This says that -- that Ford's
12 recommendation or idea was shot down; is that right?

13 A. That's correct.

14 Q. Now, is there any indication that that was
15 of resuscitated?

16 A. I don't know of anyplace.

17 Q. Okay. Would this indicate to you that
18 T.I., as a quality Q-1 supplier, believed that the
19 test that they were being asked to be -- to supply
20 this part to was a rigorous, stringent state of the
21 art extremely severe test?

22 A. Yes, I would.

23 Q. In fact, what did that Failure Mode and
24 Effects Analysis refer to this test, as extremely
25 stringent back in 1991?

1 A. Yes.

2 Q. And that would be a reflection of this
3 sort of thinking, right?

4 A. That's correct.

5 Q. We don't need to increase the pressure
6 because it's already an extremely stringent test --

7 A. That's correct.

8 Q. -- is that right? Okay. Let's go on
9 to -- Pick up my microphone -- And if you look just
10 a little bit later in time at 3-23-90, Exhibit 59 --

11 (Exhibit No. 59 marked.)

12 Q. Now we get about five or six weeks later
13 and we find that progress is being made on the test
14 specification, do we not?

15 A. That's correct.

16 Q. And according to the 3-23-90 Highlights
17 under Customer Issues, Mr. Offiler writes: "Joe
18 Schuck indicates that Pass-Car has finally accepted
19 our proposed Engineering Test Specification. The
20 Humidity Spec they've agreed to is a modified
21 MIL-STD 202F. We will continue with our Humidity
22 comparison test." Did I read that correctly?

23 A. That's correct.

24 Q. So it appears now that T.I.'s Engineering
25 Test Specification has been approved by Ford?

1 A. That's correct.

2 Q. Now, it's -- in the next paragraph there
3 appears to be some continuing issue with Light
4 Truck; is that right?

5 A. That's what it appears to be. "

6 Q. It says: "Light Truck steadfastly insists
7 upon a sample size of 50 for the low temperature
8 drift test. And it goes on to talk about -- about
9 that. Do you see that?

10 A. Yes.

11 Q. And so as of March 23, 1990, it would
12 appear that the -- that the -- that the test spec,
13 and most importantly, the Impulse Test and the Proof
14 Test criteria have been agreed upon by the parties?

15 A. That appears to be the case.

16 Q. And that is, still at this point in time,
17 March 23, 1990, that is what, 18 months before
18 production requirement?

19 A. Approximately, yes.

20 Q. Basically, that gives T.I. 18 months to
21 put together its assembly lines appliance, its
22 productions lines and make parts that meet
23 requirements?

24 A. That's correct.

25 Q. Was there ever any suggestion in any of

1 the materials that you reviewed along the way that
2 indicated that Ford somehow impeded or delayed T.I.
3 in their ability, in the time that they have to work
4 on their assembly lines and their manufacturing
5 processes and everything else, to get a first class
6 part ready to go by September of 1991?

7 A. Not that I'm aware of.

8 (Exhibit No. 60 marked.)

9 Q. Now, I want to show you Exhibit -- Because
10 we're talking about the Impulse Test and made
11 reference to this from time to time. Let me show
12 you what's been marked as Exhibit 60. Just take a
13 look at that for just a second, would you? Exhibit
14 60, sir, what is Exhibit 60?

15 A. It appears to be a Texas Instruments
16 documents from the Materials and Controls Group.

17 Q. And the date of this particular document
18 is 9-20-91; is that correct?

19 A. That's correct.

20 Q. And this appears to be some sort of
21 internal Texas Instruments document; is that right?

22 A. That's correct.

23 MR. MAYER: Let me object.

24 MR. FEENEY: Okay.

25 MR. MAYER: It's an incomplete

1 document. He doesn't know what it is and you
2 haven't you haven't submitted the entire document.

3 MR. FEENEY: It is an incomplete
4 document. I certainly agree with that. And I --
5 I -- if I had the whole thing, I'd put it --

6 MR. MAYER: I'm not making foundation
7 objections, based on our agreement that all my
8 objections are.

9 MR. FEENEY: You got it.

10 MR. MAYER: Okay. Fine.

11 Q. But in any event, we've got this document
12 and it's Page -- it's Page 15 of some other
13 document. We know it's a T.I. document, don't we,
14 because Texas Instruments with the State of Texas is
15 right there on the bottom?

16 A. That's correct.

17 Q. I mean, we don't see the -- the blue oval
18 on this documents, do we?

19 A. No, we don't.

20 Q. There is a description pertaining to the
21 Impulse Test under 3.10.4 regarding -- regarding
22 the -- the Impulse Test and perhaps some results. I
23 don't know what the deal is. But would you just
24 read that paragraph into the record, please, sir,
25 under that section?